

UNITED STATES DISTRICT COURT
FOR THE WESTERN DISTRICT OF WASHINGTON
AT TACOMA

-----x
[GRO-A] and [GRO-A], husband
and wife and the marital community
composed thereof, individually and
as Co-Guardians Ad Litem for [GRO-A]
[GRO-A], a minor,

Plaintiffs,

-against-

SEATTLE PLASMA CENTER, et al.,

Defendants.
-----x

August 11, 1989
9:30 a.m.

Deposition of Non-Party Witness ALFRED M. PRINCE,
taken by Plaintiffs, pursuant to notice, held at
the offices of Sidley & Austin, Esqs., 875 Third
Avenue, New York, New York, before Paul Kirschen,
a Certified Shorthand Reporter and Notary Public
within and for the State of New York.



Doyle Reporting, Inc.

CERTIFIED STENOGRAPHIC REPORTERS

Total Litigation Support

WALTER SHAPIRO, CSR
CHARLES SHAPIRO, CSR

369 LEXINGTON AVENUE
NEW YORK, N.Y. 10017
(212) 867-8220

EXHIBIT 74

A p p e a r a n c e s:

BETTS PATTERSON & MINES, P.S.
Attorneys for Plaintiffs
800 Financial Center
1215 Fourth Avenue
Seattle, Washington 98161-1090

BY: JEFFREY C. GRANT, ESQ.,
-and-
MARGARET E. WETHERALD, ESQ.,

of Counsel

SIDLEY & AUSTIN, ESQS.
Attorneys for Defendant Armour
Pharmaceutical Co.
One First National Plaza
Chicago, Illinois 60603

BY: DOUGLAS P. FUSON, ESQ.,

of Counsel

Also Present:

MICHAEL E. HRINDA, Ph.D.

ooo

IT IS HEREBY STIPULATED AND AGREED
by and between the attorneys for the
respective parties hereto that the sealing
and filing of the within deposition be, and
the same hereby are, waived; and that the
transcript may be signed before any Notary
Public with the same force and effect as if
signed before the Court.

IT IS FURTHER STIPULATED AND AGREED...
that all objections, except as to the form
of the question, shall be reserved to the
time of trial.

* * *

1
2 A L F R E D M. P R I N C E, having
3 been first duly sworn by the Notary Public
4 (Paul Kirschen), was examined and testified
5 as follows:

6 EXAMINATION BY

7 MR. FUSON:

8 Q. My name is Doug Fuson. I am from
9 Sidley & Austin in Chicago. We are here in our
10 New York office for the purpose of taking your
11 deposition. If at any time my questions are
12 unclear or I misspeak or you don't understand me,
13 please tell me so we can get it clarified and be
14 sure that your answers are responsive to my
15 questions.

16 A. Yes.

17 Q. If at any time you want to take a
18 break or make a phone call or anything, just let
19 us know and we will accommodate you.

20 Have you been deposed before?

21 A. Yes, I have.

22 Q. On how many occasions?

23 A. Once.

24 Q. What sort of litigation was that?

25 A. Pharmaceutical company against editor

DOYLE REPORTING, INC.

1
2 activity. It is when heated in the liquid state
3 that there are large losses of Factor XIII. And
4 this is what made the procedure so attractive,
5 that one could just take the final product and dry
6 it, heat it, use very little Factor XIII, and
7 supposedly get rid of a lot of virus.

8 Q. Was there a particular or a specific
9 process of heat treatment that was employed by
10 Armour when you were doing the studies?

11 A. Yes. The Armour procedure was to
12 heat the product for 30 hours at 60 degrees
13 centigrade.

14 Q. You indicated that, I take it, that
15 Armour employed its heat treatment process to the
16 dry product?

17 A. Yes.

18 Q. During this period of time, '85, when
19 you were doing your studies, were there processes
20 of heating the product in the wet state?

21 A. The Behring Company, in Germany, has
22 used heating in the wet state, and indeed they
23 asked me to evaluate the efficiency of that
24 procedure. I don't know exactly when they started
25 doing that.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Q. In terms of killing HIV, which heat treatment process is more effective? That is heating the product in the dry state or in the wet state?

A. No question that wet heating in aqueous solution is far more effective. Unfortunately, it has the disadvantage that one has much lower yields of active Factor XIII at the end of the heat treatment.

Q. The Behring company is located where?

A. Marburg, Western Germany.

Q. And the studies that you were doing for the Behring Company, evaluating its wet heat treatment process, was for a concentrate product that would be used to treat hemophilia?

A. Yes.

Q. For the Factor XIII efficiency?

A. That's right.

Q. Doctor, after receiving what we have had marked Exhibits 2 and 3, the agreement and the outline of the studies to be done by the New York Blood Center, did you and others at the blood center undertake to initiate and complete the study for Armour?

1
2 the Armour product?

3 THE WITNESS: Could you read the
4 question.

5 (Record read)

6 A. I think that is reasonable.

7 Q. All right.

8 The question isn't so much whether
9 that accurately characterizes the task that you
10 have been presented with. The question really is,
11 is that task or -- excuse me, is this the first
12 time in your career you have been asked to address
13 that question with respect to the Armour product
14 Factorate.

15 A. No. Beginning in late '84, Armour
16 approached me to ask for help in assessing the
17 efficacy of their heat inactivation process.

18 Q. And I take it that was long before
19 you ever heard of my law firm or the Wyatt family?

20 A. Yes.

21 MR. GRANT: Please mark these
22 documents as Plaintiffs' Exhibit 2 and
23 Plaintiffs' Exhibit 3 respectively.

24 (Documents marked Plaintiffs'
25 Exhibits 2 and 3 for identification, as of

1
2 the question. This witness has been
3 retained as an individual expert and he has
4 his own individual expert opinion on some
5 subjects. I think it is appropriate for
6 you to ask him about that.

7 He is not here as a corporate
8 witness on behalf of the New York Blood
9 Center nor has he previously indicated that
10 the New York Blood Center has any opinions
11 about it.

12 MR. GRANT: I'm sorry. Let me
13 rephrase the question to take care of the
14 objection.

15 Q. What conclusions did you draw, as a
16 result of the studies you did on behalf of Armour,
17 in connection with the ability of the heat
18 treatment process employed by Armour to kill HIV
19 in its product?

20 A. My overall conclusion was that the
21 efficacy of the 60-degree, 30-hour dry heat
22 treatment step was disappointingly and even
23 perhaps disturbingly low, and I stated these
24 conclusions in a manuscript which I wished to
25 submit for publication.

1
2 Q. Were these conclusions set forth in
3 any of the studies that we have marked as an
4 exhibit here so far?

5 A. The studies -- reports were limited
6 really to summarizing the individual results of
7 the individual experiments, giving the
8 quantitative results but without commenting on its
9 significance.

10 Q. The conclusion, however, you have
11 just stated was based upon the data set forth in
12 the five studies?

13 A. Correct.

14 Q. I think I would like to back up a
15 second and talk a little bit more about the
16 studies you did.

17 If I understand, just basically, what
18 you were doing was attempting to determine the
19 amount of HIV left in the product after it went
20 through the freeze-drying process and the heat
21 treatment process?

22 A. That's correct. In all of these
23 studies, we assayed residual virus after
24 freeze-drying and then again after the initial
25 heat, and we distinguished between the amount of

1
2 kill achieved by these two different steps.

3 Q. At the time the studies were done in
4 '85, was it assumed that the freeze-drying process
5 was sufficient or adequate to kill HIV?

6 A. It was clearly not adequate. I think
7 we had become well aware of the fact that unheated
8 Factor XIII preparations, from all manufacturers,
9 transmitted HIV to a disturbing degree. And these
10 preparations had all been manufactured with a
11 variety of steps that could be supposed to remove
12 the virus, including freeze-drying, which we knew
13 did inactivate virus. Nevertheless, without
14 heating, these preparations were all capable of
15 transmitting HIV.

16 Q. What was the specific procedure that
17 you employed in attempting to measure the amount
18 of the kill performed by the heat process?

19 A. If a given spiked product is frozen
20 and then freeze-dried, or lyophilized, and then
21 rehydrated, and the amount of virus determined,
22 that provides the baseline quantity of virus
23 present at the beginning of the heating step. And
24 if one does the same with the product after
25 heating, the difference between those two is an

1
2 product -- did you find that the Armour heat
3 treatment process of 60 degrees centigrade for 30
4 hours resulted in a 5 log kill of HIV?

5 A. Unfortunately, we did not. We found
6 in two experiments 1.5 logs. One experiment
7 between point 5 and 1.5. And two other
8 experiments, 1. -- less than 1.7, less than 1.9.
9 So considerably less than 5 logs. Indeed less
10 than 2.

11 Q. For the moment, let's talk
12 specifically about study 2 and study 5.

13 What were the results of the heat
14 inactivation studies and testing that you did?

15 A. In study 2, we looked at HIV in two
16 different products, generation two AHF and Factor
17 IX. And we looked at various times, 10-hour
18 exposure to 60 degrees, 30-hour, 48 and 72.

19 Q. Those numbers being hours?

20 A. These are hours of exposure.

21 Q. All right.

22 A. And in the case of Gen-2, it is of
23 some interest, in 10 hours we had a drop of 1 log;
24 in 30 hours 1.5 logs; 48 hours, greater than 1.5
25 but less than 3.5; and at 72 hours, greater than

1

2 4.

3

4 So in that experiment, 72-hour
5 heating was very much more effective than 30 hours
6 heating.

7

8 In the case of the Factor IX, 10
9 hours gave us no loss of virus; 30 hours, between
10 0.5 log and 1.5 log; same for 48 hours; and 72
11 hours, greater than 2 but less than 4.

12

13 Experiment 2-B, results were
14 essentially similar but this was with Gen-1.
15 There was no kill detectable at 10 hours, 1.5 log
16 at 30 hours, between 2 and 4 logs at 48 hours, and
17 2 logs at 72. Here the 72 was not that much
18 stronger than the 30.

19

20 Q. And the results that you have just
21 testified about were in the April 5, '85 study?

22

23 A. Yes.

24

25 Q. And what you have labeled as study 2
and study 2-B?

26

27 A. Yes.

28

29 Q. Doctor, what was the difference
30 between the generation one product and the
31 generation two product?

32

33 A. Purity. Generation two was a higher

1
2 talking with. But Hrinda was the one who talked
3 to me.

4 Q. And was it your understanding that
5 Dr. McDougal had earlier worked with LAV?

6 A. Yes.

7 MR. GRANT: Please mark this
8 document as Plaintiffs' Exhibit 9 for
9 identification.

10 (Manuscript marked Plaintiffs'
11 Exhibit 9 for identification, as of this
12 date.)

13 Q. Doctor, we have had marked for
14 purposes of identification Exhibit No. 9. Would
15 you be kind enough to identify what that document
16 is, please.

17 A. Yes. Exhibit 9 is a manuscript which
18 I wrote, summarizing my experiences with the dry
19 heat inactivation process for HIV, and which I
20 sent to Armour for their comment.

21 Q. The date of the manuscript is what?

22 A. I sent it to Dr. Hrinda October 1st,
23 '85.

24 Q. Relative to the five studies,
25 Exhibits 4 through 8, when was Exhibit 9 prepared?

1

2 Before or after?

3

A. I believe after.

4

5 Q. And what is the relationship between
6 the five studies, that is Exhibits 4 through 8,
7 and the manuscript, Exhibit 9?

8

9 A. Well, Exhibit 9 is based on those
10 studies.

11 Q. And Exhibit 9 was prepared by you in
12 the course of your employment at the New York
13 Blood Center?

14

A. Yes.

15

16 Q. And does Exhibit 9 accurately reflect
17 the comments you made and the conclusions that you
18 drew based upon the five studies you did for
19 Armour?

20

A. Yes.

21

22 MR. GRANT: How about a lunch break
23 now?

24

MR. FUSON: O.K.

25

(Luncheon recess: 12:00 noon)

26

27

28

29

1
2 for publication. It is prudent, on all of our
3 projects.

4 This was being submitted to Armour
5 with a view to getting their scientific and
6 technical input into the publication.
7 Specifically, my letter of transmittal asks for
8 information on possible presence of stabilizers in
9 the product. That is information that should go
10 into a scientific paper.

11 Armour, however, reminded me that in
12 our original agreement, we had agreed that we
13 would give them the right not to permit
14 publication of anything that we did, without their
15 support. Something that we do not normally do,
16 but somehow it happened. And so when they said
17 that they did not feel it was desirable to have it
18 published, we had no choice but not to publish it.

19 Q. What was the objection that Armour
20 raised in connection with publication of Exhibit
21 9?

22 A. Well, on the one hand, they felt that
23 this information would be disturbing to patients
24 who had received or were receiving their product.

25 On the other hand, Dr. Terry

1
2 expressed a desire to see the confirmation or lack
3 of confirmation of the parallel studies that Dr.
4 McDougal and I were doing.

5 And actually, we sort of left it
6 that -- you remember we studied at the same time,
7 and we sort of left it that he would, when he
8 obtained the results from McDougal, would see
9 whether McDougal was observing the same as we were
10 and would be back in touch with me, which he was
11 not.

12 MR. GRANT: Please mark this as
13 Plaintiffs' Exhibit 15 for identification.

14 (Document marked Plaintiffs' Exhibit
15 15 for identification, as of this date.)

16 Q. Doctor, I have had marked as Exhibit
17 15 to your deposition a memorandum. Would you
18 take a look at that and see if you can identify
19 what that is.

20 A. This is a memorandum from Dr. Rodell
21 of Armour --

22 MR. FUSON: Are you asking him to
23 identify the document for the record? Or
24 are you asking him whether he has seen it
25 before? What are you asking about.