

1 For Armour
Pharmaceutical
2 Company: SIDLEY & AUSTIN
One First National Plaza
3 Suite 4300
Chicago, IL 60603, by
4 MS. SARA J. GOURLEY
MS. LORY A. BARSDATE
5
6 For Miles
Laboratories: JOHNSON & BELL, LTD.
7 222 North LaSalle Street
Suite 2200
8 Chicago, IL 60601, by
MS. PAMELA L. GELLEN
9
O'CONNOR, COHN, DILLON & BARR
10 151 Union Street at Sansome
Suite 551
11 San Francisco, CA 94111, by
MR. DUNCAN BARR
12
For Baxter Travenol
13 Laboratories, Inc.: ALBERT, BATES, WHITEHEAD &
MC GAUGH
14 10 South Wacker Drive
Suite 2035
15 Chicago, IL 60606, by
MR. CHARLES G. ALBERT
16
DECHERT, PRICE & RHOADS
17 4000 Bell Atlantic Tower
1717 Arch Street
18 Philadelphia, PA 19103, by
MR. RICHARD L. BERKMAN
19
20
21
22
23 COURT REPORTER: LAURA M. BRENNAN
24 219 South Dearborn Street, Room 2342
Chicago, IL 60604
25 (312) 427-4393

1

1 (The following proceedings were had in open court out of
the

2 presence and hearing of the jury:)

3 THE CLERK: 86 C 7623, Gruca v. Alpha, case on trial.

4 THE COURT: Good morning.

5 MR. BELL: Good morning, your Honor.

6 MR. BARR: Good morning.

7 MS. KIMBALL: Good morning.

8 MR. RING: Good morning.

9 THE COURT: Anything we need to do before the jury
10 comes in?

11 MR. BELL: Yes, your Honor, just one matter really
12 quickly, your Honor.

13 MS. THOMAS: Yes. I have already talked to Mr. Bell
14 about the exhibits we will be asking to have admitted in
15 connection with this deposition, if I can read those into the
16 record.

17 MR. BELL: Well, go ahead because I did have an
18 objection to them.

19 MS. THOMAS: Okay. Well, let me read the numbers in.

20 Exhibit 9056, Exhibit 3036, and the following pages from
Exhibit

21 1.15: the cover page, the contents page, page 103, 105, 106,
and

22 166. And Exhibit Number 6.17, 9.052, 9.053, 9.054, and 9.055.

23 MR. BERKMAN: Your Honor, counsel has not -- I don't
24 know what those exhibits are because I have not gotten to look
25 at them. She didn't tell us about these, and I guess if they

LAURA M. BRENNAN, Official Reporter

1 are just attachments to Mr. Aronson's deposition, I would still
2 object to their admissibility for all purposes.

3 What he said about them is before the jury on the
4 deposition, but I don't think the documents themselves
5 necessarily become admissible evidence because they are
referred
6 to in the deposition.

7 THE COURT: What are they?

8 MR. BELL: Your Honor, if I can give you a copy of the
9 deposition, there are a couple of specific objections that we
do
10 have. The first would be to Exhibit Number 9.056. I put a tab
11 on there. It is referenced at page 52.

12 MR. BERKMAN: What is it?

13 MR. BELL: It is a memo on Cutter letterhead.

14 MR. BERKMAN: It is attached to the exhibit?

15 MR. BELL: Yes, it is.

16 MS. THOMAS: Yes.

17 MR. BELL: I believe it is Exhibit 1, Plaintiff's
18 Exhibit 1.

19 It was given to the witness to refresh his
20 recollection. The witness testified that he had never seen
that

21 memo before and it did not refresh his recollection. He is not
22 an employee of Cutter; he is an employee of the federal
23 government.

24 MS. THOMAS: It specifically contradicts testimony the

25 witness gave. It was used on cross-examination, your Honor.

LAURA M. BRENNAN, Official Reporter

3

1 MR. BELL: I don't know if that is true, your Honor.

2 The witness testified, as you can see from the pages there,
that

3 no American manufacturer was licensed by the FDA to use the
4 hepatitis B core antibody test. This does not contradict that
5 in any way whatsoever.

6 THE COURT: Let me read it.

7 MS. THOMAS: Yes, I believe, though, this went beyond
8 that.

9 (Brief interruption.)

10 THE COURT: Doesn't this show they are using hepatitis
11 core antibody testing?

12 MR. BELL: I didn't say it doesn't show they were.
The

13 witness says that no U.S. manufacturer was licensed to use core
14 antibody testing, and that was the area that he was offered to
15 testify on.

16 MS. THOMAS: Your Honor, I believe --

17 MR. BELL: He said that it did not refresh his
18 recollection when it was given to him to do so.

19 MS. THOMAS: I believe he stated specifically that it
20 was his testimony that none of the manufacturers were using it
21 without regard to whether they were licensed to use it.

22 THE COURT: Can you point to that testimony?

23 MR. BELL: If you look on page 54, line 1.

24 THE COURT: Well, on 53 he is asked whether they did

25 it, and his answer was they never had a license to do it.

LAURA M. BRENNAN, Official Reporter

4

1 MS. THOMAS: He goes beyond that.

2 THE COURT: The answer really wasn't responsive to the
3 question.

4 MS. THOMAS: He states at page 54, your Honor, "No
U.S.

5 licensed manufacturer has screened by the core antibody -- has
6 been approved for screening by this core antibody test."

7 THE COURT: All right, I think the impression that the
8 jury would get from the testimony would be that no one is doing
9 it because they are not licensed.

10 This exhibit tends to show that, license or not, one
of

11 the defendants was doing it and contradicts the proposition
that

12 the witness' testimony is implying. So I am going to overrule
13 the objection to Exhibit 1.

14 MR. BELL: Your Honor, Exhibit 4, if I may show this
to

15 you, is Plaintiff's Exhibit 6.17, and that is referenced at
page

16 89, I believe, the other tab that I placed there.

17 THE COURT: Okay.

18 MR. BELL: This is an article which is in German.

19 There is an American or English abstract, a very small
paragraph

20 there in the medical terminology. The witness was shown this
21 document and asked if this was the process which he was
22 discussing, and he said no, it is not, it is a completely

23 different process.

24 I believe that this would be confusing to the jury.
25 It

is in German. It certainly has no relevance to their

LAURA M. BRENNAN, Official Reporter

1 appreciation of the facts here and the testimony that is being
2 offered by Dr. Aronson.

3 MS. THOMAS: Your Honor, at a later point in his
4 testimony, we cleared up the confusion. He was referring to,
I
5 believe, the Rubenstein work, and believed that this particular
6 exhibit related to the Rubenstein work.

7 Later he mentioned that it indeed was the same
8 Behringwerke method.

9 THE COURT: There is an English translation of this?

10 MS. THOMAS: Yes. The first page, Judge, of the
11 abstract on the --

12 THE COURT: Oh, I see, over on the right-hand side.

13 MR. BELL: It is just the abstract, your Honor. The
14 rest is all in German.

15 MS. THOMAS: That simply shows it is the same process
16 that was being discussed at this December meeting by Dr.
Aronson

17 and he confirmed it.

18 THE COURT: At a later point in the deposition?

19 MS. THOMAS: Yes.

20 THE COURT: Is that true, Mr. Bell?

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

1 MR. BELL: I don't believe that he confirms that that
2 is the same process. I believe what he speaks about is that
he
3 was talking about a Behringwerke process at that December 4,
4 1982 hearing, and that also they were talking about the
5 Rubenstein patent, the Rubenstein process, which is a U.S.
6 process.

7 THE COURT: Well, what does this exhibit pertain to?
8 Does that pertain to Behringwerke?

9 MS. THOMAS: The Behringwerke.

10 MR. BELL: The Behringwerke, it is the Behringwerke
11 process. It is a document that was published in Germany in
12 German.

13 MS. THOMAS: Here --

14 THE COURT: I'm not really sure I get what --

15 MS. THOMAS: On page 91 it is clarified.

16 THE COURT: -- what the dispute is.

17 MS. THOMAS: On page 91, line 8, he is asked, "Just
to
18 finish up and clarify, the process described in Plaintiff's
19 Exhibit No. 4 for identification, the German article by
20 Heinburger and the Behringwerke process you were discussing on
21 page 105 of the transcript, is that the same process? Answer:
22 Yes."

23 MR. BELL: 105 of the transcript is referring back to
24 a document that is also an exhibit in this case, Your Honor,

a

25 transcript of a proceeding of a Blood Products Advisory

LAURA M. BRENNAN, Official Reporter

2

1 Committee hearing.

2 MS. THOMAS: So this was clarified and tied into the
3 process.

4 THE COURT: All right. I'll overrule the objection
to
5 Plaintiff's Exhibit 4.

6 MR. BERKMAN: Just for the record, I renew my
7 objection to putting in these learned treatises.

8 THE COURT: All right.

9 MR. BELL: The other item, Your Honor, is a
logistical
10 matter. The plaintiffs have identified Exhibits 9.052, 9.053,
11 9.054, and 9.055. These were not discussed during the course
12 of the deposition at all, and I question how these are going
to
13 be raised with the jury.

14 MS. THOMAS: We did ask him if he had any written
15 contracts with Alpha. He answered yes. I asked that they be
16 given to us. He could not remember the specific terms. He
17 told us in general terms. And these are those that were
18 produced after the deposition. Since they were specifically
19 referred to by the witness, we would like to tender them to
the
20 jury.

21 THE COURT: All right. That sounds proper, as long
as
22 those are the contracts that have been produced by the
23 defendant.

24 MR. BELL: They are the contracts, Your Honor.

THE COURT: All right.

LAURA M. BRENNAN, Official Reporter

3

1 MR. BERKMAN: Your Honor, could I request that Ms.
2 Thomas give me a copy of whatever excerpts from the December
4,
3 1982 meeting she intends to use? And I would renew my
4 objection that this is unauthenticated inadmissible hearsay
5 even though he may have been asked about it. What he says is
6 admissible, but the document itself, I do not believe, is.
But
7 I would like to see what excerpts she is putting in.

8 MS. THOMAS: These are pages from the December 4,
1982
9 Blood Products Advisory Committee meeting. The witness was
10 specifically asked if this was an accurate transcription of
11 what he said. He said that's what he said. Those are the
only
12 pages that I have attached to the cover page.

13 MR. BERKMAN: I'm sorry. What pages are they?

14 MS. THOMAS: I'll read them into the record again.
15 The cover page, the contents, page 103, 105, 106, and 166.

16 MR. BERKMAN: And what's the exhibit number?

17 MS. THOMAS: The exhibit number is 1.15, which is the
18 total transcript. We are only putting into evidence the pages
19 I just identified on the record.

20 MR. BARR: Your Honor, on behalf of Cutter, the
21 objection would be the same that it was the last time they
22 attempted to introduce a court reporter's transcript of some
23 kind of proceeding. And the Court, of course, sustained it
24 last time. But it's the same transcript. Last time they just

25 tried to get it all in.

LAURA M. BRENNAN, Official Reporter

1 If the witness has testified to it, then the
2 transcript would be cumulative. If he hasn't, then the
3 transcript is nothing more than apparently a court reporter's
4 transcript of some proceeding that went on somewhere.

5 THE COURT: The witness says that the transcript is
6 accurate as to what he said, is that correct?

7 MS. THOMAS: And it assisted him in his testimony.

8 MR. BARR: Well, he has testified to it. I guess
9 that's the point, Your Honor. Why would we have a written
10 transcript of what he says if he has testified to it in his
11 deposition? It's cumulative.

12 THE COURT: Well, I suppose if what was said is
13 something that everyone can remember, and nobody disagrees
14 about it having been said, then the simple statement of the
15 witness that this is what I said would be sufficient.

16 MR. BARR: There is no dispute, Your Honor. We're
not
17 contending --

18 THE COURT: But if there is something that adds a
19 degree of certainty to the proposition that this is what the
20 witness said, there is no reason that that should not be
21 received in evidence.

22 MR. BARR: So that we are clear, Your Honor, we're
not
23 contending he didn't say it. There is no dispute. We're not
24 saying Dr. Aronson didn't say it.

25 THE COURT: All right. Then if you are not disputing

LAURA M. BRENNAN, Official Reporter

5

1 it, what's the objection to the exhibit?

2 MR. BERKMAN: I have two objections, Your Honor.
One,

3 there are additional words on the page that are unrelated to
4 what he testified that the jury may rely upon even though they
5 have nothing to do with what was said.

6 THE COURT: Let me see what this transcript says.

7 MR. BERKMAN: Two, I can't even read the pages on the
8 transcript that I have, so I still don't know what pages she
9 has, and I would appreciate a copy of it. The page numbers on
10 my version are illegible.

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

1 MS. THOMAS: Well, I will give you my copy since it is
2 legible.

3 MR. BARR: It was my understanding on Friday, your
4 Honor, that we were to be given a copy of whatever is to be
5 handed to the jury in light of what occurred on Friday when a
6 five-page document turned into an eight-page document when
given
7 to the jury.

8 So if you are just giving us numbers, it doesn't
9 sometimes do us much good.

10 (Brief interruption.)

11 THE COURT: I think this document is probative. Dr.
12 Aronson is endorsing in very strong terms better efforts to
13 eliminate the hepatitis B virus and is also endorsing heat
14 treatment.

15 MR. BERKMAN: Would you identify what page in the
16 deposition he refers to this, your Honor?

17 THE COURT: Well, what I have read is pages 103, 105,
18 106.

19 MR. BERKMAN: You are reading, your Honor, a
transcript

20 of a meeting from December 198 --

21 THE COURT: Yes.

22 MR. BERKMAN: I am looking for the deposition where he
23 refers to this.

24 MS. THOMAS: Well, it is referred to throughout the
25 deposition. We went back and forth.

2

1 THE COURT: The objection is overruled.

2 Now, the one problem I do have is that the top of page

3 105 and the top of page 106 has not been sufficiently
reproduced

4 to be legible.

5 Can you do a better job of reproduction?

6 MS. THOMAS: I don't think so, Judge.

7 The original copy is very difficult to read at the top

8 of each page.

9 THE COURT: All right. The objection is overruled.

10 Mr. Bell, here are your documents.

11 All right, let's call the jury in.

12 (The following proceedings were had in the presence and
13 hearing of the jury:)

14 THE COURT: Good morning, ladies and gentlemen. Sorry

15 to keep you waiting.

16 All right, we will resume with the video deposition.

17 MS. THOMAS: This is the cross-examination of Dr.

18 Aronson.

19 And I would like to apologize to the Court for the
20 snowy picture.

21 The volume is very good. I think we hit a bump on the

22 way over. It was very clear at the office and now it is a

23 little fuzzy.

24 THE COURT: All right.

25 MS. THOMAS: At this time we are publishing the

LAURA M. BRENNAN, Official Reporter

3

1 exhibits that were previously admitted.

2 THE COURT: All right.

3 MS. THOMAS: Thank you, your Honor.

4 (Videotape played.)

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

1 MS. THOMAS: That concludes the cross-examination.

2 MS. GOURLEY: It goes on. Do you want to read the
3 rest of it?

4 MS. THOMAS: Do you want to read the rest of it?
5 That's where Alpha and I agreed to stop it.

6 MS. GOURLEY: Yeah, I think we probably should finish
7 it up.

8 MR. BERKMAN: How many pages is it?

9 MS. THOMAS: You can read it.

10 THE COURT: Well, is it on the tape?

11 MS. GOURLEY: Is it on the tape?

12 MS. THOMAS: Yes, it's on the tape. It's just
wrap-up

13 questions about where Dr. Donohue is and so on.

14 MS. GOURLEY: Well, it's wrap-up questions about
15 whether he had any contracts with Armour and Baxter.

16 THE COURT: Go ahead. I think he's already said he
17 didn't.

18 MS. GOURLEY: He did.

19 THE COURT: If you want to play it, go ahead.

20 MS. THOMAS: Do you want to play it, Ms. Gourley?

21 MS. GOURLEY: No. That's fine. No. That's fine.

22 THE COURT: All right. What's next?

23 MR. BERKMAN: Your Honor, when we have an
opportunity,

24 I'd like to make an objection.

25 THE COURT: All right.

LAURA M. BRENNAN, Official Reporter

2

McAuley - direct

1 MR. GREEN: We have a live witness, Your Honor.

2 Perhaps if we can take a break?

3 THE COURT: All right. Let's take a short recess.

4 (Jury out. Recess.)

5 THE COURT: The jury is coming in.

6 MR. BERKMAN: Your Honor, can I make it at the end of

7 the break?

8 THE COURT: Yes.

9 MR. BERKMAN: Thank you.

10 (Jury in.)

11 THE COURT: Mr. Green.

12 MR. GREEN: Yes, Your Honor. We'd call Dr. McAuley
to

13 the stand.

14 THE COURT: Up here, please, sir.

15 THE WITNESS: Yes, sir.

16 (Witness duly sworn.)

17 THE COURT: Please be seated.

18 MR. GREEN: Has the witness been sworn?

19 THE COURT: Yes.

20 CLYDE MC AULEY, DEFENDANT ALPHA'S WITNESS, SWORN

21 DIRECT EXAMINATION

22 BY MR. GREEN:

23 Q. Dr. McAuley, would you tell the jury your full name,
24 please?

25 A. Clyde B. McAuley, MD.

LAURA M. BRENNAN, Official Reporter

1 Q. Where do you live, Dr. McAuley?

2 A. I live in Arcadia, California, near the fires.

3 Q. Near the fires. You didn't have any fire problem, did
you?

4 Dr. McAuley, how old are you?

5 A. I'm 70, 70 years of age.

6 Q. What does your family consist of?

7 A. I have a wife and four sons.

8 Q. Dr. McAuley, do you presently or are you presently
9 connected with Alpha in some way?

10 A. Yes. I am medical director of Alpha Therapeutic
11 Corporation. I'm on the board of directors of Alpha
12 Therapeutic Corporation.

13 Q. Now, Dr. McAuley, I'd like to take you back through a
14 little bit of your background so the jury will know who you
15 are. Would you tell us what your educational background is up
16 to your internship?

17 A. I was born and raised in northern California and went to
18 Berkeley, University of California at Berkeley, and went in
19 service, World War II, and eventually went to medical school
in
20 1944 to 1948. And that was Temple Medical School in
21 Philadelphia. And after medical school, I went out to
22 California and interned at San Francisco City and County on
the
23 University of California service from '48 to '49.

24 Q. Then, sir, did you have a residency?

25 A. Yes. Then I left San Francisco, went down to Los Angeles,

LAURA M. BRENNAN, Official Reporter

1 where I had a residency in internal medicine from 1949 till
2 1952 and then went in the Korean war from '52 until 1954. I
3 came back after the war, Korean war, and was chief of medicine
4 for the University of Southern California at L.A. County
5 Hospital, and I stayed there for one year and then eventually
6 went on the faculty.

7 Q. On the faculty of USC?

8 A. The faculty of USC.

9 Q. Are you still on the faculty of USC?

10 A. Yes. And for the first 10 years after I finished my
11 residency, I was on paid faculty at that time. And I started
a

12 practice of internal medicine in 1957. I am still an
associate

13 clinical professor of medicine at University of Southern
14 California.

15 Q. Now, sir, in addition to your other activities, have you
16 practiced medicine?

17 A. Yes. I practiced general internal medicine from 1957
until

18 1990.

19 Q. And then you retired from that practice?

20 A. Retired from the practice to devote more time with Alpha.

21 Q. Are you board --

22 A. Board certified?

23 Q. I'm not used to having a real live witness up here.
You'll

24 have to excuse me.

Are you board certified, sir?

LAURA M. BRENNAN, Official Reporter

1 A. Yes. I was certified after I finished my residency in
2 internal medicine. And then after I'd been in practice about
3 10 years, I was recertified. You take another exam and you
4 become recertified. So yes, I am recertified.

5 Q. Dr. McAuley, in around 1957, you became involved in the
6 blood plasma products end of medicine, is that right?

7 A. Yes, that is correct.

8 Q. What was the first, first position that you took with
9 regard to blood products?

10 A. I was a consultant, medical director for Courtland
11 Laboratories from 1957 until 1967, when Courtland was
purchased

12 by Abbott Laboratories of North Chicago, and it became then
13 Abbott Scientific Products Division.

14 Q. And what did Courtland do with regard to plasma products?

15 A. They were a fractionator. We processed plasma and made it
16 into albumin, protein fraction, antihemophilic factor, gamma
17 globulin.

18 Q. Was that relatively early in the history of fractionating
19 antihemophilic factor?

20 A. Yes, it was. I believe that we were the second to obtain
21 the license. Hyland obtained a license sometime in, let's
see,

22 Judy Poole was '65. I think we got our license somewhere
23 around 1967, prior to the Abbott acquisition.

24 Q. Now, when you went with Abbott, what position did you
hold?

25 A. Well, I was medical director, but I was classified as a

LAURA M. BRENNAN, Official Reporter

1 consultant basically. I was working as a consultant. I was
2 never a full time -- I mean, I was never an employee, because
I
3 still had a private practice in addition to working for the
4 company.

5 Q. And were you with Abbott when it was acquired by Alpha?

6 A. I was. I was medical director of Abbott scientific
7 products and kept that same task and same responsibilities
when

8 we went to Alpha.

9 Q. And when was it that Alpha purchased Abbott?

10 A. No, the other way around. Abbott purchased Alpha -- I'm
11 sorry, no. Alpha purchased Abbott.

12 Q. Alpha bought it.

13 A. 1978, I think it was August of '78.

14 Q. And when you went to work with Alpha, did you continue to
15 practice part time?

16 A. Yes, I did.

17 Q. How did you divide your time, 50/50 or 60/40?

18 A. Well, it would depend.

19 Q. 80/80?

20 A. Yeah, probably more like -- no, probably more like 60 or
21 70/30, 70 with practice, 30 with Alpha.

22 Q. Now, sir, during the time that you've been medical
director

23 with Alpha, have you become familiar with the process that is
24 used for fractionating antihemophilic factor?

25 A. Yes, I did.

LAURA M. BRENNAN, Official Reporter

1 Q. And, sir, if we showed you a little chart, would it help
2 you explain that process to the jury?

3 A. It's upside down.

4 Q. Well, that's all right. Would it help you explain that to
5 the jury if it was right side up?

6 A. Yeah, I think it would.

7 MR. GREEN: All right. Your Honor, if we might
8 publish this to the jury?

9 THE COURT: Yes.

10 MR. GREEN: I think counsel has seen it.

11 BY MR. GREEN:

12 Q. Could you step down here, Dr. McAuley?

13 MR. GREEN: If the Court please?

14 BY MR. GREEN:

15 Q. Why don't you come over here. You can stand right there.

16 That way you won't have your back to anyone.

17 Now, first of all, Dr. McAuley, if you would just
18 tell

19 us the flow and briefly, I don't want any exquisite detail,
20 how

21 plasma is acquired and what you do?

22 A. Well, basically everything starts with the plasma donor
23 center where an individual comes in and signs in, identifies
24 himself, is screened by a medical receptionist, having a
25 cursory physical examination getting certain laboratory tests
such as --

Q. We'll go into that in a bit more detail later on. I just

LAURA M. BRENNAN, Official Reporter

1 want to follow the flow of the collection process.

2 A. All right. Then after the donor is processed at the donor

3 center, the plasma sample is then sent to our test,
centralized

4 testing laboratory in Memphis, Tennessee, and we do the
testing

5 there.

6 Then the reports are then faxed or sent to the plasma

7 donor center and also sent to quarantine, which is in our

8 manufacturing facility in Los Angeles. And once we know that

9 the test results are negative, then they are allowed to
release

10 the plasma from the plasma donor center. And it's

11 cross-checked with the lab reports in quarantine. At that

12 point in time, it then goes into processing and quality

13 control.

14 Q. Okay. So why is it held in this quarantine up here?

15 A. That's for a cross-check to make sure we don't have a unit

16 of plasma that was inadvertently hepatitis surface antigen

17 positive or HIV positive or anti-HBC positive.

18 Q. How is the blood or the plasma, rather, transported from

19 the plasma donor centers to your plant?

20 A. By refrigerated truck. Transmitted -- they have a

21 refrigerated truck at minus 20 degrees to make sure we don't

22 lose any of the antihemophilic factor.

23 Q. So you keep that temperature until you get down here to

24 processing, is that right?

25 A. Right.

LAURA M. BRENNAN, Official Reporter

1 Q. Now, Dr. McAuley, does the FDA exercise some regulation
2 with regard to the processing of plasma?

3 A. Yes, they do. They actually do it at every step. Every
4 donor center is FDA regulated, as are standard operating
5 procedure for the donor centers. So that's the first step.

6 Q. Okay. So this is regulated by the FAA?

7 A. FDA.

8 Q. FDA. I'm sorry.

9 A. Yeah, right. Then our central testing laboratory in
10 Memphis also is regulated by the FDA.

11 Q. How do they regulate that?

12 A. Well, they inspect it and make sure they follow through
13 with quality assurance, whether our testing technique is
14 adequate, whether our testing is proper, doing the right
15 things.

16 Q. Are these visits they pay to the plasma donor centers, do
17 you know ahead of time they're coming?

18 A. No. They're on the spot.

19 Q. How about the regulatory inspections of the central
20 testing?

21 A. No. They're done without us knowing.

22 Q. Is the quarantine facility regulated by the FDA?

23 A. Yes, it is. Yes, everything has to be -- making sure that
24 we have, that our records are proper, making sure that our
25 temperature regulations are proper.

10

McAuley - direct

1 Q. Are those spot checks?

2 A. Yes.

3 Q. Do they have regulations that tell you what those

4 temperatures have to be and what those cleanliness
requirements

5 have to be?

6 A. Yes, they do.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1

McAuley - direct by Green

1 Q Is the processing regulated by the FDA?

2 A Yes. Yes, that has a manufacturing facility and it is.

3 Q How about the distribution, is that regulated by the FDA?

4 A No. We have got to make sure it is released first before
it

5 gets --

6 Q That is what I was getting at. In other words, until the

7 FDA says or gives you permission, you may not release it?

8 A Every lot of material is processed. We have to send
samples

9 to the FDA, and the FDA gives us their approval, and then our

10 distribution is the responsibility of the manufacturer.

11 Q You can take the stand there.

12 (Brief interruption.)

13 BY MR. GREEN:

14 Q Doctor, I would like to call your attention to the period

15 before December of 1982 when the AIDS epidemic was becoming
part

16 of your consciousness.

17 Before December 1982, were you involved in the plasma

18 donor center aspect of Alpha's business?

19 A Yes, I was.

20 Q And did you have any part, sir, in setting up the screening

21 or the testing of donors?

22 A Yes, I did.

23 Q Now, would you tell us -- let's just -- let's take November

24 of 1982 to make it simple.

25 In November of 1982 when someone presented themselves

LAURA M. BRENNAN, Official Reporter

1 to you as a donor, what steps did you take to make sure that
2 they were healthy donors?

3 A Well, we have what we classify as first-time donors and
4 repeat donors, but -- so do you want a first-time donor or do
5 you want a repeat donor?

6 Q Let's take a first-time and then a repeat?

7 A All right, a first-time donor comes into the donor center
8 and he signs in and he is then called to the reception area
9 where a trained medical receptionist will interview him making
10 -- asking him about 13 questions relating to his health,
whether
11 he has been recently transfused, whether he has had any recent
12 surgery, whether he is on any medication.

13 And then assuming that the individual passes the
14 history portion of it, then they will do a blood pressure
check,
15 a check for protein, total protein, and will do a blood
16 pressure, pulse, weight, and at that point then they have an
17 x-ray taken -- no, a picture taken of themselves, which is
18 included in their donor records so that they will then be
19 identified on any subsequent donation.

20 And at that point in time then they are referred back
21 to the doctor, who repeats the history except in a more
22 extensive manner than at the reception desk.

23 Q And the history is what, asking about his past medical

24 history?

25 A He has to -- yes, he is asked about his past medical

LAURA M. BRENNAN, Official Reporter

1 history, what medications he is on, whether he has had any
2 surgeries, whether he has had any medical problems that would
3 make you think that the donor was not healthy.

4 Then the doctor will have him bare his chest and his
5 legs and will do an examination of the head, eyes, ears, nose,
6 and throat, chest, abdomen, neurologic exam, and at that point
7 in time, if the donor passes, then he goes back to the donor
8 floor and is plasmapheresed.

9 Q Now, Doctor, I would like to address a little bit with
10 regard to the disease hepatitis.

11 You are familiar, are you not, with hepatitis B?

12 A I am familiar with hepatitis B.

13 Q I would like to limit what we are going to talk about now
14 to

15 hepatitis B. We will talk about non A-non B or hepatitis C in
16 a

17 moment.

18 When were there first tests available to find the
19 presence of hepatitis B antibody or antigen?

20 A Well, in January of 1971, Abbott was -- started doing some
21 testing for hepatitis B using what we would classify as a first
22 generation test. Abbott actually was very instrumental in
23 being

24 one of the prime movers in testing, as a matter of fact.

25 So we were starting to do what we call ager gel

23 diffusion, which means that you take a sample of the serum from
24 the donor and you have an antibody that is in this agar gel and
25 it creates a line of precipitation and it is a rough -- was a

LAURA M. BRENNAN, Official Reporter

1 rough, crude test. But that was the first test, in January of
2 1971.

3 Q Why were you doing that?

4 A To screen out donors for hepatitis B.

5 Q I would like to talk a little bit about the difference
6 between sensitivity and specificity.

7 Could you, first of all, explain very slowly for the
8 jury what sensitivity is in a test?

9 A Sensitivity is the ability of a test to pick up a known
10 positive. In other words, you know it is positive and it
should

11 be able to pick up. So if you picked up every positive in a
12 test, then it would have a hundred percent sensitivity.

13 Specificity has to do with the ability to pick up
14 negatives. In other words, if you have -- you had some
15 negatives in there, those can be as important as the positives.

16 So when we speak of 95 percent sensitivity and 95
17 percent specificity, we mean that 95 percent of the time you
are
18 going to pick up all of the positives. And if it is 95 percent
19 specific, then you are going to pick up 95 percent of the
20 negatives, of the known or unknown negatives.

21 Q Let's suppose that we are going to -- why don't you step
22 down here, and perhaps we can do this simply.

23 Let's suppose that these are oranges and these are
24 onions. Now, if we have a test that is going to test for

25 oranges and it picks up all four oranges in the batch, what

LAURA M. BRENNAN, Official Reporter

1 would its specificity be?

2 A Well, it would be sensitive.

3 Q Sensitivity?

4 A It would be a hundred percent sensitive.

5 Q Okay. And if it only picked up three oranges, it would be

6 75 percent sensitive, is that right?

7 A That is right.

8 Q Now, let's suppose that it got all four oranges but it also

9 told us that two onions were oranges?

10 A Well, then your specificity would be diminished obviously

11 because you are missing some -- you are picking up two false

12 negatives, two negatives which would not be picked up.

13 Q Okay.

14 A So only 50 percent.

15 Q Now, you can take the stand, and I would like to talk about

16 the tests you told us you were using at Abbott.

17 About what was the sensitivity of that test?

18 A Which test are we talking about, the ager gel?

19 Q The ager gel, yes.

20 A Well, it was probably no more than 60 percent sensitive,
but

21 it had a high degree of specificity. In other words, if you
had

22 -- it would not pick up some of the positives, but when it did

23 pick up a positive, it was very significant. And so I would
say

24 it was probably no more than 60 percent sensitive, but its
25 specificity was probably closer to 80 percent or something in

LAURA M. BRENNAN, Official Reporter

1 that range.

2 Q In 1972, sir, was there a new generation of this test?

3 A Well, the problem with the ager gel diffusion test was that
4 it took about two or three days to read, and, as I say, it
5 didn't have a real high degree of sensitivity.

6 So then we developed or -- yes, we developed what we

7 call a CEP, or a counter immuno electrophoresis, which
basically

8 was taking that ager gel and running a current through it, in

9 which case the antibody ran or moved quicker.

10 And so, hence, you would be able to pick up -- it
would

11 be read in one day rather than one or two or three days.

12 Q Was the specificity of that -- sensitivity of that
improved?

13 A Yes, it was improved.

14 Q Was that licensed for use?

15 A Yes, it was. That was called Aus Tec, yes.

16 Q Now, subsequent to that time in 1972, were new and improved
17 tests for hepatitis B virus found?

18 A Yes. Then Abbott came out -- yes.

19 Along with that, what we call the first generation
test

20 or second generation, which would be the CEP, there were some

21 other ones that reverse passive hemagglutination and some other

22 things like that which we never utilized.

23 But then came the first generation radioimmunoassay,
24 RIA test, and that had a better degree of sensitivity and also
25 specificity.

LAURA M. BRENNAN, Official Reporter

1 Q What was the degree of sensitivity with regard to the
2 radioimmunoassay test?

3 A I think we are talking about the 90 percent range now.

4 Q And was that test subsequently improved?

5 A Yes. Then there came a second generation Ausria, or radio-
6 immunassay test, which was easier to perform, probably had a
7 greater degree, more like 95 percent sensitivity and
8 specificity.

9 Q Has there ever been a test, sir, for hepatitis B that has
10 100 percent sensitivity?

11 A I really don't believe so.

12 MR. GREEN: Your Honor, we are moving to another
13 subject now.

14 Do you want to break?

15 THE COURT: Why don't we break for lunch. Let's
16 reconvene at 1:45.

17 (The following proceedings were had out of the presence and
18 hearing of the jury:)

19 THE COURT: Mr. Berkman.

20 MR. BERKMAN: Your Honor, earlier this morning counsel
21 showed you a two-page German paper, and you asked if there was
22 an English translation.

23 She said no, and she said that they were giving the
24 jury 6.17.

LAURA M. BRENNAN, Official Reporter

8

McAuley - direct by Green

1 was a nine-page -- ten-page English version of this two-page
2 article, and I believe or suspect they may have given it to the
3 jury because the jury was looking through some long article.

4 And I would like to know if that is so.

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 MS. THOMAS: I looked at the documents
myself that
2 were prepared to go to the jury, and it was the
two-page
3 document, Judge.

4 MR. BERKMAN: Let me renew my concern.
The same

5 problem arises with their exhibit they've marked
Pl.15. They
6 say they were only giving the jury two cover pages
and four
7 designated pages. When I went to my binder of
plaintiff's
8 exhibits again, I found Pl.15 to be a 10-page
summary of

9 minutes, and I don't know whether that's been
produced or not

10 to them. And I'm also concerned, even in the
designated pages,

11 that they've left out Page 104 and left out Page
165, which is

12 the half of the sentence that leads into the page
that the

13 changes --

14 THE COURT: These were the documents in
regard to Dr.

15 Aronson?

16 MR. BERKMAN: That's correct, your Honor.

17 THE COURT: I think the way to handle
this is I will

18 retrieve from one juror that juror's copy with
these two

19 exhibits. What are the numbers?

1.15.

20 MR. BERKMAN: The exhibits are 6.17 and
21 THE COURT: That's the German article?
22 MR. BERKMAN: German, maybe some English.
23 THE COURT: The other one is?
24 MR. BERKMAN: 1.15. And, again --
25 THE COURT: Generally that's --

1

Laura M. Brennan, Official Reporter

1 MR. BERKMAN: That's the minutes that has
something

2 to do with the December 14, 1982, meeting of the
Blood Products

3 Advisory Committee.

4 THE COURT: Fine. I'll get them back and
at the next

5 recess -- I'll wait until we're about to have a
recess and deal

6 with it.

7 Now, the way to avoid any uncertainty
here is for

8 counsel to look at the actual documents that are
being offered

9 to the jury. I mean, why that hasn't been done?
I don't know.

10 MS. THOMAS: I personally looked at what
went to the

11 jury today and --

12 THE COURT: I'm not talking about -- Mr.
Berkman

13 didn't personally look at them. Now, if he had, we
wouldn't

14 have this problem -- or if the problem existed, we
would have

15 dealt with it in advance of the documents going to
the jury.

16 I'm not suggesting that the jury has received
anything they

17 shouldn't have, but the way to make sure they don't
is to

18 show -- I mean counsel doesn't have to look over
them if they

19 don't want to, but each session, Miss Thomas, say,
"Here,

20 ladies and gentlemen of the defense, are the
documents I expect

21 to give to the jury this session. They're right
here, please

22 look at them."

23 MR. BERKMAN: Can I make two requests?
If I'm still

24 in this case after tonight, I'm going to want an
opportunity to

25 see the documents because when she shows them to
us, if they

1 have highlighted half a sentence ten or half a
paragraph, and

2 we think that the rest should be highlighted, there
is no

3 opportunity to do that. That's point 1.

4 Point 2, if the document includes things
additional

5 from what they have represented or said we don't
know what the

6 jury has. I know the jury now has a binder --

7 THE COURT: You don't need to persuade me
that it's

8 necessary for you to see what the jury has.

9 As far as highlighting, maybe the better
way to do

10 that rather than interrupting this process is for
you to offer

11 your own copies of the --

12 MR. BERKMAN: I didn't want to have to
give them six

13 inches of new documents.

14 THE COURT: There won't be that many.
All right?

15 1:45. Now, remind me to do that at the next
recess.

16 (The trial was adjourned at 12:35 p.m. until 1:45
of the same day.)

17

18

19

20

21

21

BRONSON, BRONSON & MC KINNON

22

444 South Flower Street

25th Floor

23

Los Angeles, CA 90071, by

24

MR. EDWIN W. GREEN

25

1 For Armour
Pharmaceutical
2 Company: SIDLEY & AUSTIN
One First National Plaza
3 Suite 4300
Chicago, IL 60603, by
4 MS. SARA J. GOURLEY
MS. LORY A. BARSDATE
5
6 For Miles
Laboratories: JOHNSON & BELL, LTD.
7 222 North LaSalle Street
Suite 2200
8 Chicago, IL 60601, by
MS. PAMELA L. GELLEN
9
O'CONNOR, COHN, DILLON & BARR
10 151 Union Street at Sansome
Suite 551
11 San Francisco, CA 94111, by
MR. DUNCAN BARR
12
For Baxter Travenol
13 Laboratories, Inc.: ALBERT, BATES, WHITEHEAD &
MC GAUGH
14 10 South Wacker Drive
Suite 2035
15 Chicago, IL 60606, by
MR. CHARLES G. ALBERT
16
DECHERT, PRICE & RHOADS
17 4000 Bell Atlantic Tower
1717 Arch Street
18 Philadelphia, PA 19103, by
MR. RICHARD L. BERKMAN
19
20
21
22
23 COURT REPORTER: LAURA M. BRENNAN
24 219 South Dearborn Street, Room 2342
Chicago, IL 60604
25 (312) 427-4393

1 (The following proceedings were had in
open court out
2 of the presence and hearing of the
jury:)

3 MR. BERKMAN: Your Honor, are you going
to ask the
4 jurors for those two documents?

5 THE COURT: If there is a problem with
them, I won't
6 be able to handle it without taking another recess
7 immediately. That's why I want to do it at the
next recess.

8 MR. BERKMAN: I apologize. Okay.

9 THE COURT: There is a time problem that
has arisen
10 that I want to discuss with you. Judge Decker, one
of our
11 retired judges, died earlier this week, and there
is going to
12 be a memorial service for him this coming Monday
morning in
13 Waukegan, some distance from here. And that means
that I will
14 not be available Monday morning. I think we're so
tight on
15 time that we'll try to start at 2:00 and at least
get a half
16 day in. Normally that may not be cost productive,
but in these
17 circumstances, I think it probably is. Then we'll
go all day
18 Tuesday.

19 And we talked earlier about Wednesday the
17th of

bring the 20 November and decided that it wouldn't make sense to
cancel my 21 jury in for half a day. What I would like to do is
on 22 motion call that day so that we can have a full day
for anybody? 23 Wednesday the 17th. Does that cause any problems
jury. I'll 24 That's what I'll do barring some problem with the
that's what 25 explain it to them. If that's okay with them,

McAuley - direct by Green

1 we'll do. Okay?

2 (The following proceedings were had in
open court in

3 the presence of the jury:)

4 THE COURT: Ladies and gentlemen, we
already know

5 that next week is going to be a short week, but
it's going to

6 be even shorter due to the death of one of the
retired judges

7 of this court earlier this week. There is going to
be a

8 service for him on Monday in Waukegan where he
resided, and I

9 will be in attendance at that service, which means
I can't be

10 here Monday morning. In view of the fact that
we're losing

11 time, we'll do half a day on Monday. We'll start
Monday at

12 2:00 P.M.

13 Then, unless somebody has made some plans
that you

14 can't change, that is, you've made some plan on the
strength of

15 our always having Wednesday off, I would like to
hold a

16 full-day session on Wednesday November 17. I will
cancel my

17 motion call for that day so we can try to make up
some of this

18 time. So if that's all right with everybody,
that's what we'll

19 do. Half a day Monday, all day Tuesday, and then
we'll resume

20 the following Monday and have a three-day week that
day. I

21 guess that's -- the following week is a holiday
week. That's

22 Thanksgiving. We'll do the best we can. All
right.

23 DIRECT EXAMINATION (Resumed)

24 BY MR. GREEN:

25 Q Dr. McAuley, I would like to go back and pick
something up

1 that we left off at before lunch, and that's what
you told us

2 you did with regard to first time donors. And
we're going to

3 get repeat donors because I forget to ask you about
that.

4 What did you do with regard to repeat
donors or

5 people who come back?

6 A Well, a repeat donor, when he comes to the
center, he signs

7 in similar to what he did before except that now he
has a donor

8 record which includes his previous laboratory test.
And then

9 he will be interviewed again by the medical
receptionist, and

10 she will take a history and do the physical
examination which

11 includes blood pressure, pulse, temperature, and
laboratory

12 tests including the red cell count or hematocrit
and also the

13 total serum protein.

14 The difference being then that that
individual not go

15 back to the doctor for a complete physical, but the
physical

16 will be a physical done by the nurse/receptionist,
as I

17 mentioned, rather than seeing the doctor.

18 Q Do you keep a running tally then on your repeat
donors of

19 things like their weight?

each 20 A Yes. On the master donor card they will have
21 donation, and they will have the temperature, blood
pressure,
22 pulse, the fact that they have been asked the
questions -- 13
23 questions relating to their past medical history
and their
24 weight, the location of where they had the vena
puncture site,
25 and then who did the vena puncture to do the
plasmapheresis.

1 Q So this running information that you have on
your repeat

2 donors, does that give you any assistance in
tracking their

3 health?

4 A Yes, it does. We find that -- well, obviously
if you have

5 an individual who is rejected because their protein
is down,

6 then that gives you an indication that they're not
eating

7 properly and that they should be deferred and made
a permanent

8 reject or something along that line because they're
not taking

9 good enough care of themselves; or in terms of
weight, we feel

10 that people who are -- who don't eat well and that
their weight

11 tends to decline, then it's helpful.

12 Then when we're examining -- obviously
with HIV

13 infection weight loss is one of the things we look
at. The

14 running commentary is extremely important to the
donor, sir.

15 Q How about people like me whose weight only
ascends? Does

16 that cause any trouble?

17 A No, not really.

18 Q Doctor, we were talking before the lunch hour
about

19 sensitivity, and I believe you told us it was
regard to

20 hepatitis B -- and we'll get to C later, but with
regard to

21 hepatitis B, it's not possible for you to -- it's
not possible

22 for any of these tests to pick up 100 percent of
the virus

23 particles present, is that true?

24 A That's true.

25 Q In the plasma pools that you have at Alpha and,
presumably

1 everywhere else, are there also antibodies present
with regard

2 to hepatitis B?

3 A Yes, there are antibodies. There are two types
of

4 antibodies; basically the anticore antibody --

5 Q Let me stop you for a minute because we've
thrown these

6 terms around.

7 Let me ask you, first of all, what is
antigen?

8 A Antigen is a name that's given to a substance
frequently or

9 more frequently than not of protein, but it can be
other

10 things, that when given to an individual or
injected into an

11 individual will cause the body to respond by
producing

12 antibodies.

13 Very much like when you get tetanus
immunization or

14 you give a smallpox immunization, you're basically
injecting an

15 antigen and then the body will produce an antibody
against that

16 antigen and offer you some protection or some
immunity, polio

17 or any of those virus infections of that variety.

18 Q An antigen is something that comes from outside
the body?

19 A That's true, except there are certain disease
entities

20 whereby the body produces antibodies against its

own tissues

21 like lupus erythematosus, rheumatoid arthritis, and
some of the

22 other disease entities. Overall, you're correct.

23 Q You better slow down. The reporter has to
write this all

24 down.

25 A As a general rule, it's something coming from
the outside.

system 1 Q And the antibody is something that the immune
 2 produces to inactivate the antigen?
 3 A That is correct.
like 4 Q So when you have a donor who has had something
 5 hepatitis B, he may well have antibodies present in
his blood, 6 is that correct?
 7 A That's correct.
 8 Q Do those antibody then protect someone who gets
that blood 9 from having hepatitis B?
 10 A Well, I don't know that we can say that, but
the antibody 11 is what protects individuals against getting --
when they get 12 exposed to the antigen will cause that to offer
protection. 13 Now, some antibodies are much more --
much more 14 likely to be neutralizing or prevent the antigen
from causing a 15 problem than others. And as we mentioned in
hepatitis B, there 16 are the core antibody, as we mentioned, and then
there's the 17 surface antibodies. Obviously those are two
antibodies that 18 probably offer -- certainly surface antibodies
offers 19 protection against hepatitis B antigen.
 20 Q Now, were you in a position to monitor reports
with regard

21 to hepatitis B transmission as a result of using
AHF?

22 A Yes. Before we had the hepatitis B surface
antigen test,

23 we not infrequently would get reports of people
coming down

24 with hepatitis related to -- not albumin, but
certainly to

25 antihemophilic factor.

1 When we started testing for hepatitis B
surface
2 antigen, the incidence of hepatitis B infection --
at least
3 reports coming to me -- were much less. And when
we
4 scrutinized the individuals to try to determine
what the
5 etiology or the cause of that was, it became
obvious that they
6 were not hepatitis B, but probably another entity.
And that's
7 where we came up with the term non-A, non-B
hepatitis.
8 Q Had the incidence of hepatitis B declined after
you
9 instituted these tests?
10 A It certainly did decline. It did not go away
completely,
11 but certainly did decline. It used to be called
12 transfusion-transmitted hepatitis, hepatitis B, but
with the
13 screening, then non-A, non-B became the major cause
of
14 transfusion transmitted hepatitis.
15 Q And when was it that hepatitis C or some people
have called
16 it non-A non-B, when did you first start to see
that?
17 A Well, soon after we started -- soon after we
started
18 screening and excluded a lot of individuals from
the pool who
19 were hepatitis B surface antigen positive, we still
got some

20 complaints of hepatitis occurring in the recipient
of the

21 product.

22

23

24

25

7

Laura M. Brennan, Official Reporter

1

McAuley - direct by Green

1 Q When was that in time?

2 A Oh, this would be about 1978, '79, '80.

3 Q All right. So between 1978 and 1980, then you saw a
decline

4 in the hepatitis B among users of AHF but then you saw
hepatitis

5 C start to show up?

6 A Correct.

7 Q Now, are these distinct viruses or are they cousins to each

8 other?

9 A No, they are definitely distinct viruses. One is -- yes,

10 they are definitely made up differently.

11 Q They just both happen to attack the liver, is that right?

12 A That is correct.

13 Q Now, what did you start to do about hepatitis C?

14 A Well, I believe the viral particle was ascertained about

15 1988 by Kyron in this Bay area. They got a portion of the

16 virus, and from this in 1988 they eventually came up with a
test

17 to determine whether somebody has antibodies against that
18 portion of the virus which would be called an antigen.

19 And that is when they came up with an HCV, or non
A-non

20 B test, and that was determined in -- it was discovered in
about

21 1988 and I think it was implemented in the whole blood sector,

22 in other words, Red Cross and some of the places where you got

23 whole blood, in about 1990.

24 But we were not -- we, the plasmapheresis centers, did

25 not start testing probably until November of 1991 because --

LAURA M. BRENNAN, Official Reporter

1 well, for many reasons, but --

2 Q Why don't you tell us the reason for that?

3 A Well, as I think you heard from the testimony of Dr.

4 Aronson, one of the problems is that HCV antibody is a -- we

5 don't really know -- it was much more of a problem with the

6 whole blood than it was with plasmapheresis, and until it was

7 licensed by the FDA, I mean, for plasmapheresis, we couldn't
use

8 it as a screening test.

9 Q By the way, in the past when you were at Abbott, had you

10 ever had experience with using tests that were not yet licensed

11 by the FDA?

12 A Yes, we did. We had an experience back in, as I think I

13 mentioned, '71, '72, when we had the agar gel diffusion test,

14 which was not a licensed product by the FDA. We had taken it

15 upon ourselves to start screening our donors. And the FDA took

16 exception to this and fined Abbott \$10,000 until it was a

17 licensed test.

18 So needless to say, we were pretty sensitive about

19 complying with the FDA.

20 Q Now, at all times, Dr. McAuley, did you warn by way of

21 package inserts and the labeling what the antihemophilic factor

22 -- about the possibility of there being hepatitis associated

23 with it?

24 A Yes. Our package insert, even when we had -- when the
25 hepatitis B surface antigen was discovered, we had to put in
our

LAURA M. BRENNAN, Official Reporter

1 package insert that even though we tested for hepatitis B
2 surface antigen, that this product could in fact cause
3 hepatitis, and that is true today.

4 Q Was that fact widely known in the medical profession among
5 hemophilia treaters?

6 A Definitely.

7 Q Now, why did you still continue to produce the
8 antihemophilic factor with that warning on it? Why was it
still

9 used?

10 A Well, we felt certainly that we had to -- we had that
11 responsibility, I think, and from the point of view that, yes,
12 it could transmit hepatitis even though it was much less
likely,

13 and then, of course, when we started heat treating, we knew
that

14 we could kill certainly the non A-non B or HCV and probably to
15 some degree hepatitis B and certainly, definitely, HIV.

16 So we felt, and I think the FDA said, you know, you
17 will not take that out of your package insert.

18 Q Why would a physician use a product like antihemophilic
19 factor knowing that it might carry with it a risk of hepatitis?

20 A Well, --

21 MS. THOMAS: Objection, calls for speculation.

22 THE COURT: I am sorry. Will you read the question

23 back?

24 (Question read by the reporter.)

25 THE COURT: Overruled.

LAURA M. BRENNAN, Official Reporter

1 BY THE WITNESS:

2 A It had a -- there is a benefit risk ratio. I think the
3 treaters generally speaking felt that the danger of hemorrhage
4 and deformed joints was far greater than the risk of hepatitis.

5 BY MR. GREEN:

6 Q I would like to move forward to 1992, and we have put
7 together a time line that you have seen.

8 Would this time line help you to explain those events
9 to the jury?

10 A I believe so.

11 Q First of all, Doctor, you pointed out to me there is a
12 mistake in this time line, is that true?

13 A May I get up there closer to it?

14 Q Can you see it?

15 A I say may I get up closer?

16 THE COURT: Certainly, go ahead.

17 (Brief interruption.)

18 BY THE WITNESS:

19 A Yes.

20 MR. GREEN: Why don't you come down and stand at this
21 side.

22 Can everybody see this?

23 THE WITNESS: The mistake is in the 1979 -- Alpha did
24 not ever collect -- we never owned nor did we collect plasma

25 from prisons. We did purchase plasma to use for high titer, or

LAURA M. BRENNAN, Official Reporter

5

McAuley - direct by Green

1 tetanus plasma for individuals who had high antibodies for
2 tetanus immunoglobulin.

3 But we never owned any centers nor did we collect
4 plasma from centers.

5 BY MR. GREEN:

6 Q For AHF?

7 A For AHF.

8 Q Okay. I will do that to remind myself.

9 Now, just to cover that answer a little bit more,
when

10 you use something for those purposes, you want people who have
11 tetanus or had tetanus, right?

12 A Right.

13 Q That have the antibody to it?

14 A Yes. Most of those people have been previously immunized
15 with an antigen, toxoid, and they produce antibodies against
16 tetanus, and you take their plasma and you manufacture it into
17 immunoglobulin for the use of against acute tetanus.

18 Q Now, when did you first become aware that there was
19 something called -- let's call it AIDS even though it was
called

20 GRID?

21 A Well, I think it was probably in early 1982.

22 Q So this GRID syndrome that was being reported in the gay
23 population really didn't -- that didn't get out to you until
24 early '82?

25 A Well, I was aware of that in the gay situation, but I was

LAURA M. BRENNAN, Official Reporter

6

McAuley - direct by Green

1 not -- I really didn't pay a whole lot of attention until 1982

2 when the first cases occurred --

3 THE REPORTER: I am sorry?

4 THE WITNESS: I did not pay attention to it in the
same

5 degree that I did in 1982, June of 1982, or July when the three

6 cases of opportunistic infection occurred in the hemophiliac

7 patients.

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

McAuley - direct

1 Q. You need to slow down a little bit, Doctor. Just take
your

2 time.

3 So that Alpha proceeded along taking plasma, making

4 AHF, and the first time that there was any connection so far
as

5 you knew about there being in connection with AIDS and with

6 blood products of any sort was in July 16th when the MMWR came

7 out?

8 A. That's right.

9 Q. So tracking that, July 16, 1982, you and Alpha received
10 information about the MMWR. What did you do at that point?

11 A. Well, we then in July, we obviously were aware of it. And

12 then we heard about the case, the child in San Francisco who

13 got AIDS from platelets. And then to us at least it seemed as

14 if this --

15 Q. All right. I'm going to slow you down a bit.

16 That happened in December, is that right?

17 A. That's correct.

18 Q. So in December, if I can find it on here, in December of

19 '82 for the first time -- where is it? Here, here it is --

20 December 10th, that's what I was looking for, December 10th we

21 have a child who has received platelets. And at that point,

22 you at Alpha become concerned about this?

23 A. Yes. I think from my point, from my mind, this was the
24 first really good indication that this could be a blood-borne
25 infection.

LAURA M. BRENNAN, Official Reporter

1 Q. Now, at that time in December 10th of 1982, did you know
2 that AIDS was viral?

3 A. No, we did not know anything about what the etiology was.

4 Q. Did you know that AIDS was a disease that could have a
5 latency period in an amount in excess of 10 years?

6 A. No. We knew nothing about it.

7 Q. Did you know that AIDS was blood borne?

8 A. No, we didn't.

9 Q. Now, with the concern that you had at Alpha, what did you
10 do, just tell me the first thing that you did in response to
11 this event December 10th of 1982?

12 A. Well, we established, we established an AIDS task force,
13 and that was on the 16th, I believe, of December.

14 Q. And what was the task force for that you formed on
December

15 16th?

16 A. The purpose of the task force was to determine what Alpha
17 as a manufacturer could do to understand AIDS, to improve our
18 product, possibly try to come up with ways in which we would
be

19 -- we could do a better job with our product and make it
safer.

20 Q. Who did you have on the task force?

21 A. Well, the task force consisted of plasma procurement
22 people, R&D people, sales and marketing people, myself. Those

23 were the primary individuals involved.

24 Q. And what was the first thing that you decided to do?

25 A. Well, due to the fact that it appeared as if 75 percent of

LAURA M. BRENNAN, Official Reporter

1 the patients who had AIDS were gay, and that 17 percent were
IV

2 drug abusers, and five percent were Haitians, it seemed
logical

3 to me that we should exclude them as donors from our donor
4 centers.

5 So on the 16th, I believe, of December, we sent out
a

6 lettergram to all of our donor centers stating that from now
on

7 we're going to exclude all individuals who fall into these,
8 this high risk group or individuals who have had sex with
males

9 and things of that nature.

10 Q. Males who had sex with males?

11 A. Males who had sex, well --

12 Q. You didn't exclude women who had sex with men?

13 A. Well, we had some bisexual partners, too.

14 But we also then sent out on the 17th a letter to our

15 what we call contract centers. These are centers that we

16 purchased plasma from. They're not owned by Alpha, but they

17 follow our standard operating procedure, and they have the
same

18 requirements that we have. So we asked them to start
excluding

19 these high risk donors. And at the same time, when they

20 shipped the plasma, they had to certify that these donors
had

21 been asked about, about the plasma -- or the questions about

22 high risk.

23 Q. Now, when you took these steps on the 16th of December, do

24 you remember, what's your best recollection of how many

25 hemophiliacs had at that point been reported with AIDS?

LAURA M. BRENNAN, Official Reporter

1 A. I believe it was seven at that point in time.

2 Q. That's out of a population of how many?

3 A. Well, out of the 20,000 hemophiliacs, probably 10,000 of
4 them are severe enough that require therapy.

5 Then we also did one other thing I should mention.
6 We

7 established a subgroup of the AIDS task force which were
8 primarily scientific people who were going to look into the
9 possibility of ways of diagnosing it, ways of screening, ways
10 of treating it, alpha interferon, other scientific errors,
11 possibly culturing the virus, things along this line. So
12 there

13 was another group, an AIDS subcommittee of the AIDS task
14 force.

15 Q. Were you examining already before December of 1982, were
16 you already examining heat treating as a way to achieve viral
17 inactivation?

18 A. We had started our heat treating process sometime in early
19 1982.

20 Q. Was the heat treating process connected with AIDS at that
21 time?

22 A. No. It was primarily with hepatitis.

Q. B or C or everything?

A. B and non A-non B.

Q. You didn't call it C then?

23 A. No.

24

25

LAURA M. BRENNAN, Official Reporter

1

McAuley - direct by Green

1 Q Now, I would like to go back. There was a meeting of the

2 FDA with regard to opportunistic infections of hemophilia

3 patients in 1982 in July.

4 Were you invited to that?

5 A I don't remember whether I was invited. I did not go to

6 that meeting. I think possibly some people in our organization

7 did but not me.

8 Q Now, at the time that you undertook these donor screening

9 steps; that is, you started asking direct questions, was anyone

10 else in the industry doing it?

11 A Not at that point in time.

12 Q Dr. McAuley, is this a copy of the letter that was sent out

13 on the 17th to your plasma center?

14 A This was the one sent out to our contract center.

15 Q And did you receive any -- for want of a better term, did

16 you get any flack from your contract centers?

17 A Yes. They didn't want to ask -- they didn't want to have
18 to

18 ask these questions, I think, for whatever reason; but, yes, we

19 did have a lot of flack, but in order to sell it, obviously, we

20 had to.

21 Q So you told them that if they didn't go through this

22 procedure?

23 A We would not purchase their plasma.

24 MR. GREEN: And for record that is Exhibit Number 39.

25 BY MR. GREEN:

LAURA M. BRENNAN, Official Reporter

1 Q And, sir, did you send out at that time a letter to
2 hemophilia treatment centers and hemophilia chapters?

3 A Yes, we did.

4 Q I would like to put up next Exhibit Number 12.

5 Do you have any objection? I think we showed this to
6 you before.

7 (Brief interruption.)

8 BY MR. GREEN:

9 Q What famous American was this? That was you, right?

10 A That was me.

11 Q When you sent out to hemophilia treatment centers, what
kind

12 of treatment centers were those?

13 A Well, the hemophilia -- most hemophiliacs in this country
14 are treated by centers, in other words, a disease that requires
15 a degree of expertise that is over and above, you know, you
16 going to your doctor and being treated that way.

17 So the treatment centers were usually treaters, in
18 other words, hematologists, or people who are treating, but
then
19 there were also surgeons associated with this, dentists
20 associated, physical therapists, so that you would have a
21 so-called comprehensive health care system, and that is what we
22 meant by the hemophiliac treatment enters.

23 Q You also sent it to hemophilia chapters. What is that?

24 A Well, those are -- the majority of hemophiliacs or people

at

25 the centers are associated with the National Hemophilia

LAURA M. BRENNAN, Official Reporter

1 Foundation. There are exceptions. There are some chapters
that

2 are not related to the National Hemophilia Foundation.

3 So what we did was to send them to the NHF chapters,

4 the National Hemophilia Foundation chapters, as well as to
those

5 chapters which were independent. For example, California or

6 Southern California does not belong to the National Hemophilia

7 Foundation.

8 MR. GREEN: Okay. Can you read that in the far
corner?

9 If not, I will. One of the jurors indicated he is having
10 trouble, your Honor, if I might read it.

11 "On December 20th, 1982 Alpha Therapeutic Corporation
12 with the encouragement of the National Hemophilia
13 Foundation took a leadership position by initiating a
14 new donor screening program to exclude plasma donors
15 who are thought to be potential carriers in
16 transmitting acquired immune deficiency syndrome,
AIDS.

17 Since there is no known laboratory test currently
which
18 will identify a potential infectious individual with
19 AIDS, Alpha is taking all possible steps to reduce the
20 potential risk for the hemophiliac receiving Factor
21 VIII Profilate and Factor IX Profilnine concentrate.

22 Alpha has always checked potential donors from

23 temperature, fever, weight loss and other physical
24 signs which are now suspected of being symptomatic of
25 AIDS.

LAURA M. BRENNAN, Official Reporter

4

McAuley - direct by Green

1 Wherever we find a potential risk factor, we will
2 decline to accept plasma from that donor. This action
3 is consistent with our policy to do all we can to
4 ensure patient safety in using our plasma products.
5 According to the Centers for Disease Control, there
are
6 now several specific groups within our population
which
7 are considered at high risk for transmitting AIDS,
8 including homosexuals, drug abusers, and Haitians.
9 We do not operate prison donor centers which have a
10 large high risk population, nor do we purchase plasma
11 from prisons.
12 Thus, we have made every effort to eliminate donors
who
13 might have AIDS through every effort to eliminate" --
I
14 am sorry -- "through a program of education in asking a donor
if
15 they fall into any of the high risk groups.
16 We are applying the same donor screening criteria to
17 all suppliers of plasma to Alpha. We will continue to
18 take this leadership position in the industry by
19 working with public health authorities and the CDC,
20 FDA, and other concerned groups on this issue."
21 First of all, Doctor, you told us at the time that
this
22 letter went out on December 22nd, 1982, there are about seven

23 hemophiliacs that had contracted AIDS, is that right?

24 A Yes, that is correct.

25 Q How many -- what size was the population at large that had

LAURA M. BRENNAN, Official Reporter

5

McAuley - direct by Green

1 contracted AIDS with hepatitis?

2 A I think it was somewhere around 1,100. I don't remember
the

3 exact number, but I remember by March there were 11
hemophiliacs

4 that had opportunistic infection, and the total population of

5 people with AIDS was around 1,120-some. So it was considered

6 about 1 percent of the total population. That is of March of

7 1983.

8 But I think at this time there were about 7.

9 Q So by far the smallest number of people who were involved
10 that had any kind of correlating factors were the hemophiliacs?

11 A Yes.

12 Q So the first thing you did is you screened donors. The
next

13 thing you did is that you made the people -- or you asked the

14 people who supplied you plasma that they do likewise or you

15 would not take their plasma.

16 You already had a heat treat process in the works?

17 A Yes.

18 Q Is that correct?

19 A That is correct.

20 Q As I understand it, you are not a heat treat doctor, is
that

21 right?

22 A No, I am not.

23 Q In addition to that, sir, did you close donor centers which

24 were in high risk areas?

25 A Yes, we did.

LAURA M. BRENNAN, Official Reporter

1 In other words, at that point in time, the CDC or F --

2 the CDC, I believe, had stated that certain -- something like
47

3 percent of all of the AIDS cases were occurring in New York
4 City, and Los Angeles was second, and San Francisco and Miami
5 and Newark and Houston.

6 So we closed down -- so we were not collecting plasma
7 from New York or Miami as it was; so we closed down San
8 Francisco and Los Angeles donor centers.

9 Q You never did have a center in Miami?

10 A Never had a center in Miami.

11 Q Or New York?

12 A Or New York.

13 Q Or Houston?

14 A We had one in Houston.

15 Q Now, that takes us through the activities in December, is
16 that correct?

17 A Yes. But one other thing on the AIDS task force that I
18 didn't mention was at that time we started looking into other
19 areas of product improvement. We considered lyo-cryo, we
20 considered anti core testing, and we wanted to speed up the
21 process of heat treatment.

22 Q What were you doing with regard to anti core testing?

23 A Well, we -- this was in December.

24 We asked our people to start collecting plasma and

25 testing it for anti core. We took -- we were going to collect

LAURA M. BRENNAN, Official Reporter

7

McAuley - direct by Green

1 from three different centers. We were going to check people
who

2 had core antibody, and we were going to make it into a product

3 that was anti core negative.

4 So Penny Carr, who was our regulatory affairs lady,
who

5 was also the responsible head, requested from the FDA that we
be

6 allowed to manufacture a lot of antihemophilic factor, anti
core

7 tested, and that we would not state that this was -- we would

8 not make any claims other than the fact that it was anti core

9 tested and that we wanted to be able to put on our labeling --
I

10 mean, on our package insert that -- about the possibility of

11 AIDS as being transmitted by blood products.

12 Q And on May 3rd you were told no dice, is that right?

13 A That is correct.

14 The letter went out in March, and we were told in May

15 that we could not do this.

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

25 A. Oh, I'd say maybe three times as large as this room, two
or

LAURA M. BRENNAN, Official Reporter

1 three times.

2 Q. Was the room full?

3 A. Pardon me?

4 Q. Was the room full of people?

5 A. Jammed full. There were people sitting on the side.

6 Everybody was interested in what was going on.

7 Q. And was the meeting one that was organized and quiet, or

8 was it vigorous and boisterous?

9 A. The only organization it really had in that meeting as far

10 as I was concerned was when they announced that they were

11 having the meeting and the head of the CDC announced that we

12 were going to have such and such a program. And from there on

13 in my opinion it went down hill.

14 Q. Down hill, would you describe how it went down hill?

15 A. Well, it was a meeting where it was like almost where you

16 had a turf war between CDC and FDA, that's the first thing,
17 and

17 then the National Gay Task Force took over the meeting

18 basically and decided that, you know, that they were more

19 concerned about their civil rights and about their

20 confidentiality and the fact there would be discrimination

21 about gays and things of that nature.

22 Q. Did you announce at that meeting or state that Alpha had

23 already since December 16th been screening donors from high

24 risk groups?

25 A. When the meeting was -- actually, the meeting had gone on

LAURA M. BRENNAN, Official Reporter

1 for quite, you know, almost the whole day, and no definite
2 conclusions had come about. And as I say, the National Gay
3 Task Force was very vehement about protecting the rights of
gay
4 individuals. And at that point, and one of the issues, of
5 course, was that we would not -- nobody should really ask
about
6 anybody's sexual preference.

7 And so obviously at that point in time -- well, I was
8 elected to be the spokesman for Alpha. At that point in time,
9 I stood up and said that we as of December 16th had already
10 started screening, and we were going to exclude high risk
11 individuals and the groups that you're aware of. And so that
12 created a lot of problems with regard to the meeting. But
that
13 was our attitude.

14 Q. What problems did it create?

15 A. Well, I mean, some of the people got up and said that we
16 had no right to do this, and we shouldn't be discriminating
17 against anybody. We shouldn't be encroaching on their
privacy.
18 That we should not -- look what would happen if we started
19 excluding people on their sexual preference. It was, you
know,
20 it was a touch and go situation.

21 Q. Did anyone from the CDC at that time say that AIDS was
22 caused by a virus?

23 A. No, I don't think so. I think that they suspected that
24 there may be, that this may, in fact, be a blood-borne
25 infection.

LAURA M. BRENNAN, Official Reporter

1 And as far of I'm concerned, the only person that
2 really offered any major worthwhile material was Dr. Spira.

3 And he was the first one that suggested to me anyway or to the
4 group that we should possibly be doing anticore testing,
5 because it looked like there was some similarity between
6 hepatitis B and HIV infection.

7 Q. Did you tell him that you were investigating core testing
8 already?

9 A. No, I didn't. This was -- no. He was one of the
speakers,

10 and I obviously didn't have anything to do with him.

11 Q. That was he said that from the podium, not in a
12 conversation?

13 A. He said that from the podium.

14 Q. But as a practical matter, you were investigating anticore
15 testing already, weren't you?

16 A. We were investigating it, yes.

17 Q. Doctor, I'd like to carry you forward to a couple of other
18 events which we set forth up here. Let's see what I've
19 forgotten.

20 Now, during the balance of 1982 and '83, did the task
21 force continue to investigate AIDS and review all information
22 that came in?

23 A. Yes, it did. We had our meetings which were either weekly

24 or biweekly. And as time went on, it got to the point where

25 all we were doing basically was reporting on the number of

LAURA M. BRENNAN, Official Reporter

1 cases reported by CDC. So the real portion of the meeting
that

2 was worthwhile or the productivity was in the early portions,

3 and we continued to do what we were doing, namely, looking
into

4 lyocryo, looking into heat treating, I mean proceeding with

5 those sorts of things.

6 Q. Perhaps you'd better explain to us what lyocryo is.

7 A. Well, there were, there is -- there was and there is a

8 segment of treaters and people in this country who feel that

9 single donor cryoprecipitate is better than concentrate. And

10 there was a push to try to get the hemophiliac patient treated

11 with a cryoprecipitate, which obviously was not exactly the
way

12 our company was founded. I mean, it was not the way we were

13 functioning. So it would require individual donations rather

14 than pooling, putting them altogether in multiple donors.

15 And so we looked into the possibility of what it
would

16 take us in our manufacturing facility to create a lyocryo type

17 of operation. I know the Red Cross and some of the blood
banks

18 were considering this as a possibility in order to cut down on

19 large donor pools.

20 Q. Did that take the cost of the product out of the reach of

21 everyone?

22 A. Well, we figured when we investigated it, we figured that

23 it would cost about seven, an additional seven cents a unit

24 more to do lyocryo than it would with concentrate. And at
that

25 time I believe we were probably selling the concentrate for

LAURA M. BRENNAN, Official Reporter

1 something like eight or nine cents. So this would add an
2 additional 17 cents a unit, plus the fact that we had
sterility
3 problems and other things relating to manufacturing.

4 Q. Now, sir, in April 23rd for the first time the NIH
5 announced that they had, they had -- what's the word I'm
6 looking for -- separated the AIDS virus?

7 A. Identified.

8 Q. Identified the AIDS virus.

9 Until that time, was there any scientific proof that
10 you could rely on that AIDS was viral?

11 A. Not really. I think that everybody felt that it was a
12 virus. I mean, at that point in time somewhere in 1983
13 certainly everybody thought, well, this is probably a virus.

14 But there were so many factors or things that we were going
15 through then that really didn't help us.

16 I mean, there were people who were virologists at
CDC,

17 I mean at FDA, who felt that this was Epstein-Barr virus
18 infection or CMV virus infection or a combination thereof
19 whereby the immune system was altered by these other viruses.

20 And then there was a group that thought it was related to
21 hepatitis B. There were people who felt that this was a new
22 virus that we didn't understand. So that we had all types of
23 opinions with regard to what's the cause of this. And really,

24 nobody -- it was a very frustrating period.

25

LAURA M. BRENNAN, Official Reporter

1 Q In your experience as a physician, has there
ever been a

2 virus before that had this very long latency
period?

3 A No, I was -- well, no, not like this. There is
a condition

4 called slow virus, but this was different than
that. So

5 there's a lot of possibilities, but no, never.

6 Q By the time that you began to suspect that this
could be a

7 virus because of the long delay period, it was
already in the

8 blood supply, is that right?

9 A Yes.

10 Q You started heat treating -- we'll go through
the heat

11 treating in some length with doctor kneely, but I
would like to

12 ask you if you recall the date that you had the
heat-treat

13 process licensed by the FDA?

14 A I believe it was in February or March of 1984.

15 Q That's what my cheat sheet says.

16 A Yes.

17 Q Shortly after that you became aware through
tests by the

18 FDA that the heat-treat process was effective in
deactivating

19 the HIV virus, is that right?

20 A Yes.

21 Q But there was still no way that you could test

any product

22 for the presence of this virus until 1985, is that correct?

23 A After the virus is was identified, then you could tell that

24 you could inactivate it by heat.

25 Q But you still couldn't screen donors for the virus because

1 there was no test for it?

2 A That's correct.

3 Q The first time that that test happened was in
1985?

4 A Yes, that's the first time it was a licensed
test was in

5 March of 1985. And it was implemented in our donor
centers by

6 April of 1985.

7 Q Going back to your donor screening methodology
Dr. Tom

8 Drees testified here that it excluded about 4
percent of the

9 people that presented themselves to you for
donation, is that

10 correct?

11 A Well, I don't know that I have that number at
hand

12 actually. I know we excluded people, but I think
that's a hard

13 figure to come by because we had -- up on the wall
we had a

14 "Dear Donor" letter explaining to them about AIDS
and the risk

15 of AIDS, and so we couldn't tell the number of
donors that came

16 in and looked at that list and saw that they fell
into that

17 group and then just left. I mean, there is no way
you can

18 equate that to saying, well, it's 4 percent or 2
percent or

19 whatever.

20 Q Sir, on March 15 -- I'll put up for the jury,

Exhibit

21 No. 26.

22 On March 15 Penny Carr forwarded to the
FDA --

23 March 15, 1983, forwarded to the FDA a request to
do two

24 things: Number one was to put an AIDS warning on
the label or

25 insert; and, number two, was to perform the core
test which you

1 had been looking at up to that time?

2 A Yes, it wasn't -- yes, I think that's right.
It was to

3 alter our package insert so that we could put in
there that

4 this could conceivably transmit AIDS.

5 Q Was it your understanding, sir, that FDA
approval was

6 required before you could perform that test?

7 A Very definitely.

8 Q And that was based, first of all, on the event
that had

9 happened where you got fined \$10,000 now for doing
an

10 unauthorized test. And are you also familiar with
the Code of

11 Federal Regulations with regard to biologics and
licensing

12 requirements, Section 601.12?

13 A I've seen that, so I do know that you can't do
that.

14 MR. GREEN: I might put this up for the
jury, your

15 Honor.

16 BY MR. GREEN:

17 Q 601.12 was in effect at that time and says
that, "Proposed

18 change of manufacturing methods and labeling may
not become

19 effective until notification of acceptance is
received from the

20 director of the Bureau of Biologics."

21 And that was your understanding as of

March 15, 1983?

22 A Yes, it was.

23 Q And, sir, in your AIDS task force -- was Penny
Carr a

24 member of the task force?

25 A Yes, she was.

1 Q Did she report back to you that they had
received a letter

2 over the signature line of John C. Petricciani
which did not

3 grant you authority to proceed with the testing?

4 A Yes.

5 Q And also did not grant authority for those four
corners for

6 you to provide warnings to consumers?

7 A Yes.

8 Q I would like to show you, sir, lastly --
actually the next

9 to last Exhibit No. 1, which has previously been
admitted which

10 says, the -- "It's emphasized that all aspects of
AIDS

11 including the cause, method of transmission,
predisposing

12 factors, and definition of the syndrome itself are
incompletely

13 understood in spite of the extensive and intensive
research

14 activity focused upon these issues and the benefit
from life

15 threatening or disabling hemorrhage far exceed the
risk of

16 acquiring AIDS."

17 Someone named J.P. -- do you recognize
those

18 initials? Have you ever seen those before?

19 A That's John Petricciani.

20 Q He wrote, "I agree and implicit in that
position is a need

21 to evaluate and discuss each incident on a
case-by-case basis,"

22 dated July 26, 1983.

23 Sir, was this the state of the
information at Alpha

24 as of mid-1983?

25 A Yes, it was.

of the 1 Q As far as you knew, sir, was this the position
this 2 Food & Drug Administration at or about the time of

3 particular memorandum was written?

4 A Yes, it was.

have a number 5 Q I would like next, sir, to show you -- do you

6 on this one?

7 MR. RING: Zero.

8 MR. BELL: 60.

9 MR. GREEN: You're half right, as usual.

10 BY MR. GREEN:

Department 11 Q This, sir, is a letter on the letterhead of the

Petricciani. It 12 of Health & Human Services signed by John

Blood Products 13 says, "Attached are copies of the minutes of the

14 Advisory Committee of July 19, 1983."

under 15 I believe, sir, that the previous exhibit

advisory committee 16 subject says, "Results of the blood products

derivatives." That's 17 meeting related to the safety of plasma

18 the same meeting, is that right?

but 19 Counsel may read all this if they wish,

20 Dr. Brandt -- who is Dr. Brandt?

Services. 21 A He was assistant secretary of Health & Human

22 Q Assistant to the cabinet secretary?

23 A Yes.

24 Q Dr. Brandt has concurred with the committee's
conclusions

25 being used as the current working policy of the
Office of

1 Biologics.

2 Does that confirm, sir, your belief that
this was the

3 policy of the FDA in mid-1983?

4 A Yes, it was. That was my understanding.

5 MR. GREEN: I have no further questions,
your Honor

6 at this time.

7 CROSS-EXAMINATION

8 BY MS. THOMAS:

9 A Dr. McAuley, there seems to have been a lot of
confusion

10 during this 1983 period about what the FDA's policy
or position

11 or recommendation was on the issue of core testing
-- at least

12 it seems that's what we're hearing at this point in
time, would

13 you agree with that?

14 A I think it was pretty obvious what their
position was that

15 they were not in favor of doing core testing.

16 Q Dr. McAuley, did that depend on who answered
the phone at

17 the FDA when you called?

18 A Dr. Petricciani was in charge of the Bureau of
Biologics at

19 that point in time and was making decisions as to
what we could

20 do and what we could not do.

21 Q Are you saying that that letter we just looked
at stated

22 Dr. Petricciani's position?

23 A It certainly told us his position was that we
could not do

24 core testing.

25 Q Let's take a look at that letter.

Counsel? 1 MS. THOMAS: Which exhibit was that,

me. 2 THE WITNESS: Or we could not -- excuse

3 BY MS. THOMAS:

4 Q Just so there is no question, this is what
you're telling

5 us is the official position of the FDA?

6 A Yes.

7 Q This letter signed by Marge Crouch?

8 A Yes.

9 Q Received by Regulatory Affairs on May 10, 1983?

10 A Yes.

11 Q Which states, "In the absence of some data
indicating

12 increased safety, we would feel any labeling change
to be

13 unwarranted." Is that what you're talking about
here?

14 A Yes.

15 Q Now, Penny Carr did write to the FDA, didn't
she?

16 A Yes, she did.

17 Q What she wanted to do was put stickers on each
and every

18 product that left Alpha saying this is hepatitis B
core

19 antibody tested, right?

20 A That is correct.

21 Q That would have been -- at the time it was
perceived to

22 have been a marketing advantage, wasn't it?

23 A I'm not sure that that's exactly the reasoning
behind it --

24 Q There were a lot of opinions flying around at
this time

25 that core testing was a good thing to do.

1 A That's correct.

2 Q You said you agreed -- the one person you
agreed with was

3 Spira of the CDC, that he had pretty sound data,
correct?

4 A I think he was the -- that data was the most
impressive of

5 any I heard that day, that is correct.

6 Q That showed that a very significant percentage
of people

7 who were -- who had AIDS disease were hepatitis
core positive?

8 A That is correct.

9 Q Okay. Penny Carr's request to the FDA to -- to
10 Dr. Petricciani was the March 15, 1983, letter,
right?

11 A That is correct.

12 Q She states -- and this is our Exhibit 3057, "We
intend to

13 make no promotional claims for antihepatitis B
negative AHF.

14 Very soon we will submit our proposed labeling for
this

15 product. We intend to utilize an adhesive sticker
label simply

16 indicating that the product has been derived from
plasma tested

17 and found to be negative for antihepatitis B core,
correct?

18 A That's correct.

19 Q Okay. One of two jobs the FDA had in terms of
regulating

20 your industry, sir, was, first, to license the
product and,

being made 21 second, to make sure that there are no false claims

22 about it?

23 A Absolutely true.

agree with 24 Q So this was simply a label issue, wouldn't you

25 that?

1 A I think that a label issue is as important as
anything that

2 goes on the -- on the whole bottle.

3 Q Alpha had nothing to gain by doing cost testing
and not

4 putting little stickers on its bottle, did it?

5 A I'm sorry. I didn't really understand your
question.

6 Would you repeat it.

7 Q I'll rephrase it. Now, Alpha actually did look
into core

8 testing and selected various sites for core testing
isn't that

9 correct?

10 A That is correct.

11 Q And there was a -- it took some time to decide
which sites

12 should be selected for core testing, didn't it?

13 A I don't really know how difficult it was. I
know we

14 selected three centers. I don't remember which
ones they were

15 or how we went about doing that.

16 Q Okay. Well, in February of 1983, do you recall
Alpha

17 looking at its Portland southeast donor center and
determining

18 that it had the highest antihepatitis B surface
antibody rate

19 based on the screening done in November of 1982
through January

20 of '83, a 13 percent rate?

21 A I don't remember that figure, but it doesn't

surprise me

22 that we would have selected a center that probably
had less

23 likelihood of having positive anticore.

24 Q And the highest hepatitis B surface antigen
positive rate

25 was in Houston, and that was one of the centers
under

1 consideration for core screening; were you aware of
that?

2 A No, I was not aware of that.

3 Q I'm reading from a document, sir, that's been
labeled Alpha

4 Exhibit No. 21.

5 Now, you talked a little bit, Dr.
McAuley, about the

6 benefits of the antigen test because it was
specific?

7 A You mean antigen for hepatitis B?

8 Q Yes.

9 A Yes.

10 Q And that the antigen test would detect, I think
-- by the

11 time we got around to the second generation RA
test, it would

12 defect about 90 percent of the antigen positive
carriers,

13 right?

14 MR. GREEN: Counsel, can we have the
number of the

15 exhibit?

16 MS. THOMAS: Sure. 8.02.

17 MR. BERKMAN: Objection, I think she said
90 percent,

18 and earlier I think he said 95 percent.

19

20

21

22

23

24

25

10

Laura M. Brennan, Official Reporter

1

McAuley - cross

1 BY MS. THOMAS:

2 Q Dr. McAuley, are you a virologist?

3 A I am an internist, not a virologist.

4 Q Are you an infectious disease expert?

5 A I am not an infectious disease expert.

6 MR. BERKMAN: Excuse me, your Honor. I don't think we

7 have this exhibit. Could we get a copy?

8 MS. THOMAS: This was a demonstrative exhibit used in

9 Dr. Weiser's testimony.

10 MR. BERKMAN: Which we still never got.

11 MS. THOMAS: It was never passed out.

12 THE COURT: Well, it is up there on the screen big

13 enough for everybody to see.

14 BY MS. THOMAS:

15 Q Have you ever seen this charting before, Doctor?

16 A I have seen this charting before.

17 Q Does this tell us that persons who are suffering from

18 chronic hepatitis infection may not have detectable antigen in

19 their blood but may have core antibody at detectable levels in

20 their blood?

21 A The majority of people who have had hepatitis B surface
22 antigen positive hepatitis do not have hepatitis B surface
23 antigen in their blood.

24 The majority of people will have antibody but not the
25 antigen.

LAURA M. BRENNAN, Official Reporter

1 Q Well, let me ask it a different way. Wouldn't you agree
2 that people who are core positive are also people who could be
3 infectious?

4 A Absolutely.

5 Q Now, Mr. Green asked you about the best test in the late
6 '70s for screening out hepatitis, and I believe your answer was
7 that no test will cover 100 percent, is that correct?

8 A That is correct.

9 Q Today Alpha uses ALT testing, doesn't it, A-L-T, liver
10 enzyme testing?

11 A We use -- since 1985 we have been using the alanine amino
12 transferase as a surrogate test for non A-non B, yes.

13 Q And that is a test that has been used in Germany since
1962.

14 Are you familiar with that?

15 A Well, --

16 Q For the same purpose?

17 A Well, I am not sure about that.

18 But we have used ALT in this country to determine all
19 types of hepatitis. It doesn't necessarily have to be. It
20 could be viral hepatitis, it could be a drug hepatitis. Any of
21 those will cause elevation of ALT.

22 Q But it is evidence of liver disease?

23 A It is an indication there has been liver abnormality,
24 correct.

25 Q Alpha did not start using that test at the same time as it

LAURA M. BRENNAN, Official Reporter

1 was using the antigen test until 1985?

2 A As a surrogate test for non A-non B, that's correct.

3 Q Okay. And I may have missed your testimony earlier. Did

4 you say Alpha is now using the core antibody test?

5 A No.

6 Q What new test did they start using in 1991?

7 A HCV, hepatitis C.

8 Let me look at this picture here. I am really not --

9 I think this is one way of looking at it, but basically most
10 people will -- are clear that HBSAG and fall off, but there is
a

11 period, what we call a window period, where the anti HBC will
12 come up and the patient can be infectious.

13 So this, even though, you know, I see -- and I know
14 this chart, but I just don't -- anyway, it is just different.

15 Q That is a window you would want to close, wouldn't you?

16 A That is correct.

17 Q And it could have been closed if you were using both the
18 core test and the antigen test?

19 A As I mentioned, we could not do the core test. We were not
20 allowed to by the FDA.

21 Q Because it wasn't licensed for interstate sale?

22 A Because it wasn't licensed.

23 Q Let me show you another Alpha document.

24 I am going to show you what has been marked as
Exhibit

25 4189. This is a memo dated January 6th, 1984, from a Patricia

LAURA M. BRENNAN, Official Reporter

4

McAuley - cross

1 Nix to Dave Gury.

2 Who was Dave Gury, sir?

3 A Gave Gury was a chairman of the AIDS task force, and he was
4 in plasma procurement.

5 MS. THOMAS: May we put the memo on the screen, Judge?

6 THE COURT: Yes.

7 BY MS. THOMAS:

8 Q Could you take a look at the memo and the attached pages
and

9 tell me if you have seen that before?

10 A I have seen this.

11 Q The topic of the memo is surrogate tests for AIDS?

12 A Yes, it is.

13 Q And the figures attached, the chart attached, are these
14 based on Mr. Spira's data?

15 A No, they are based on Pat Nix's evaluation of the various
16 types of surrogate test with regard to its sensitivity and
17 specificity and cost and feasibility, this sort of thing.

18 Q She was an employee of Alpha?

19 A She was in charge of our Memphis testing laboratory, is in
20 charge of our Memphis testing laboratory.

21 Q Could you put the next page up?

22 Now, cut off in the left-hand column, that is anti
23 hepatitis B core. Can you see that, Doctor?

24 A Is that -- which? Second one?

25 Q Let me give you my copy.

LAURA M. BRENNAN, Official Reporter

5

McAuley - cross

1 A Yes, I see it now, the second one.

2 Q Okay.

3 MR. BERKMAN: Your Honor, may I, for the record,
object

4 to the use of this document for the truth of anything stated

5 therein as it applies to anybody because, again, while it may
be

6 a business record of Alpha, I have no idea where this

7 information comes from or if it is true. In fact, I have
reason

8 to believe it is not.

9 THE COURT: All right, that objection is noted.

10 BY MS. THOMAS:

11 Q This information which came from Patricia Nix at Alpha
12 states that the anti hepatitis B core test is rated high in
13 sensitivity, high in specificity, and is \$1.47 per test, is
that

14 correct?

15 A That is what it says here.

16 Q Okay. At this time was Alpha contemplating using this
test?

17 A No. We were looking into the possibility of anything that
18 might be of value with regard to surrogate testing.

19 Q Now, the third to the last page of that exhibit, Doctor,
has

20 Miss Nix's comments, and here they are on the anti B core test,

21 and she states that this test was pushed as the best of the

22 surrogate tests by most of the Blood Product Advisory Committee

23 panel. And again the price is \$1.47.

24 Do you see that?

25 A I do.

LAURA M. BRENNAN, Official Reporter

1 Q Well, at this time was Alpha considering using this test?

2 A No, we were considering -- we were looking at all types of
3 surrogate tests we could possibly use for the exclusion of
4 people who might have AIDS or be carrying the HIV virus.

5 Q Okay. But it is your position that Mr. Petricciani or the
6 FDA didn't want core testing done?

7 A That is correct.

8 Also, Dr. Gerety, the virologist at the FDA, did not
9 want that, saying there was too much of a gray zone in the anti
10 core testing.

11 Q Penny Carr attended a meeting relating to core testing with
12 the other fractionators.

13 I believe she may have discussed that meeting with
14 you?

14 A Yes.

15 Q In late 1983?

16 A I am aware of the meeting.

17 Q Do you know the meeting I am referring to, Doctor?

18 A Yes, I do. This was a joint manufacturers' meeting.

19 Q Okay.

20 And this is Plaintiff's Exhibit 4126. It has already
21 been admitted.

22 MR. BERKMAN: Again, your Honor, for the record, I
23 want

to object. This isn't even an Alpha document.

24 MR. GREEN: I presume you are going to lay some
25 foundation that he has seen that before.

LAURA M. BRENNAN, Official Reporter

7

McAuley - cross

1 MS. THOMAS: It is already admitted.

2 MR. BERKMAN: Your Honor, even though it is admitted
3 over objection, I don't think she can ask the witness about it
4 if he knows nothing about it. She has got to lay the
5 foundation.

6 THE COURT: Very often that is the way we learn. We
7 ask somebody something and find out whether they know anything
8 about it. That's not a basis for an objection.

9 Overruled.

10 BY MS. THOMAS:

11 Q As of December 1983, Dr. Donohue was still with the FDA,
12 was he not?

13 A I don't remember the years he was there, but he was
14 obviously very instrumental in FDA work at that time.

15 Q Okay. And he was Aronson's boss, wasn't he?

16 A I gather he was, yes, he was.

17 Q Now, on the third paragraph of this Cutter memo, which
18 reports the meeting we just discussed that Penny Carr of Alpha
19 attended, it states that Dr. Donohue recommended that anti core
20 hepatitis B testing be incorporated to routine plasma screening
21 in addition to current requirements since it would identify 90
22 percent of all potentially infectious or high risk donors.

23 Do you see that?

24 A I see the statement, yes.

25 Q And Dr. Donohue was an official with the FDA?

LAURA M. BRENNAN, Official Reporter

1 A Yes, he was.

2 Q Did he never answer the phone when someone called?

3 A I have no way of knowing that. I wasn't there.

4 MS. THOMAS: Could you put the second page up?

5 MR. BERKMAN: Your Honor, may I request that the Court

6 look at this. This is a memo of a meeting he did not attend,

7 written by a Cutter employee to Cutter employees, and what she

8 is doing is using this document to introduce multiple hearsay

9 that this witness knows nothing about.

10 THE COURT: Is this document in evidence?

11 MS. THOMAS: Yes.

12 MR. BERKMAN: I don't know, your Honor.

13 MS. THOMAS: It was put into evidence through Mr.
Hink.

14 THE COURT: All right, the objection is overruled.

15 BY MS. THOMAS:

16 Q Now, this is the meeting that Penny Carr reported to you

17 on, correct?

18 A No, this is not the meeting that I am acquainted with. I
am

19 acquainted with your last sentence there on the -- with Mike

20 Rodell of Armour proposed a task force to deliberate and the

21 details and recommendation regarding further information in

22 three months.

23 I am acquainted with that task force, but I am not

24 acquainted at all with any of this other portion.

25 Q Did Penny Carr tell you whether she did attend a meeting
the

LAURA M. BRENNAN, Official Reporter

1 evening before that task force meeting with the FDA, a meeting

2 --

3 A I remember she attended a meeting that Mike Rodell chaired

4 as a task force to determine whether anti core testing should
be

5 a recommendation of industry to the FDA.

6 Q This was a meeting attended only by industry, right?

7 A I can't answer that. I wasn't there. I know that Penny

8 Carr was there.

9 Q Did she tell that you there was an agreement to use the
core

10 task force as a delaying tactic?

11 A No, I don't know anything about that. I have never heard

12 that term ever used before.

13 May I make one comment? It seems to me that the

14 meeting --

15 Q Well, --

16 THE WITNESS: May I?

17 THE COURT: Yes, you may.

18 THE WITNESS: It seems to me that the meeting that
Mike

19 Rodell chaired, or the task force, I think that he may have
been

20 commissioned by the American Blood -- or by the Blood Products

21 Advisory Committee to look into anti core testing.

22 BY MS. THOMAS:

23 Q So was he still the industry leader or representative for

24 the pharmaceutical manufacturing association at that time?

25 A I think at that time he was still with Armour, and I don't

LAURA M. BRENNAN, Official Reporter

10

McAuley - cross

1 know that he had any official representation with the PMA.

2 Q That was a position he held at one time, wasn't it?

3 A It may very well have been.

4 Q Dr. McAuley, you talked about how little was known late
5 1982, early 1983, about AIDS?

6 A That is my feeling.

7 Q You didn't know if it was a virus, you didn't know if it
8 was

8 transmitted by blood, you didn't know how much, if at all, it

9 was contaminating your plasma pools.

10 Is that what you told us basically?

11 A Yes, it is.

12 Q Were you involved in early 1983, Dr. McAuley, in preparing
13 a

13 set of questions and answers on AIDS for the public or for
14 treating physicians?

15 A You mean a brochure that --

16 Q Yes.

17 A -- came out under my name?

18 Q Right.

19 A Yes, I am aware of that.

20 Q All right, let me show you this. This is Alpha Exhibit
21 Number 38, if you could take a look at that, and tell me if you

22 had a hand in preparing it?

23 A Yes, I did. This is -- I had a hand in preparing this.

24 Q Now, could you turn to the specific questions and answers

25 following your note?

LAURA M. BRENNAN, Official Reporter

11

McAuley - cross

1 A Yes.

2 Q Could you read to us, Doctor, the first yellow question?

3 A "Can AIDS be transmitted by Factor VIII and Factor IX
4 concentrates?

5 Answer: This has not been proven and possibly will not
6 be until we know for sure what causes AIDS."

7 Do you want me to go on with that?

8 Q No, that is fine.

9 Who were these questions and answers designed for?

10 A These were designed primarily for hemophiliacs, hemophiliac

11 centers. It was not primarily for physicians. It was
primarily

12 geared for patients and family and hemophiliac chapters,
13 national hemophiliac chapters.

14 Q May I see that for a moment?

15 There is a question here: "Can the suspect agent for
16 AIDS be transmitted by cryoprecipitate?"

17 The answer is that, "No one knows cryoprecipitate is
18 not processed in any way that might be expected to render it
19 safer than concentrates."

20 A That is correct. That is what I would say.

21 Q Was that information that you elected to put in those
22 questions and answers?

23 A Yes, it is, and do you want me to tell you what it is based
24 on?

25
know,

I was very much involved with hepatitis B, as you

LAURA M. BRENNAN, Official Reporter

12

McAuley - cross

1 and based on my experience from data coming from Australia, and
2 also from Puget Sound Blood Bank, both of whom -- both of which
3 centers had used primarily concentrate or cryoprecipitate, that
4 the seroconversion for hepatitis B was just -- eventually,
given
5 enough material, was just as high as those people using
6 concentrate alone.

7 So that was the basis for that statement.

8 Q It is sort of dependent on where the cryoprecipitate came
9 from, didn't it?

10 A I would think that probably that is a factor, yes. Yes, I
11 would agree with that.

12 Q Were you reading the New England Journal of Medicine in
13 early 1983?

14 A I was reading the New England Journal of Medicine in 1983.

15 Q Well, did you read Dr. Ratnoff's publication in the New
16 England Journal of Medicine?

17 A I read Dr. Ratnoff's article in the New England Journal of
18 Medicine.

19 Q Did you read Dr. Menentoff's article in the New England
20 Journal of Medicine?

21 A I read both, yes, I did.

22 Q They suggested that cryoprecipitate was causing far less
23 changes in their patients than those on Factor VIII?

24 A Dr. Ratnoff -- yes, you are correct.

25 And Dr. Ratnoff, of course, has taken this posture

LAURA M. BRENNAN, Official Reporter

1 since I got involved with antihemophilic factor back in the
2 1960s, and he has taken that position from day one.

3 Q Was marketing involved in getting up those questions and
4 answers?

5 A Yes, they were.

6 Q Tom Stagnaro of marketing?

7 A Yes. He was our director of marketing.

8 Q Is there a question there: "Should I postpone elective
9 surgery?"

10 A Yes.

11 Q What is the answer?

12 A "Should I consider elective surgery at the time?"

13 And this is based on, yes, Dr. Martin Gilbert,
14 attending orthopedist at Mount Sinai Hospital, in New York
City,

15 co-director, says that you should -- that you should not
16 postpone it.

17 Shelby Dietrich in December 1982, "We suspended
18 orthopedic surgery but we are now resuming it on a selective
19 basis. Most patients needing orthopedic surgery have already
20 had considerable exposure to concentrate.

21 And in certain cases orthopedic surgery may actually
22 reduce the amount of concentrate used by alleviating the
23 orthopedic problems. Elective surgery should not be withheld
or
24 deferred solely on the basis of incurring the risk of AIDS
25 syndrome or prodrome or syndrome."

LAURA M. BRENNAN, Official Reporter

14

McAuley - cross

1 Q When did Alpha distribute this brochure to hemophilia
2 centers?

3 A I believe it was in 1983.

4 Q In early '83?

5 A I don't know the date.

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

McAuley - cross by Thomas

1 Q. Now, in January of 1983, did Alpha publicly announce that

2 it was excluding high risk donors?

3 A. Yes, it did. Remember, I sent out the letter to the

4 hemophilia -- well, publicly, yes, we did publicly as well.

5 Q. You had a press release saying that you stopped collecting

6 from high risk donors, right?

7 A. That is correct.

8 Q. And then you sent out the letter we saw dated December

9 22nd, correct?

10 A. To the hemophilia treaters, I mean to the hemophilia

11 centers and the National Hemophilia Foundation.

12 Q. Okay. Were you still collecting plasma from Irwin
Memorial

13 Blood Bank in San Francisco at that time?

14 A. We were not collecting -- were we purchasing blood from

15 Irwin Memorial? Is that what you are asking?

16 Q. That's what I'm asking.

17 A. No. You asked whether we were collecting it.

18 Q. Well, excuse me. Were you getting plasma or blood from

19 Irwin Memorial in San Francisco?

20 A. We had -- I can tell you yes, we did. And I don't know
the

21 date when we stopped getting blood from Irwin Memorial Blood

22 Bank, but we did stop.

23 Q. Eventually?

24 A. Eventually. And I would imagine it had to be in '83.

25 Q. Okay. And you mentioned that you closed down some centers.

LAURA M. BRENNAN, Official Reporter

1 We've already looked at Alpha's list of plasmapheresis
centers,

2 the ones it owned. And you had some in Los Angeles, right?

3 A. We did up till 1982.

4 Q. Well, as of October '82, your documents show they were in

5 Los Angeles and San Francisco. Would you agree with that?

6 A. No, no. You're looking when you look at the Los Angeles

7 one, you're looking at our manufacturing facility license, not

8 our donor center license.

9 Q. Did you manufacture in San Francisco?

10 A. No. I'm talking -- you're talking about -- I'm talking

11 about Los Angeles.

12 Q. Okay.

13 A. San Francisco was closed on November of 1982.

14 Q. What did you do with the plasma you had from that center,

15 Doctor?

16 A. I don't know what we did with the plasma.

17 Q. Now, you were a member of the board of directors of Alpha

18 for how long?

19 A. I was -- I am a member of the board of directors of Alpha

20 since 1978 till the present time.

21 Q. Well, before I get to that, let me ask you this. You

22 indicated that you were personally involved in putting
together

23 Alpha's screening procedures back in December of '82 asking

24 donors to voluntarily exclude themselves?

25 A. That is correct.

LAURA M. BRENNAN, Official Reporter

1 Q. Okay. So you sent letters out to the donor centers
telling

2 them what you wanted done?

3 A. Mail-O-Grams to our donor centers and letters to the, and
4 faxes to the other people, yes.

5 Q. Okay. And this, in essence, was a system that relied on
6 the integrity of the donor to come forth and say, "I must
7 exclude myself"?

8 A. No. We were asking them specific questions, and we relied
9 on them to answer the questions properly and to be forthright
10 in rejecting themselves as donors.

11 Q. Well, the bottom line is if they answered that yes,
they're
12 gay or yes, they're an IV drug user or former IV drug user,
13 they're going to be disqualified, right?

14 A. That is correct.

15 Q. Okay. They knew that, right?

16 A. Yes, they did.

17 Q. So you were relying on their integrity to protect the
18 health of the hemophilia population, correct?

19 A. Pardon me? Yes, we were relying on their integrity. We
20 had nothing else to offer at that point in time.

21 Q. You didn't have any surrogate tests, and you kept on
22 collecting?

23 A. That is correct.

24 Q. Okay. Now, you had some system in place, didn't you, in

25 order to comply with a federal regulation that you could only

LAURA M. BRENNAN, Official Reporter

1 collect from donors twice a week?

2 A. That is right.

3 Q. Okay. What did you do? Did you stain their fingers?

4 A. If there were donor centers that were two in a city or
5 there was a possibility that a donor could donate at one
6 plasmapheresis center on one day and another in another, we
7 stained their fingers with, we put a dye on the fingers like

8 what you get at the --

9 Q. Carnival?

10 A. -- carnival or circus or whatever so you can go in and go
11 out.

12 Q. Right. So nobody cheats?

13 A. That's correct.

14 Q. And this is what you did to each and every one of your
15 donors?

16 A. In centers, in cities where there was more than one donor
17 center.

18 Q. To protect them from themselves?

19 A. To protect them from themselves and also to make sure that
20 we complied with federal regulations.

21 Q. Okay. Now, you also mentioned that there was a nurse
22 receptionist, a medical receptionist who did the initial
23 screening. Do you recall that?

24 A. Yes, I do. That's a, that's a, that's a trained medical
25 specialist or receptionist.

LAURA M. BRENNAN, Official Reporter

1 Q. Do you remember giving your deposition a few years ago?

2 A. I remember giving my deposition a few years ago.

3 Q. And indicating that the person had no training and was a
4 non-medical person?

5 A. They had no formal medical education prior to coming to
6 work for us, and they then subsequently were trained, because

7 if you'll notice in my thing I mentioned that they were
trained

8 in the ability to screen and question donors and take blood
9 pressures and take pulse and weight.

10 Q. Okay. Now --

11 A. But they were not necessarily nurses.

12 Q. Okay. Now, these doctors that contracted out to work at
13 the centers of Alpha, they were paid by the hour, weren't
they?

14 A. Most of them were paid by the hour. We had only one
15 full-time Alpha employee.

16 Q. And that was the head of the donor center, right?

17 A. I'm sorry, your question was not completely clear.

18 Q. Well, let me back up. In lieu of a doctor, some states
19 would allow you to use a nurse practitioner or a physician's
20 assistant at the donor center?

21 A. That is correct.

22 Q. To perform the annual examinations?

23 A. That is correct. Under the supervision of a physician,
24 that is correct.

25 Q. Okay. And under the supervision of a physician doesn't

LAURA M. BRENNAN, Official Reporter

1 mean he is standing there, right?

2 A. That is correct. But he is signing the reports and
signing

3 the laboratory tests and other things to show that he has
4 supervision. It's like any nurse practitioner or physician
5 assistant.

6 Q. Now, you've been on the board of Alpha's board of
directors

7 since '78?

8 A. That is correct.

9 Q. Since Alpha was, became Alpha --

10 A. Created.

11 Q. -- and was purchased from Abbott?

12 A. Yes.

13 Q. Okay. Tom Drees was on the board with you while he was an
14 employee of Alpha?

15 A. Yes, he was.

16 Q. And who was Dr. Nishita?

17 A. Dr. Nishita was a Japanese PhD who was our technical
18 liaison between Green Cross Corporation and Alpha Therapeutic
19 Corporation.

20 Q. Technical liaison?

21 A. Correct.

22 Q. What was his job?

23 A. His job was to coordinate R&D efforts between the Green
24 Cross and Alpha.

25 Q. Does that mean telling Alpha what technology the Green

LAURA M. BRENNAN, Official Reporter

1 Cross has?

2 A. What the Green Cross was working on and vice versa,
telling

3 the Green Cross what Alpha was working on.

4 Q. Okay. And he was Dr. Nishita, is that N-i-s-h-i-t-a?

5 A. Correct.

6 Q. Okay. And he was a board member?

7 A. He was a board member.

8 Q. And he was on the board from at least 1978 to 1982,

9 correct?

10 A. Yes.

11 Q. As far as you know, did he ever discuss the Green Cross
12 pasteurization patent at any of these board meetings?

13 A. I do not remember him ever discussing a pasteurization
14 procedure with the board members.

15 Q. Okay. Well, aside from the technical liaison member of
the

16 board of directors, did anybody -- was that ever a topic of
17 discussion among the board members at any meetings you
18 attended?

19 A. It was not a topic of discussion at any of the board
20 meetings that I attended.

21 Q. Well, did you personally know that the Green Cross had a
22 pasteurization patent?

23 A. I did not know that they had a pasteurization patent.

24 Q. When did you first learn that, Doctor?

25 A. I can't give you the date on that. I don't really know

LAURA M. BRENNAN, Official Reporter

8

McAuley - cross by Barr

1 when I was first aware of that.

2 Q. And I'm referring to the Japanese patent dated October 28,

3 1981.

4 MS. THOMAS: I have no further questions.

5 THE COURT: Any other questions?

6 MR. BARR: Your Honor, I have a couple.

7 CROSS-EXAMINATION

8 BY MR. BARR:

9 Q. Good afternoon, Dr. McAuley.

10 A. Good afternoon.

11 Q. When you talked earlier on direct examination about
setting

12 up the donor exclusion policy by getting donors to answer a
13 series of questions and then exclude themselves, to your own

14 knowledge, while Alpha did that in late December of '82, you

15 know that the other manufacturers shortly thereafter that did

16 it also, is that correct?

17 A. That is correct.

18 Q. And, in fact, to your knowledge, the directive from the
FDA

19 to do this came out in March, and by that time, all four

20 companies had in place the exclusion of high risk donors that

21 you talked about?

22 A. That is correct. I do know that.

23 Q. Now, Ms. Thomas asked you some questions about your Q&A.

24 Do you have that there in front of you?

25 A. I don't. But I remember the Q&A.

LAURA M. BRENNAN, Official Reporter

1 Q. Well, let me read you the part that she read, and then I
2 want to go on and talk to you about something she didn't read,
3 okay?

4 A. Okay.

5 Q. The question says, "Cryoprecipitate is not processed in
any
6 way that might be expected to render it safer than
7 concentrates?" That's what she read to you.

8 A. Yes.

9 Q. And here is what wasn't read, and I want to ask you a
10 question about it. It states, "Proponents of a switch to
11 cryoprecipitate therapy point out that each unit of
concentrate
12 contains Factor VIII from a few hundred to as many as 20,000
13 donors while cryoprecipitate is prepared from much smaller
14 donor pools and, thus, might be safer. If, however, there is
15 an AIDS carrier in a cryoprecipitate pool, far more of the
16 infectious material may be transmitted to recipients than
would
17 be the case with concentrate where each donor provides only a
18 tiny fraction of each pool."

19 And could you explain to the jury what you meant by
20 that?

21 A. What this means is viral burden. In other words, if you
22 have a given amount, one individual, you take, say, a pool of

23 20 individuals or cryoprecipitate from 20 individuals, and one
24 of those individuals would have the viral particle, you have
a
25 much greater chance when you got exposed to that smaller

LAURA M. BRENNAN, Official Reporter

10 McAuley - cross by Barr

1 amount, even though because it's a more concentrated material.

2 In the situation with concentrate where you have
3 10,000 donors or say, there you have a dilution factor, and
4 it's conceivable that you may be less likely to be infectious
5 than you would be if you had a highly concentrated material.

6 Q. And when it says proponents of a switch to
cryoprecipitate,

7 you knew about Dr. Ratnoff and have known about him for a long
8 time, correct?

9 A. That is correct.

10 Q. And it goes on, another part that wasn't read that I want
11 to read states, "It is worth recalling that these same
12 arguments were raised a decade ago when concentrates were
found

13 to transmit hepatitis. It was argued that cryoprecipitate is
14 safer. But studies eventually demonstrated that the risks
from

15 the two types of product are about equal over a given period
of

16 time when similar amounts of cryoprecipitate are used."

17 A. When I was quoting the study from Australia, which used
18 only cryoprecipitate, and the Puget Sound study, those were
the

19 conclusions that they came to.

20 Q. And so essentially what you're saying is if you compare
21 someone who uses lots and lots, and by that a great deal of
22 cryoprecipitate versus someone who compares a great deal --

23 uses a great deal of Factor VIII, the risk of getting
hepatitis

24 was the same?

25 A. Yes.

LAURA M. BRENNAN, Official Reporter

11 McAuley - cross by Barr

1 Q. And have you reviewed studies, Dr. McAuley, that have been
2 done in the last few years that indicate that that's turned
out
3 to be true with HIV?

4 A. Off the top of my head, I don't remember. I don't
remember
5 that happening.

6 Q. Okay. When the donor screening was done -- strike that.

7 The other question I wanted to ask, and if it's not
8 your field, tell me, do you know if the very fractionation
9 process itself, in other words, once you pooled the plasma and
10 it's then made into the powder, if that fractionation process
11 itself destroys the AIDS virus or a portion of it?

12 A. There is, I do know that throughout the manufacturing
13 process, for example, there is a falling off of concentration
14 of HIV. For example, the first step in fractionation process
15 where you take off fibrinogen, in fact, it appears as if
16 fibrinogen is the major component of the fractionation process
17 that carries the viral particle. So each step would tend to
18 minimize or lower the concentration of viral particles.

19 Q. Okay. Just one final thing. When you talked, when you
20 answered questions by Mr. Green about all of the things that
21 were in place for donor screening before AIDS ever came along,

22 the examining of donors, keeping IV drug abusers out, the
23 physical examination, all of those things were also things
done
24 by the other companies as far as you know?
25 A. Yes, they were.

LAURA M. BRENNAN, Official Reporter

12

McAuley - redirect

1 MR. BARR: Okay. Thank you. I have nothing further.

2 THE COURT: Anything else?

3 MR. GREEN: I had a couple things, Your Honor --

4 THE COURT: Go ahead.

5 MR. GREEN: -- before the Court does.

6 REDIRECT EXAMINATION

7 BY MR. GREEN:

8 Q. Dr. McAuley, I'd like to read a bit more of this Q&A
9 document that also was left out. You said you had a hand in
10 preparing this, is this right?

11 A. Yes, yes, I did.

12 Q. In terms of date, it cites on the last page, "An AIDS case
13 reported March 3rd of '83," so we know it was at least after
14 March of '83.

15 A. Yes.

16 Q. Does that help you at all establish the day?

17 A. I know it was in '83, but I don't remember exactly when.
18 I'm sure it had to be after March.

19 Q. The first question is, "Can AIDS be transmitted by Factor
20 VIII and Factor IX concentrates?" Answer, this part was read,
21 "This has not been proved and possibly will not be until we
22 know for sure what causes AIDS."

23 Then I would like to read the balance of the answer.

24 "All 12 hemophilia sufferers of AIDS diagnosed thus far were
25 using concentrates. But nine out of every 10 moderately to

LAURA M. BRENNAN, Official Reporter

1 severely afflicted hemophiliac patients now uses concentrates

2 predominantly rather than cryoprecipitate. So one would
expect

3 this to be the case."

4 Doctor, is Abbott still a viable company?

5 A. They better be, yes.

6 Q. It's still a company, okay.

7 A. Yeah.

8 Q. You mentioned Dr. Gerety. Who is Dr. Gerety?

9 A. Dr. Gerety is a virologist at the FDA who took the posture

10 that AIDS may be, before we knew what the etiology was, that
it

11 may be caused by CMV or Epstein-Barr virus or possibly a

12 combination of both or an activation of a herpesvirus. I
mean,

13 he was a virologist basically.

14 Q. How long did he hold those beliefs that you know about?

15 A. I don't know how long he held those.

16 MR. GREEN: That's all I have.

17 May the witness be -- I'm sorry, you had some

18 questions?

19 THE COURT: Yes.

20 I started to ask this question of a witness a couple

21 weeks ago, and I was told that somebody else would know more

22 about it, so I backed off. Mr. Barr's question to which you

23 answered in terms of the dilution factor makes me think that

24 maybe you can give us some help on this.

25 I'd be interested in knowing what we mean when we say

LAURA M. BRENNAN, Official Reporter

1 that a donor infects a pool with HIV virus. One question is
2 how many viruses, individual viruses would a donor be likely
3 to
4 transmit in the transfusion? The second question is what
5 happens to those viruses when they enter the pool? What is
6 their life cycle? We've heard testimony about replication.
7 How often does replication occur? And what is the activity of
8 those viruses? Do they stay in that one section of the pool,
9 or do they swim around, or what do they do?

10 THE WITNESS: Okay. I think they are good questions.

11 THE COURT: I don't know whether they are or not.

12 THE WITNESS: Yeah, they are.

13 THE COURT: As a layman, that is something that --

14 THE WITNESS: It depends on the virus. Hepatitis is
15 one situation. HIV is another situation. In the hepatitis
16 virus, for example, a chronic carrier or somebody who has
17 hepatitis B surface antigen in their blood, that means that
18 they probably can't clear the virus. It's being replicated in
19 the liver. And during that period of time, the more
20 replication they have and the more viral particles, the more
21 likely they are to be infectious.

22 Now, HIV is a completely different ball game. There

22 is a period in HIV infection probably six weeks after the
23 initial exposure where the individual comes down with a period
24 that's like flu or like infectious mononucleosis. They get
25 fever and chills and lymphadenopathy, enlargement of the nodes and

LAURA M. BRENNAN, Official Reporter

1 that sort of thing.

2 During that period of time, the individual is
viremic,

3 meaning that the virus is in the bloodstream. Those viruses

4 are then picked up, and then that period of time is when they

5 are the most infectious. So if you were to draw a unit of

6 blood from somebody during that period of time, the chances
are

7 you are going to get a lot of particles of viral particles.

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

1 THE COURT: Now, what do you mean by "a
lot"?

2 THE WITNESS: Well --

3 THE COURT: I mean, just in order of
magnitude.

4 Are we talking about 10 or a million?

5 THE WITNESS: Oh, more than 10. I think
you would

6 have to talk about more than that.

7 THE COURT: Less than a million?

8 THE WITNESS: Let me just give you an
example. We

9 know that in hepatitis B that it will not pick up
more than,

10 say, 1,000 viral particles per ml of material -- I
mean of

11 testing material. So, that's one feature.

12 THE COURT: How many ml does a typical
donor give?

13 THE WITNESS: Well, they give 700 ml.

14 THE COURT: So, that would be 700 times
whatever.

15 THE WITNESS: They should turn up positive
on

16 that.

17 Now, we know, for example, on HIV that --
we know

18 from inactivation studies of what we can get rid of,
and we

19 are talking about millions of particles. But I
really don't

20 know that I can tell you specifically. I can say
that there

they 21 is periods where people are much more infectious than

22 are at other times, but I don't know particle
numbers.

23 THE COURT: All right. Thank you.

24 Can you tell me anything about the behavior
of the

25 viruses once they get into the pool? Where do they
go? What

LAURA M. BRENNAN, Official Reporter

1 do they do?

they are 2 THE WITNESS: When they get into the pool

they are 3 obviously -- they are more than likely picked up or

one 4 entrapped by the fibrinogen. That happens to be the

are more 5 meshwork of material in a blood process whereby they

6 in fibrinogen than anything else.

the more 7 So, the idea being the more you purify it,

So, when 8 likely you are to get rid of the viral particles.

in terms 9 you do and -- Dr. Mozen has done a lot of this work

are. I 10 of finding out what portion or what fractions they

are with 11 can say that the great majority of viral particles

couldn't have 12 the fibrinogen, but that doesn't mean that you

13 some particles down that will give you trouble.

You 14 THE COURT: Completely different subject.

15 mentioned the protests of the gay representatives who

attitude 16 attended this meeting. What effect, if any, did the

took or 17 of the gay population have upon any action that Alpha

18 decided not to take in regard to its plasma or its

19 concentrate?

deal of 20 THE WITNESS: Well, obviously I got a great

21 flak after this happened. And when I got back to Los
22 Angeles, I had received phone calls from physicians,
gay
23 physicians, in the community objecting to our stand.
24 I then tried to get to a luncheon or
breakfast
25 meeting with Henry Waxman, who's our representative
out there

LAURA M. BRENNAN, Official Reporter

Alpha's 1 who represents the gay area, to try to explain what
2 position was on this because it was so unpopular.

3 THE COURT: Well, that's --

4 THE WITNESS: They didn't boycott us or
anything
5 like that, no.

6 THE COURT: I am not asking you so much
what they
7 did. I am asking you what you did in response to
what they
8 said or did.

9 THE WITNESS: Well, we felt our "dear
donor" letter
10 kind of illustrated or kind of gave an attitude in
terms of
11 how we felt about this. We felt that they had made
a
12 contribution to us in the past, but there was a
chance that
13 they were -- if they were gay, that they would
conceivably
14 infect our product and, therefore, they should not
donate.

15 THE COURT: Did anything that Alpha did
from 1983
16 on or fail to do from 1983 on have any relationship
to this
17 agitation or protest by the gay population?

18 THE WITNESS: I don't know that I can
answer that.
19 I don't know that it did. My personal feeling always
was
20 that HIV should be a reportable disease, and it never

was.

21
question, in

22 case you don't know, is that there have been several
23 references during the trial to the attitude of the
gay
24 donors, and I am wondering what the relevance of that
25 testimony is.

LAURA M. BRENNAN, Official Reporter

I don't 1 THE WITNESS: Well, let me just put it --
2 know either. Let me put it in another perspective.
recalls 3 Throughout our existence we had two product
4 or withdrawals, and one of them was in a whole blood
donor 5 who had donated whole blood to a donor center and
then was 6 shipped to us and then we subsequently found out.
The point 7 being that the other one was from a plasmapheresis
center, 8 not our own.
9 But the point I am saying is that obviously
gay 10 people donate just like anybody else, and they feel,
even in 11 spite of the chance of infectivity, there is still
that area. 12 THE COURT: Do the jurors have any
questions? 13 (No response.)
14 THE COURT: All right.
15 MR. GREEN: Your Honor, could I ask a
couple of 16 follow-up questions?
17 THE COURT: Sure.
18 REDIRECT EXAMINATION
19 BY MR. GREEN:
20 Q. You mentioned Dr. Mozen. Who's Dr. Mozen?
21 A. He is going to be a witness.

in the 22 Q. The gentleman behind me. He has done some work
23 area that might be able to help the Judge out?
24 A. Well, he presented a paper on HIV partitioning
over at a 25 WHO meeting in Geneva, and so he knows better than I
the

LAURA M. BRENNAN, Official Reporter

1 various log that are altered by partitioning or by
2 fractionation.

3 MR. BARR: Excuse me. Would you inquire
who
4 Dr. Mozen works for?

5 THE WITNESS: Dr. Mozen works for Cutter.

6 (Laughter.)

7 BY MR. GREEN:

8 Q. With regard to the actions of the gay
population, did

9 that have -- to your knowledge, did that have any
affect on

10 the FDA or on the administration granting monies to
which to

11 fight AIDS?

12 A. I don't really know that I can answer that with
any

13 degree of assurance.

14 MR. GREEN: That's all I have, your Honor.
Thank
15 you.

16 MS. THOMAS: Just a couple more questions.

17 THE COURT: I know a lot of people who
would be

18 very disappointed if the answer to the second part of
the

19 question is no, and very surprised, as well, I think.
That's

20 got nothing to do with this case.

21 Ms. Thomas.

22 RECROSS-EXAMINATION

23 BY MS. THOMAS:

24 Q. You mentioned that you have had two withdrawals
in your
25 history. Given that, what kind of system did Alpha
have in

LAURA M. BRENNAN, Official Reporter

sick, 1 place to learn whether its repeat donors were getting
on those 2 staying healthy, moving away? How did you follow up
3 people to see if they were staying healthy?

4 A. That's a good question. I must say that I don't
have a 5 good answer to it.

6 The only advantage to a plasmapheresis
operation as 7 far as I can see is that we have a better handle on
our 8 patients -- I mean, our donors -- than does the whole
blood 9 sector because we see them at least once or twice a
week, and 10 we know about their medical history, et cetera, et
cetera, 11 than a whole blood operation. They donate every two
months, 12 and they may not be known to the personnel.

13 Q. Wait a minute. I don't want there to be any
confusion 14 on the record here.

15 A. I haven't gotten around to your part yet.

16 Q. We are talking about the American Red Cross or
someone 17 who comes to an office building and says "Help us
out."

18 A. Right.

19 Q. They don't come every two months?

20 A. Oh, yes, they can.

21 Q. They can, but there is not necessarily --

22 A. What I am saying is the majority of our donors
are
23 repeat donors. They are donors who donate on a
weekly basis
24 or twice a week so that they are well-known to the
center
25 personnel.

LAURA M. BRENNAN, Official Reporter

1 In answer to your question, do we do an
ongoing
2 follow-up as to why people drop out? No, we don't.
3 Q. So, you don't know if they have come down with
a disease
4 or if they have moved away?
5 A. I am saying we have a better chance of doing
that than
6 does a whole blood operation. But, no, we have
nothing in
7 place that says when somebody doesn't show up that we
should
8 call their home and find out, no, we don't.
9 Q. Okay. You had no system in place in the early
'80s
10 through '85 with the local hospitals to report to you
if one
11 of your donors there is sick and may have an
opportunistic
12 infection?
13 A. No, there was nothing in place for that.
14 Q. You also mentioned a fact that's come up before
in this
15 room, that the viruses tend to migrate with the
fibrinogen.
16 Alpha's product, as all of the products did,
contained
17 fibrinogen?
18 A. That is correct.
19 Q. When we are talking about level of purity, we
are
20 talking how much fibrinogen is in the product, right?
21 A. Mostly fibrinogen.

22 Q. Mostly fibrinogen.

23 MS. THOMAS: I think that about covers it.

24 MR. BARR: Your Honor, may I ask one
further

25 question?

LAURA M. BRENNAN, Official Reporter

1 THE COURT: Sure.

2 RECROSS-EXAMINATION

3 BY MR. BARR:

4 Q. We have shown an exhibit that is the joint
statement on

5 Acquired Immunodeficiency Syndrome related to
transfusion,

6 and it's dated January 13th, Dr. McAuley. And these
were

7 recommendations?

8 A. Of '83?

9 Q. Of '83. The time frame we are talking about
when the

10 gays were very much protesting the elimination of
homosexuals

11 from the donor pools.

12 The American Association of Blood Banks,
the Red

13 Cross, the Council for Community Blood Centers, the
Volunteer

14 Sector at that time, the very time we are talking
about, said

15 that direct or indirect questions about a donor's
sexual

16 preference was inappropriate. Do you recall that?

17 A. I do.

18 Q. Despite the fact that these four fractionators
were

19 harshly criticized by the homosexual community for
doing it,

20 the commercial fractionators that made plasma for
these --

21 that got plasma for these medicines went ahead and

excluded

sexual 22 homosexuals and asked these direct questions about

23 preference, correct?

24 A. That is correct.

far as 25 Q. While you attempted and the other companies, as

LAURA M. BRENNAN, Official Reporter

1 you know, attempted to do it in a way that was not
insulting?

2 THE COURT: Mr. Barr, let's let the witness
answer

3 the question. Ask the question and let him give the
answer.

4 For instance, "How did they do it" would be the
question

5 rather than your telling him how.

6 BY MR. BARR:

7 Q. Have you described to the jury how Alpha and, as
far as

8 you know, the other companies went about excluding
male

9 homosexuals from donating that began in early '83 and
10 continued to this day?

11 A. I am not sure that I have explained to them the
whole

12 sequence of events, no.

13 Q. Could you do that?

14 A. On each -- at each donor center there is a "dear
donor"

15 letter that kind of explains AIDS to the donor so
that he has

16 an idea what AIDS is. And he is -- it's so prominent
that

17 they are able to see that before they ever get to the
donor

18 -- I mean to the medical receptionist. When they get
to the

19 medical receptionist, as I mentioned before, there
are 13

20 questions asking them very pertinent questions with
regard

21 to --

22

23

24

25

LAURA M. BRENNAN, Official Reporter

McAuley - redirect by Green

1 THE COURT: Is this the same thing you've
already

2 told us, or is this more? I recall some testimony
about this

3 on --

4 THE WITNESS: Anyway, they're asked these
questions.

5 THE COURT: If there is anything new,
tell us about

6 it, but don't just --

7 THE WITNESS: I don't know that -- I
think they're

8 asked to sign that they have not had any of these
situations

9 that are not a member of this group, et cetera.

10 BY MR. GREEN:

11 Q Male homosexuals were excluded?

12 A Yes, they were.

13 MR. BERKMAN: Your Honor, can I just ask
him to

14 identify an article?

15 THE COURT: Sure.

study and 16 MR. BERKMAN: Dr. McAuley, did you do a

Exhibit 4 17 publish it in 1979, which we've marked as Baxter

Antigen Testing 18 that -- did you do a study called "HB Surface

19 in Commercial Plasmapheresis"?

20 THE WITNESS: Yes, I did.

study that 21 MR. BERKMAN: Was the conclusion of this

testing that 22 after the third generation of HB surface antigen

23 the safety was --

him a 24 MR. RING: Excuse me just a minute. Ask

25 question, don't tell him what to say "yes" too.

1 MR. BERKMAN: I'm reading from the
article. May I do

2 that?

3 MR. RING: Ask the Judge.

4 THE COURT: As long as you're reading, go
ahead.

5 MR. BERKMAN: That that indicates that,
one, there is

6 no correlation between the percent of paid donor
blood and the

7 incidence of post-transfusion hepatitis; two, there
is no

8 correlation between the percent of paid donor blood
and the

9 incidence of HB surface antigen antigenemia in the
donor

10 population; and, three, there is a good correlation
between the

11 incidence of post-transfusion hepatitis and the
incidence of HB

12 surface antigenemia within the donor population,
thus, HB

13 surface antigen incidence in the donor population,
rather than

14 the type of donor population, paid versus volunteer
appears to

15 be the primary factor involved with the hazard of

16 post-transfusion hepatitis.

17 Was that one of your conclusions?

18 THE WITNESS: Yes.

19 MR. GREEN: Can you ask him what that
means?

20 MR. BERKMAN: Why don't you explain to
the jury what

21 means.

22 THE WITNESS: What that means is that a
given
23 individual is what is the important thing, it's not
the general
24 overall concept of whether somebody is paid or is
not paid, and
25 that if you have a donor that you have screened and
followed,

1 they can -- if it was paid and their surface
antigen positivity

2 is negative, they have probably no greater chance
of

3 developing -- or giving hepatitis than somebody who
you don't

4 know a lot about or has -- so it's just -- the
population is

5 not the issue, it's the individual himself.

6 MR. BERKMAN: Finally, if you go to the
last page,

7 the conclusion says, "A recent study compared the
hepatitis

8 risk to hemophiliacs treated with a single donor
volunteer

9 blood or plasma derivatives to that of hemophiliacs
treated

10 with commercial concentrates prepared from large
pools of

11 donors. In the study, the commercial plasma units
had been

12 individually screened for HB surface antigen by a
third

13 generation method, and the commercial donor
population had an

14 incidence of HB surface antigen antigenemia
comparable to that

15 of the volunteer donors used in the single-donor
products. The

16 study showed no significant difference in hepatitis
risks

17 between the single volunteer donor products and the
commercial

18 concentrates prepared from large pools of low
hepatitis B

19 surface antigen rate paid donor plasma," is that
correct.

20 THE WITNESS: Yes.

21 MR. BERKMAN: Thank you.

22 MR. GREEN: I have nothing further with
this witness

23 your Honor. May he be excused?

24 THE COURT: Is there anything further?

25 MS. THOMAS: Just one.

1 BY MS. THOMAS:

2 Q Low hepatitis surface antigen donors is what
you were

3 talking about, right?

4 A Yes.

5 Q A pool of low antigen donors?

6 A Yes.

7 MS. THOMAS: Thank you. No further
questions.

8 THE COURT: All right. Thank you,
Doctor. You may

9 step down.

10 (Witness excused.)

11 THE COURT: We'll take a recess now.

12 Before we do, ladies and gentlemen, there
is some

13 possibility -- I say only possible mishap in regard
to some

14 documents that were distributed earlier this
morning.

15 May I ask one juror, perhaps one who has
his or her

16 exhibits organized in a readily retrievable
fashion, to leave

17 us your copies just temporarily -- leave us your
copies of

18 Plaintiff's Exhibit 6.17 -- that's the German
article. These

19 were exhibits used in the examination of Dr.
Aronson.

20 Plaintiff's 6.17 and Plaintiff's 1.15,
which were the

21 minutes of the meeting that the witness testified

about. If

22 somebody can find those and just leave them here
for us so we

23 can make sure you got the right things this
morning. 6.17 and

24 1.15. Okay? We're all set. Very good. Thanks
very much.

25 Mr. Ring, since you're sitting very close
why don't

1 you go and receive those, if you will, from the
juror. Very
2 good. Thank you. We'll take a recess briefly now.
3 THE COURT: Mr. Berkman, here are your
exhibits for
4 your perusal during the recess.
5 MR. BERKMAN: Thank you very much, your
Honor.
6 (Brief recess after which the following
proceedings
7 were had out of the presence of the
jury:)
8 THE COURT: Tell me what the fact is on
these.
9 MR. BERKMAN: 6.17 is the two-page German
article,
10 but the document 6.17 they had previously given us
with that
11 number was a 9- or 10-page English translation --
12 THE COURT: The jury was given the right
thing?
13 MR. BERKMAN: The jury was given the
right thing.
14 The document 1.15 that was given to the jury were
the excerpted
15 pages that she mentioned this morning, but the one
she had
16 given us earlier was a whole summary.
17 Again, the jury was given the correct
documents.
18 MS. THOMAS: Just for the record, we gave
Mr. Berkman
19 that summary on the full transcript before the jury
came in.

no need for 20 Mr. Ring handed it to him personally, so there is

21 all of this.

22 MR. BERKMAN: What are you talking about?

deliver me 23 THE COURT: I don't want to prolong --

24 from this, please.

25 MS. THOMAS: We'll sit down and be quite.

1 MR. BERKMAN: I don't want her to tell me
that she's

2 done something that wasn't done, your Honor.

3 MS. THOMAS: It was done.

4 THE COURT: You're going to change the
subject I

5 hope?

6 MR. GREEN: Absolutely. Two things, your
Honor, the

7 first thing is our next witness is Dr. Mealy. He
has a fairly

8 serious heart condition and asked if we could break
every hour,

9 hour and hour 15 minutes. Number two, is that
after Dr. Mealy

10 comes Dr. Weidmann depending on the Court's ruling.
I would

11 hope we could have that ruling by the end of the
day.

12 THE COURT: What ruling is that?

13 MR. GREEN: Counsel has made a motion to
exclude him.

14 THE COURT: We'll take that up at 5:30.

15 MR. BARR: Your Honor, I've given you Dr.
Levy's

16 deposition. They told me I may need it tomorrow
with the parts

17 that are out. For the most part I'm reading the
entire

18 deposition except for the lawyer's colloquy.

19 THE COURT: Any objections to any of
this?

20 MS. THOMAS: I just got his designations.
I'll look

21 at it tonight.

22 THE COURT: All right.

23 MR. GREEN: My counsel just told me after
Dr. Mealy

24 comes Dr. Abilgaard, so --

25 THE COURT: Okay.

Mealey - direct by Green

1 (The following proceedings were had in
open court in

2 the presence and hearing of the jury:)

3 THE COURT: You did get the correct
exhibits, ladies

4 and gentlemen, so there was no problem. Please be
seated.

5 We'll now have another witness.

6 MR. GREEN: We call Dr. Mealy, your
Honor.

7 (Witness sworn.)

8 EDWARD MEALY, DEFENDANT'S WITNESS, DULY
SWORN

9 DIRECT EXAMINATION

10 BY MR. GREEN:

11 Q Dr. Mealy, will you tell the jury your name.

12 A My name is Edward H. Mealy.

13 Q Dr. Mealy, we're going to ask you a few
questions about who

14 you are, and then we're going to talk about you
know.

15 THE COURT: Will you spell your last
name, please.

16 THE WITNESS: M-e-a-l-e-y.

17 BY MR. GREEN:

18 Q You never testified before, is that right?

19 A One time, not here.

20 Q You're a little nervous?

21 A A member of the FDA. You always get nervous in
front of

22 these nice people.

23 Q Where do you live?

24 A I live in Fountain Valley, California.

25 Q Is that a suburb of Los Angeles?

Laguna 1 A It's about 50 miles south of Los Angeles. Near
2 Hills I might add.
3 Q How old are you?
4 A I'm 68 years old.
5 Q What does your family consist of?
6 A I'm a widower with two sons and a daughter.
7 Q By training, sir, what are you?
8 A I have a Ph.D. in biochemistry.
9 Q By training you're a biochemist, is that right?
10 A Yes.
what 11 Q First of all, I would like you to tell the jury
12 biochemistry is?
chemical 13 A Biochemistry is actually the study of the
14 reactions that take place in fluids and tissues of
plants,
15 animals, or a humans. That's the broadest
definition I can
16 give you.
school or 17 Q And how did you on you -- where did you go to
18 tell us about your training as a biochemist?
19 A Well, I went to graduate school at the
University of Kansas
20 and took a degree of Ph.D. In biochemistry --
21 Q Let's go back behind that. Your undergraduate
degree was
22 what?
23 A Tufts College in Medford. I have a B.S. in

biology in

24 chemistry.

25 Q Then you went to Kansas, is that right?

8

Laura M. Brennan, Official Reporter

1 A No. After I graduated from Tufts College, I
went to work
2 for a while at Massachusetts Biologic Laboratories
where I
3 learned the fractionation procedure. This was in
about 1948,
4 and this laboratory was built in Jamaica Plain and
made albumin
5 and made gamma globulin fibrinogen for the Red
Cross for the
6 distribution in the state of Massachusetts.
7 It also was right next door to a
department of the
8 Harvard Physical Chemistry Department, and we acted
as a pilot
9 plant for some of the new studies that were coming
out of
10 there. These were the people that actually
developed the
11 fractionation process that you've heard about, the
Cohn method
12 6. And they were continuing to do other things,
and they used
13 the Massachusetts pilot plant and its employees to
scale up
14 their research activities.
15 Q Was your education interrupted by military
service?
16 A Yes. In my first year I went to Boston
College. After
17 that I went into the Navy and saw service in the
Pacific.
18 When I came back, I went and returned to
Tufts
19 College in 1946 and graduated in '48 from Tufts.

20 Q Where in the Pacific did you see service?

21 A I was in the Battle of Okinawa.

22 Q Now, when you graduated from Tufts, what degree
did you

23 take?

24 A B.S. in biology and chemistry.

25 Q What degree was that, a doctorate?

1 A No, that's a bachelor's of science.

2 Q What was the next thing that you did in
connection with

3 your education?

4 A Actually, I worked at the Massachusetts State
Laboratory

5 from '48 to '53. In '53 I went with the director
of that

6 laboratory who got a professorship at the
University of Kansas

7 and went out to the University of Kansas and set up
a blood

8 processing laboratory. And we had an Army grant to
study the

9 possible uses of other plasma proteins other than
just albumin.

10 Q Then you returned to school?

11 A Yes. After working two years full time in this
laboratory,

12 I entered graduate school in '55, but I continued
to work

13 part-time in the laboratory.

14 Q Where did you go to graduate school?

15 A At the University of Kansas.

16 Q Did you take another degree from the University
of Kansas?

17 A The Doctorate or degree Ph.D. in biochemistry
in 1960.

18

19

20

21

22

23

24

25

10

Laura M. Brennan, Official Reporter

1

Mealey - direct by Green

1 Q And in what field did you do your doctorate?

2 A Actually I did my doctorate in -- my thesis is called "Heat

3 stable fibrinogen-free low globulin plasma protein solutions."

4 Q All right. We have a lot of plasma experts, but why don't

5 you tell us what that was all about?

6 A Basically it ended up -- I was doing research on trying to

7 see with just -- in addition to albumin, which was known at
that

8 time as a very stable molecule, what other of the plasma

9 proteins could be heat treated 10 hours at 60 degrees in a
group

10 to better utilize -- the Army was looking for better
utilization

11 of these total plasma proteins.

12 It turned out that John Hink at Cutter was working on

13 the same type of a process that people in Amsterdam were
working

14 on the same type of a process, and about the same time we all

15 came up with a product that the FDA called plasma protein

16 fraction. It was an 85 percent albumin solution and it had 15

17 percent other proteins.

18 So at least we utilized an additional 15 percent of
19 the

19 other plasma proteins and we were able to heat those for 10
20 hours at 60 degrees.

21 Q What was the therapeutic use of that product?

22 A Basically the same fundamentally as the use for albumin;
23 that is, the treatment of shock, to maintain the pressure, the
24 internal pressure of the circulation system, blood circulation
25 system.

LAURA M. BRENNAN, Official Reporter

1 Q Where did you next work?

2 A From the University of Kansas, I went to work in Bethesda
at

3 the Division of Biologic Standards.

4 Q Now, is that part of the Food and Drug Administration?

5 A It is the predecessor. The Division of Biologic Standards

6 became the Office of Biologics, which later became the Center
of

7 Biologic Evaluation and Research, all the same building, most
of

8 the same people -- either change. But we have heard from Dr.
--

9 of Dr. Petricciani and Dr. Donohue. If they had worked in
1962,

10 they would have worked at the Division of Biologic Standards.

11 Q So the job stayed the same; they just changed the sign?

12 A That is exactly right. That is exactly right.

13 Q How long were you with the federal government?

14 A From '60 to 1967.

15 Q And during that period of time, sir, what positions did you

16 hold?

17 A I first started as a section chief in the Laboratory of
18 Blood Derivatives. This would now have been called a branch.
I

19 was a section chief for about three and a half years.

20 Then I moved up to assistant chief of the Laboratory
in

21 '65 and '66.

22 In '66 and '67 I was the acting chief of that

23 Laboratory.

24 Q So the acting chief would have been the same as whose
25 position during 1982?

LAURA M. BRENNAN, Official Reporter

Mealey - direct by Green

1 A It would be the same as Donahue's position essentially, the
2 position Dr. Donohue had.

3 Q Would that be above or beneath Mr. Petricciani on an
4 organization chart?

5 A He had the same. Petricciani had the same job. Donohue
had

6 the same job. Zuck had the same job. These are all laboratory

7 -- what would have been called the Laboratory of Blood
Products.

8 It had the five divisions. Now they have five branches as Dr.

9 Aronson testified. They just changed the -- the divisions to
10 branches, and then the Laboratory oversaw the five divisions,
11 the five branches, I should say.

12 Q So during that period of time, sir, I take it, you became
13 familiar with the FDA's regulatory role, is that true?

14 A Yes. Part of my job was inspecting blood banks, inspecting
15 fractionation facilities, and in that I traveled to Europe and
16 traveled throughout the United States.

17 Q Then, sir, after you left the federal government, where did
18 you go to work?

19 A I went to work for Hyland Laboratories, Hyland Baxter in
Los

20 Angeles, California.

21 Q What did you do for them?

22 A I was the director of quality control for two years and
then

23 made technical director in 1979, 1969, 1970.

24 Q Were you also at that time working with plasma

25 fractionation?

LAURA M. BRENNAN, Official Reporter

1 A Yes, yes.

2 Q After you left Baxter or Hyland, where did you go to work?

3 A I started with two other people, a small diagnostic company

4 called ICL Scientific where we made tests for rheumatoid

5 arthritis, lupus erythematosus, C reactive protein, a small

6 slide test, agglutination slide test, to diagnose diseases of

7 the blood.

8 Q Following that did you go to work for Alpha?

9 A Yes.

10 Q When did you join Alpha?

11 A I joined Alpha in August of 1981.

12 Q Now, when you went to work with Alpha, what position did
you

13 hold?

14 A Vice president of quality assurance.

15 Q And did you change positions after a period of time?

16 A In about two and a half years I was named vice president of

17 technical operations. I actually took Dr. Nishida's position

18 that was referred to in the last testimony, not his board

19 position, just his position as vice president.

20 Q In that position, sir, what was your zone of
responsibility?

21 A In technical operations I had the research and development

22 department and the quality control department reporting to me.

23 Q And did you remain in that position until the time of your

24 retirement?

25 A Actually they increased the responsibilities about two or

LAURA M. BRENNAN, Official Reporter

1 three years later by giving me the medical director's
laboratory

2 and also the regulatory affairs laboratory, or section.

3 Q Go ahead.

4 A And then I retired in '91 as senior vice president of

5 technical operations.

6 Q Do you still work for Alpha?

7 A Yes, from '91 I had intended to retire, but looking around

8 at Clinton finances, I decided that I had better keep working,

9 and I am a consultant four days a week with the title of
10 assistant to the president.

11 Q Now, sir, I would like to ask you a few regulatory
12 questions, first of all. Sir, you have seen the letter of
March

13 15th from Penny Carr to --

14 By the way, Doctor Mealey, were you on the AIDS task
15 force when it was organized?

16 A No, I was not.

17 Q You have seen the letter, however, have you not, of March
18 15th with regard to doing surrogate testing and making labeling
19 changes?

20 A Yes.

21 Q You are familiar with that letter?

22 A Yes, I am.

23 Q Now, first of all, sir, is this kind of a letter required

24 before one does something like surrogate testing for hepatitis

25 core?

LAURA M. BRENNAN, Official Reporter

1 A It is if you intend to use that plasma in the fractionation

2 process for the products you are licensed for.

3 In other words, I can go out and do a core test on
some

4 plasma as long as I don't use that plasma to make a licensed

5 product, but the minute I put it into a pool to make a licensed

6 product, I must have approval because in my license I have

7 specified what types of plasma I am using and what types of

8 tests I am doing on that plasma.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Mealey - direct

1 Q. So based on your experience, sir, there is no doubt this
2 was a requirement for Alpha to do to obtain approval from the
3 FDA before they commenced any kind of --

4 A. That's why Ms. Carr sent it in, because she knew the
5 regulations quite well, and that was the reason for that.

6 THE COURT: You interrupted Mr. Green before he
7 completed the question.

8 THE WITNESS: I'm sorry.

9 THE COURT: Before Alpha commenced any kind of?

10 MR. GREEN: Let me start over again, because now I'm
a
11 little bit fouled up.

12 BY MR. GREEN:

13 Q. Based on your experience with the FDA, sir, it's necessary
14 for Alpha to obtain the approval of the FDA before they
15 undertake core testing on any product that they're going to
16 sell to the public, is that right?

17 A. Yes, it is.

18 THE COURT: Well, that question is ambiguous, it
seems

19 to me, because it combines the two things, testing and
selling.

20 The witness's earlier answer indicated to me, and correct me
if

21 I am wrong, that merely testing without selling does not
22 require a license.

23 THE WITNESS: Merely testing without putting in a

24 product that you're licensed to produce does not require
25 approval.

LAURA M. BRENNAN, Official Reporter

1 BY MR. GREEN:

2 Q. Okay. So you can test anything you want, but if you are
3 going to test something that goes into your product, does that
4 require approval?

5 A. If you're going to test something in plasma, that you are
6 using that plasma to make a licensed product, you must get
7 approval to do that before you, before you use that plasma.

8 Q. And do you know, sir, what portion of the CFRs require
9 that?

10 THE COURT: CFR is?

11 THE WITNESS: The Code of Federal Regulations.

12 BY THE WITNESS:

13 Q. I don't know the number, but I certainly know that that
14 requirement is there.

15 THE COURT: The answer is still ambiguous, because --

16 THE WITNESS: Do the requirement --

17 THE COURT: -- it is not clear -- I think your answer
18 was clear initially, but the questioning has obscured it at
19 least from my perspective.

20 If you're bound and determined that you are going to
21 put some plasma in a pool regardless of the outcome, you have
22 to have a license to do the testing, I assume. But if you are
23 just going to test and wait and see what the result is before

24 you make any decision about selling the plasma, then what? Or

25 is my question no better than the one I find perplexing?

LAURA M. BRENNAN, Official Reporter

3

Mealey - direct

1 THE WITNESS: I might test some, if I can --

2 THE COURT: This, incidentally, is a good reason for

3 not asking leading questions. Let the witness answer the

4 question, and then we don't get into this kind of problem.

5 There is nothing wrong with your answers. It's the

6 questions that you've been asked probably by me as well that

7 cause the problem.

8 Go ahead.

9 BY THE WITNESS:

10 A. If I had a license to produce albumin from plasma, and I

11 specified --

12 BY MR. GREEN:

13 Q. Let's stick with AHF. Let's not confuse it.

14 A. Well, AHF, I have a license for AHF, and under that
license

15 I specify what kind of plasma I'm using and what tests I'm

16 doing. Now, I can go out and get some plasma and test it for

17 any test I want and bring it into a research department to see

18 what I want to do with it. But that's never going to hit a

19 product. I may want to generate some information myself.

20 But if I want to perform a test on plasma that I'm

21 going to use to make that licensed product, I must have

22 approval of the FDA.

23 THE COURT: All right.

24 MR. GREEN: Does that clear it up, Your Honor?
25 THE COURT: Now, will the defendant produce the

LAURA M. BRENNAN, Official Reporter

1 section of CFR that says that, because I think that should be
2 an exhibit in the case that the jury can look at or that the
3 Court can take judicial notice of?

4 MR. GREEN: All right, Your Honor. Actually, in this
5 situation, I was going to cite him the same CFR section that
6 Dr. McAuley testified to.

7 THE COURT: Well, we need to see the CFR section.

8 MR. GREEN: I have it.

9 THE COURT: Fine, good.

10 MR. GREEN: This is it. I think I have it. Let me
11 ask Dr. McAuley.

12 BY MR. GREEN:

13 Q. Dr. McAuley, is Section 601.12, Changes to be Reported, is
14 that the section to which you refer?

15 A. Yes, that is.

16 Q. And, sir, this section says "Manufacturing methods in
17 labeling, proposed changes in manufacturing methods and the
18 labeling may not become effective until notification of
19 acceptance is received from the director of Bureau of
20 Biologics."

21 A. That is correct.

22 Q. Is that the language to which you refer?

23 A. That is correct, yes.

24 MR. GREEN: Your Honor, just for the record, I am

25 referring to exhibit number --

LAURA M. BRENNAN, Official Reporter

5

Mealey - direct

1 What number is this, David? I had these all numbered

2 I thought.

3 THE COURT: What is a manufacturing method within the
4 meaning of that regulation?

5 THE WITNESS: Basically, it's quite broad, at least
6 the FDA has interpreted it as broad. Even in the method in
7 which you draw plasma from the donor is considered by them
part
8 of the method of manufacturing.

9 MR. BERKMAN: It's No. 37, Alpha 37.

10 MR. GREEN: Thank you.

11 Do you have any further questions, Your Honor?

12 THE COURT: No. Proceed.

13 BY MR. GREEN:

14 Q. Now, my next question, sir, is: Based on your experience
15 both as an administrator --

16 MR. GREEN: Great hands. Thank you.

17 BY MR. GREEN:

18 Q. Doctor, we're just having a heck of a time back here.

19 Both from your experience as an administrator and in
20 the industry, what meaning do you take from the letter written
21 from John Petricciani to Marietta Carr on May 3, 1993, with
22 regard to whether you may or may not test for -- I'll bring it
23 up to you in a minute -- may or may not test for core,

24 hepatitis core? I'll bring it up so you can see it.

25 A. That's just a polite no. They have put no on two things:

LAURA M. BRENNAN, Official Reporter

1 one, you can't put any sticker labeling on and, two, you may
2 change the safety of other products. It is the second
3 paragraph that really kills the core testing in that one. The
4 first paragraph stops any advertising you might be considering
5 doing. But the second one, they're politely saying you don't
6 have data to support doing that.

7 Q. So, sir, as of May of 1983, if someone with long
experience

8 in the industry from both the regulating side and from the
9 industry side, would it be advised for Alpha or any
10 fractionator to go ahead and perform this test on plasma in
11 light of this letter?

12 A. They should not do it. They have been advised not to do
13 it.

14 Q. Now, let me ask you one other thing. It says, the second
15 paragraph that we've highlighted, "We would also raise the
16 possibility that in screening out all core antibody one might
17 selectively remove protective antibodies such as those to
18 hepatitis B." Could you tell us what you understand that to
19 mean?

20 A. Well, this is what their main concern was. There are
other
21 products other than AHF. The plasma that is used, AHF is
22 probably only one of the five products made. But immune

23 globulins that are made from that, you might now drop down
24 antibodies that the immune globulins that are given for other
25 various things may not be protected any more.

LAURA M. BRENNAN, Official Reporter

7

Mealey - direct

1 There were many, several telephone conversations that
2 went on. The clear interpretation of that second paragraph is
3 you may hurt the safety of other products or any product in
4 your pool by removing a lot of antibodies, many antibodies.

5 Q. Now, Dr. Mealey, I'd like to talk now about Alpha's
6 heat-treat process. Let me put the time line up so that we
can
7 get a little idea as to time.

8 Now, when did it first become known to Alpha that
9 there was a heat-treat process which had been patented by
10 Behringwerke?

11 A. To the best of my knowledge, it was very early in -- let
me
12 think for a minute, please. I know I had started in August,

13 and the first I heard about it was perhaps in January or
14 February of '82 that people in the research department were
15 aware. I was in quality control at the time, but people in
the
16 research department in January or February were aware that
17 there was a patent from Behringwerke on the heat treatment of

18 AHF.

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

1 Q. Prior to this time, were you, based on your
knowledge of
2 the industry, aware of any way that AHF could be heat
3 treated?

4 A. Not at that time, no, I was not.

5 Q. Well, you had been heat treating albumin for
many years?

6 A. Yes.

7 Q. What was the difference between albumin and AHF?

8 A. Albumin you could be just -- you could almost
heat
9 without stabilizers, but to improve the heat you just
added
10 some caprolate or some tryptophane very, very low
11 concentration so that the solution of albumin was
still in
12 essentially water, but that was unique. That protein
is very
13 stable.

14 I knew that the protein AT-3; that is,
Antithrombin

15 3, uniquely -- if you purified that and had a 99
percent pure

16 AT-3, if you put it in five-tenths molar sodium
citrate, you

17 could heat that for 10 hours at 60 degrees, but I
also know

18 that if you took AHF that had fibrinogen and
fibronectin and

19 a little gammaglobulin and without doing something to
it, you

20 tried to heat it --

21 Q. I asked you to slow down because the court

reporter has

22 got to write this down. Slow down just a little bit.

23 A. If you tried to heat the AHF that was around in
'75, '76

24 and '77, it would more than likely turn to a gel.

25 Q. Had you tried that? Did you try heating AHF?

LAURA M. BRENNAN, Official Reporter

1 A. AHF had been tried heating, yes.

2 Q. Now, sir, I would like to show you Exhibit 4.

3 MR. GREEN: If I might pass a copy to the
jury,

4 your Honor. This has been shown before.

5 (Document tendered to the jury.)

6 BY MR. GREEN:

7 Q. Now, let me ask you first of all, sir, you said
you knew

8 of the Behringwerke patent; is that right?

9 A. In about February of '82, before this memo.

10 Q. Was there any effort made on the part of Alpha
to

11 duplicate that process?

12 A. No.

13 Q. Why not?

14 A. If I can explain, there were several that I
participated

15 in, at least from my previous experience, I
participated with

16 some of the R&D people in just discussing the
Behringwerke

17 patent.

18 While I thought really it was a very nice
piece of

19 work, there were certain pieces of information that
at least

20 the patent lacked, and that is I didn't know at the
time or

21 we didn't know did it really inactivate virus? I saw
this,

22 but did it inactivate virus?

23 You heat it at 10 hours at 60 degrees.
Albumin is
24 heated 10 hours at 60 degrees in nice water solution
and it
25 works. But here now they protected the AHF with some
sugars

LAURA M. BRENNAN, Official Reporter

1 and some amino acids so they are protecting a
protein.
2 The question we asked ourselves is, does it
also
3 protect the virus if the virus is present because the
virus
4 has a nice protein coat around it, too.
5 So, we look fundamentally to see if we can
find any
6 clinical information, and at that time we were not
aware of
7 any. I am sure that there might have been some
existed.
8 Another thing, the process they had, as I
say, it
9 looked good to me. It looked also very involved.
Six, seven
10 eight steps was quite a large involvement.
11 Alpha had been working on trying to purify
their
12 product and trying to minimize the steps so that they
took
13 less losses in those for a long time. It's a
continuous
14 process. That's still going on.
15 The one thing that's pointed out in the
Behring
16 process that even our people had been aware of, the
more
17 fibrinogen you can get rid of, the better chances you
are of
18 heating this.
19 The decision was made to take the process
that was

20 almost in the finishing of development at Alpha and
see how
21 that stood up to adding sugars to it and to adding
other
22 various things that Behring pointed out because we
had a
23 whole process in place, and we felt we could probably
move
24 ahead in our research and development just as fast
with our
25 new process as trying to repeat this Behring process.

LAURA M. BRENNAN, Official Reporter

1 So, plans were started at that time to take
a look
2 at how our new product could withstand heat if one
added some
3 of these things to it.

4 Q. Now, sir, I have shown you Exhibit 4, which is
a
5 memorandum from Dr. Wang to -- I don't know if it's
doctor.

6 It's D. Wang.
7 A. I believe he has a masters degree. Duck Wang is
his
8 name.

9 Q. Duck Wang or Dock Wang?

10 A. Duck, D-u-c-k.

11 Q. Duck Wang wrote this memorandum to Dr. Charles
12 Helderbrandt; is that right?

13 A. Yes.

14 Q. Who is Charles Helderbrandt?

15 A. Dr. Helderbrandt was actually his boss.

16 Dr. Helderbrandt was director of research, and Duck
Wang was
17 a research associate.

18 Q. Now, this indicates -- let me ask you, does this
19 indicate you were on your way with regard to the heat
process
20 in September of 1982?

21 A. It actually says we were more or less on our way
two,
22 three, four months before that. I would estimate it
took

is

23 that much to gather this type of information that he

24 reporting on that.

25 Q. Does the data that's attached to that memorandum

LAURA M. BRENNAN, Official Reporter

were 1 indicate to you that several different possibilities
2 being attempted?

3 A. Yes, yes, it does.

heat 4 Q. Now, when, sir, did you finally come up with a
commence 5 process that you felt was sufficient in order to
6 testing?

felt that 7 A. As a result of this report, Dr. Helderbrandt
that 8 he could make successful a heat treatment process

9 involved our present product with just some minor
-- to 10 modifications, and so we committed to get everything
11 try and fast track everything at about this time.

12 Q. What does that mean, "fast track"?

onto it, 13 A. Well, research was to put all of their efforts
14 and they had hoped -- their target at that time was
hopefully

15 we can finish it by November or December. I think it
was not 16 until about January that it got done.

17 But we also then made arrangements for
outside --

18 an outside laboratory called NYUPC, this is New York
19 University Primate Center. We knew we would have to
test our

Our FDA 20 product to prove that we could inactivate a virus.

21 required inactivation proof of virus. And the only

way you

22 can prove that -- there is no laboratory test, as had
been

23 mentioned, for hepatitis B.

24 The only way at that time would be to
inject it

25 into chimpanzees. In order to get those chimpanzees
we

LAURA M. BRENNAN, Official Reporter

1 notified this laboratory of experimental medicine and
science

2 for primates in New York and requested of them to
search out

3 and set aside for us suitable chimpanzees.

4 Q. When was it that you did that?

5 A. Just about right around this time, around
September,

6 August or September of this memo, right in there.

7 Q. How long does it take to get one of these tests
run and

8 through the FDA for licensing?

9 A. Well, rather than add -- stay with me. It took
this

10 laboratory following our request about two months to
gather

11 five or six chimpanzees.

12 Q. Wait a minute. You are getting ahead of us.

13 You mentiond that this was a fast track
process. I

14 am asking you what a normal track process is?

15 A. Well, I think from the time we send in a license
16 application until the time it's approved ran 18 to 24
months.

17 Q. Now let's go back to a specific thing.

18 In September you have got a process
evidenced by

19 this memo and you want to do some testing on
primates; is

20 that right?

21 A. We have the nucleus of a process that needs
about three

22 or four months' work. But if we don't order the
chimps now,

23 they will never be ready.

24 By the fast track, I mean we are getting
the

25 chimpanzees ready, so our development time of another
four

LAURA M. BRENNAN, Official Reporter

1 months by the time we get it ready in, say, October
or so,

2 they will meet and we will be ready to use the
chimps.

3 But if we waited until we developed the
process

4 until it was complete and then we asked for chimps,
I was

5 trying to explain that it would take them two months
to get

6 the chimps because they have to screen them. The
chimps have

7 to be free of hepatitis, core hepatitis, core
antibody.

8 Then they have to be isolated for three to
six

9 months so that they weren't -- we can prove they
weren't

10 previously infected. So, before you even start these

11 studies, you have to have chimps that have been in
your

12 property for four to six months' period of time.

13 Q. Let's go back. Let's start over again with the
chimps.

14 Why do you have to isolate for three or four months?

15 A. To make sure that they had not become infected
with

16 hepatitis at the time that they were either purchased
or put

17 on the program.

18 Q. If you had at the start of this test a chimp
that

19 already had hepatitis but it hadn't shown up yet,
that would

20 foul your test results up?

21 A. That's correct.

22 Q. Once you have taken them through this quarantine
period
23 to make sure they are hepatitis free, what's the next
thing
24 that you do?

25 A. The next thing is we then -- we have our
process. We

LAURA M. BRENNAN, Official Reporter

1 take samples from our process, add live virus to it,
heat it

2 according to our process, keep some unheated. Then
we inject

3 the chimps with the heated material and inject some
chimps

4 with the unheated material, the unheated being the
control.

5 That chimp should come down with hepatitis. If the
heat

6 treatment --

7 Q. Where do you get the virus?

8 A. Pardon me?

9 Q. Where do you get the virus?

10 A. We got the virus this time from either Dr.
Aronson or

11 Dr. Gerety. It was from the FDA. They had the
virus.

12 Q. After you inject the chimps, how long is the
next

13 segment in terms of time?

14 A. In this particular study it went 12 months.

15 Q. What do you do during that 12 months?

16 A. Following the early time of injection, about
every two

17 to three weeks we take a sample of blood and test it
for ALT

18 to see if the liver has responded. That's the
quickest

19 indication of some liver inflammation.

20 We also at about two monthly intervals take
or the

21 physician takes a liver biopsy and examines the liver

cells

22 to see if there is any sign of infection. This is
done

23 periodically through the test procedure, through the
--

24 Q. Why do you have to do this over a 12-month
period? Why

25 do you have to do it so long?

LAURA M. BRENNAN, Official Reporter

1 A. Our experience has been, and I think the
industry, that

2 we know that hepatitis B has a three- to four-month

3 incubation time normally. There have been some
studies when

4 hepatitis B was heated that it actually prolonged the

5 clinical symptoms to six, seven and eight months.

6 So, to be sure that you just didn't slow
down

7 because you're just in a low level, we extended
usually nine

8 to 12. This study happened to go 12 months.

9 Q. Now, at the end of 12 months did you know if
your

10 material worked or not?

11 A. We had a very good indication at about eight
months that

12 it was working quite well.

13 At the end of 12 months, though, to prove
the point

14 that it's working, even though the control that
received the

15 unheated material came down with hepatitis symptoms
and liver

16 symptoms, the chimpanzee that didn't come down that
received

17 a heat treated, one could say, well, maybe for some
reason

18 they were immune to that or that it didn't work.

19 So, at the end of the 12-month period we
challenged

20 the chimp with nonheat treated material and waited
three

21 months to confirm, and certainly he came down. So,
we felt
22 we had a decent process. We certainly had something
that
23 killed between 500 and 10,000 chimp infected doses.
That's
24 the classification relative to the strength. An
infective
25 dose being one dose being enough to show hepatitis
symptoms

LAURA M. BRENNAN, Official Reporter

1 in an animal.

2 Q. Is that called challenging the results?

3 A. Well, it's called -- it's the challenge when
after 12

4 months you actually inject the nonheat treated
material to

5 see that the animal that didn't come down was
actually

6 susceptible and it just wasn't a phony test.

7 Q. How long does the challenge go on?

8 A. We wait about three months. You would know.
Well,

9 inside of three months. As a matter of fact, in this
case in

10 about two months that challenged animal came down
with

11 hepatitis.

12 Q. How much of this information was in the
Behringwerke

13 patent about animal studies?

14 A. None. They didn't have it.

15 Q. How much information was there in the
Behringwerke

16 patent about inactivation of hepatitis virus?

17 A. They just made a comment that it activates
hepatitis,

18 but they didn't have any data. They didn't have any
study

19 data in their patent application.

20 Q. Did the United States Food and Drug
Administration

21 require data?

a 22 A. Well, you are really not required to put data in
 23 patent. For Alpha to spend a few hundred thousand
dollars in
 24 looking to see if their method worked, we would have
liked --
 25 I mean, we would have liked to have seen some
clinical data

LAURA M. BRENNAN, Official Reporter

11

1 first.

-- hang 2 Q. Sir, I would like to call your attention next to
3 on.

4 (Brief pause.)

5 BY MR. GREEN:

ask you 6 Q. Let me show you Exhibit No. 10, Dr. Mealey, and
7 where this particular document came into the heat
treat 8 process?

that 9 A. Actually, this is a protocol for our chimp study
on 10 was sent into the FDA for their approval and sent in
phone on 11 December 17th. We actually got some comments by
had a 12 making slight changes to this protocol, and we really
13 final protocol in January.

14 Now, we actually started. We had material
first 15 available to start in March. That's when we made the
material. 16 inoculations of non-heat treated and heat treated

17 Q. March of what?

18 A. March of --

19 Q. '83?

20 A. -- '83.

approval from 21 Q. When was the test -- when did you receive
22 the FDA?

23 A. Our approval for what?

24 Q. I am sorry. My question is fouled up. Okay.

25 You went to the chimp test. At some point
the FDA

LAURA M. BRENNAN, Official Reporter

1 said, "Okay, you can start selling this heat treated
2 product." When did that happen?

3 A. The license for the product.

4 Q. That's right.

5 A. The license for the product was in February of
'84, but
6 if I may explain a little bit.

7 Q. Go ahead.

8 A. We started this chimp test in March, and the
chimp test
9 went for 12 months. What we did -- while we were
doing this

10 chimp test we did in our laboratory what we called
surrogate

11 studies. We had two or three other viruses that we
would put

12 through our heat treated process, but these viruses
you could

13 test for in a laboratory to see if you killed them or
not.

14 We did that, and we found that we killed all of the
amounts

15 of these three viruses that we added.

16 We also then took our product and, of
course, this

17 was product that was -- we didn't have any viruses
to, but it

18 was heat treated. We actually tested that in humans,
in six

19 humans. We tested it to find out the half life of
our

20 product and what percent recovery we had.

21 We tested that against -- in the same

donors six

22 weeks later we tested the product that we had on the
market

23 that was not heated to see what the half life and
recovery of

24 that was to see if the heating changed anything. The
heating

25 did not change anything.

LAURA M. BRENNAN, Official Reporter

1

Mealey - direct by Green

1 In January of '83 -- January of '83, with the
surrogate

2 tests and with the clinical test, we submitted our product
3 license application.

4 In about November when we had eight or nine months of

5 the chimp tests, we added some of that information to our
6 license application. That at least was good enough for the FDA

7 to issue a license by February 10th.

8 Now, that means, if I have got my numbers right, that

9 that was a short period of time. That was not -- usually from

10 the time you submitted your product license application until

11 they granted a license, it could run 24 months, 18 months. And

12 this was much shorter.

13 THE COURT: And this is the license for what?

14 THE WITNESS: For heat treated -- wet heat -- what was

15 called wet heat.

16 THE COURT: Wet heat treatment.

17 THE WITNESS: Yes.

18 BY MR. GREEN:

19 Q You told us that the Behringwerke patent didn't disclose
to

20 you any information with regard to animal testing.

21 Did it show any information with regard to clinical
22 trials?

23 A No, it did not.

24 Q Is what you just described to the jury what is called
25 clinical trials where you gave it to the six patients --

LAURA M. BRENNAN, Official Reporter

1 A Yes.

2 Q -- to see if there was any change?

3 A Yes.

4 THE COURT: Your method was different from the method
5 shown in the Behringwerke patent?

6 THE WITNESS: Not even remotely close.

7 THE COURT: So there was no problem of the patent.

8 THE WITNESS: No, we didn't use glycine, we didn't use
9 the saccharase. We took our fibrinogen out by precipitating it
10 with a polyethylene glycol solution. We didn't add any
11 stabilizers. We didn't really -- we did not heat it in a
liquid

12 form. Sometimes people have called it a misnomer.

13 We heated our material. It was a dry powder. We
14 suspended it in a liquid that it did not dissolve in. It was
15 like -- well, it did not dissolve in this liquid. It is an
16 organic solvent rather than an aqueous water solvent.

17 And Dr. Heldebrant's idea was this dry powder that was
18 all like sand, sort of, in water, we stirred it around -- the
19 transmission of heat from the liquid, we thought was far more
20 efficient heating it that way than heating a dry powder in a
21 container.

22 So this was sort of at least the nuance. People had

23 been heating dry powder or people had been adding stabilizers
to

24 heated and liquid. We heated without a stabilizer, but we

25 heated in a liquid that we felt transferred the heat extremely

LAURA M. BRENNAN, Official Reporter

3

Mealey - direct by Green

1 well. And we heated it for 20 hours at 60 degrees.

2 THE COURT: And the license was issued again on what

3 basis?

4 THE WITNESS: February of '84, February 8th or 10th,
in

5 that neighborhood.

6 MR. GREEN: February 10th, your Honor, 1984.

7 BY MR. GREEN:

8 Q I think you partially answered the Judge's question and
you

9 have anticipated the next subject that I was going to get into,

10 which was to differentiate between your process and the

11 Behringwerke process.

12 What was done --

13 THE COURT: I didn't mean to anticipate. I was afraid

14 it was not going to be mentioned.

15 MR. GREEN: I can use all the help I can get, your

16 Honor. I am happy for the help.

17 THE WITNESS: Well, I go back again to my earlier --

18 BY MR. GREEN:

19 Q Wait a minute. Slow down.

20 First of all, I want you to tell the jury how

21 Behringwerke did it, and I want you to tell them how we did it,

22 and I want you to tell the differences in 25 words or less.

23 A Behringwerke took the cryoprecipitate solution, added

24 glycine to it and added saccharase to it. They heated it a

25 little bit for 37 degrees. Then they heated it a little bit at

LAURA M. BRENNAN, Official Reporter

1 56 degrees. And this essentially gelled only the fibrinogen,
2 and maybe some of the other proteins, which they spun down, and
3 they had their AHF still fairly well intact, I assume, in this
4 glycine saccharase mixture.

5 They then -- after they spun the fibrinogen off, then
6 they heated the glycine saccharase mixture for 10 hours at 60
7 degrees.

8 When they did that -- after they did that, they now
9 have almost a syrup, you know, at 40 or 50 percent saccharase,
10 or sucrose, this is almost simple syrup. It is like Karo
syrup,
11 it is about that thick.

12 To get it from that Karo syrup, they added about 15
13 percent sodium chloride or ammonium sulfate -- I forget -- but
14 it is called salting out. They salted out and precipitated the
15 AHF away from the sugar and away from the glycine, spun it
down,
16 and they had their AHF precipitate, and that then was their
17 product that they suspended in a buffer and freeze dried, or
18 whatever they wanted to do to it, but that was their
19 inactivation process.

20 Q Now, next question is what did Alpha do, just the steps?

21 A Alpha took its cryoprecipitate, suspended it in a salt

22 solution. Then they precipitated it with some polyethylene
23 glycol.

24 And what happened there is then the polyethylene
glycol

25 at about 4 percent precipitates most of the fibrinogen, most of

LAURA M. BRENNAN, Official Reporter

1 the gamma globulin, and all the others, and probably used 5 or
2 10 percent of your AHF. But left in the supernatant fluid is
3 the antihemophilic factor.

4 We then go to the next step and increase the
5 polyethylene glycol from 4 percent to 13 or 14 percent, and
this

6 time the polyethylene glycol precipitates the AHF and keeps
7 whatever fibrinogen or gamma globulin in solution.

8 That precipitate containing AHF is then washed with
9 buffer, just to wash away any extra polyethylene glycol. Then
10 it is suspended in a buffer, and then it is freeze dried. That
11 freeze dried powder is then suspended in this organic solvent

12 that it doesn't dissolve in, and then that organic solvent,
that

13 is what we heated for 20 hours at 60 degrees.

14 After the heating we just filtered -- the organic
15 solvent goes through the filter. The AHF that is still in the

16 powder form that was in the top of the filter, that is air
dried

17 because it is heated in heptane, and at room temperature,
18 heptane, if you just give it 30 or 40 minutes, it just
19 evaporates.

20 That powder, some of it may have been denatured, and
21 one of the advantages was we take that powder, and we suspend

22 it, we run it through a filter to remove denatured protein or
23 protein that won't fill.

24 We then fill that liquid, adjust the AHF levels, fill
25 that liquid into vials, put it in the freeze drier and freeze

LAURA M. BRENNAN, Official Reporter

1 dry it. That is -- they are not even in the same state.

2 Q All right. Was the Alpha method easier to scale up in the
3 manufacturing process?

4 A Well, two things. The Alpha method was half the steps.
The

5 Alpha method, we already had had about 70 percent of it done
6 when we ran across the information from Behring, the patent.

7 Q Did you, sir, get better yield figures?

8 A Well, I never really knew what the yield figures of
9 Behringwerke were, but I -- your figures were satisfactory.

10 It did cost us about 25 or 30 percent, sometimes on
the

11 low scale; as much as 40 percent we lost in yield on that
12 heating. But as we got better at it, we cut the losses down to
13 25 or 30 percent.

14 Q Now, the time that you got the license for the heat treat
15 process, February 10th of 1984, was the HIV virus yet
16 identified?

17 A No, that wasn't until April. So we had our license about
18 two to three months before even the identification of the HIV
19 virus.

20 Q And the purpose of your process was primarily to disclose
21 hepatitis, is that right?

22 A Hepatitis, and we used hepatitis B, and we hoped it would
do

23 for non A-non B.

24 The major problem, really, when we started this in --

25 early on started thinking about it was hepatitis non A-non B.

LAURA M. BRENNAN, Official Reporter

7

Mealey - direct by Green

1 We certainly hadn't got into the AIDS virus until sort of the
2 middle of the summer in '82.

3 Q Now, sir, after April 23rd, when the AIDS virus was
4 discovered, did you then test your heat treat process to see if
5 it was effective in deactivating the AIDS virus?

6 A It -- some time in the summer -- I believe it is the summer
7 of '84 -- in conjunction with Dr. McDougall at CDC, and I think
8 with Cutter Laboratories who were in on the same study -- at
9 least they were in one of the studies that the data appeared --
10 we had, or at least Alpha, obtained some of the HIV virus from
11 Dr. McDougall.

12

13

14

15

16

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

Mealey - direct by Green

1 Q Your product and Cutter's product were
submitted to

2 Dr. MacDougall?

3 A No. I can just speak for my -- I guess I'm
jumping ahead.

4 Mr. MacDougall sent us some material. Dr.
Helderbrandt then

5 did the same thing. He added the HIV. He kept
some nonheated

6 and he heated some and sent it back to Dr.
MacDougall because

7 Dr. MacDougall was the only one that had the assay
at that

8 time, that he could measure this. And --

9 Q Let's slow down a minute. You got the HIV
virus from who,

10 the FDA?

11 A No, Dr. MacDougall from the CDC.

12 Q He sent you the AIDS virus?

13 A Correct.

14 Q Through hopefully some safe method?

15 A I hope so because we had a man wearing double
clothes and

16 everything else putting it in the solution. We
didn't know

17 much about the AIDS virus and what it would do.

18 Q So you took the AIDS virus and put it in some
AHF and

19 heated part of that to see if your heat process
would kill it,

20 and the other part you didn't heat --

21 A Just as a control, that's correct.

22 Q You sent it back to Dr. MacDougall?

23 A He analyzed it, that's correct, because he
could grow it

24 out. AIDS virus can grow in tissue culture. You
can't grow

25 hepatitis.

1 Q What did Dr. MacDougall tell you about the
product and the

2 AIDS virus?

3 A The long and the short of it was we were
killing one log of

4 virus. That would be ten to the one -- ten
particles of virus

5 every 34 minutes. So if we were heating 24 hours
-- actually,

6 he sent us -- now we've got a new term I guess
introduced, five

7 logs, but five is like ten to the fifth, so there's
100,000

8 viral particles. None were alive on his test. He
couldn't

9 pick up any.

10 Q Is that the soonest possible time that you
could test your

11 heat-treated product with regard to the HIV virus?

12 A That was the -- I think the earliest time any
virus was

13 available for manufacturers to work, the very early
virus

14 probably went to academic institutions. This was
the very

15 earliest time to my knowledge.

16 Now, my comment about Cutter,
independently of us,

17 Cutter probably was doing the same thing with Dr.
MacDougall

18 because when they published the results --

19 MR. RING: Your Honor, I object to
foundation. It's

20 obvious they're working together but, there ought

to be still

21 some rules to be followed. State a foundation.

22 THE COURT: I'll sustain the objection.

23 BY MR. GREEN:

24 Q If you would just listen to the question and
answer them

25 simply, it would be better.

1 Now, sir, the first time that the HIV
antibody test

2 became available was March 2, 1985, is that
correct? The HIV

3 antibody test was licensed March 2 of 1985, is that
correct?

4 A It was licensed March 2, 1985, yes.

5 Q And from the time it was licensed forward, was
all of your

6 product tested with that antibody test?

7 A When that test became available from the
manufacturer,

8 which was probably the end of March, we started
testing the

9 first of April all of our donors.

10 MR. GREEN: That's all I have, your
Honor.

11 MR. RING: It's going to be a while.

12 THE COURT: Well, we'll go until 5:30.
Ask him the

13 easy ones.

14 BY MR. RING:

15 Q I'll give you an easy one for a start, okay?

16 A I have trouble with my name.

17 Q I remember your name. If you forget, I'll tell
you. Now,

18 sir, get getting back to the FDA regulation, as I
understand

19 your testimony, when we clear it up, there is no
regulation

20 against testing anything, is there? I mean, there
is no

21 regulation to stop you from testing to see if you

can improve

22 your product, is there?

23 A No, there is not.

24 Q Okay. So actually, when you get down to
manufacturing and

25 you want to make a claim on your label for the
safety of your

1 product, you have to give some data, is that right?

2 A Yes.

3 Q And isn't that what this exchange was all
about. I think

4 the letter from the FDA to Penny Carr was you can't
put this on

5 your label until you prove it; isn't that about it?

6 A That's correct.

7 Q Okay. So with that, sir, since you were
interested -- and

8 I imagine you were, and I don't question it -- in
getting on

9 the market for these poor individuals that were
being killed

10 from hepatitis a product that would be improved,
you wanted to

11 do what you could, didn't you?

12 A Certainly.

13 Q So, sir, nevertheless, even though there was no
prohibition

14 against working in research and doing what you
could in the way

15 of testing to see if you can screen out people that
would be

16 carriers of hepatitis, you didn't do it -- I don't
mean you,

17 but your company didn't do it?

18 A We didn't use the core test to do it.

19 Q But the core test would have exposed 90 percent
of people

20 with hepatitis B -- or who have had hepatitis B,
isn't that

21 right?

22 A If Dr. Spira's data is correct.

23 Q Well, he's a scientist, you're a scientist.
Are you saying

24 that you don't think he knew what he was doing?

25 A No, I'm not saying that.

1 Q All right.

2 A But 90 percent is a nice round number. That
may be 80

3 percent or 70.

4 Q Okay. Let's take 70 percent. If it would have
disclosed

5 70 percent of the people that would be carriers of
hepatitis

6 B -- that had had hepatitis in the past, wouldn't
that justify

7 spending a few dollars to make a safer product for
these poor

8 people?

9 A On the surface I'm not so sure because I don't
know -- when

10 you say "make a safer product," you have to make
the product

11 and test it to see if it's safe.

12 Q Well, that's what we're talking about. There
was nothing

13 to prohibit you from doing some testing to
determine whether or

14 not that would make it safe. That's the only time
you have to

15 go to the FDA, isn't it, when you want to
manufacture it?

16 A Yes, that's correct.

17 Q And at that time you're only going to go, I
take it, if you

18 have discovered in your testing that you -- by this
test you

19 can make it more safe, isn't that right?

20 A The -- I follow your question, but what I'm a
little hung

21 up with is, in order to make it more safe, you
really have to

22 test it someplace in animals or humans.

23 Q We'll get to that. But that's part of the
testing, isn't

24 it? You have to start somewhere. You're the
scientist, you

25 tell us.

1 THE COURT: What does that mean? When
you say

2 "that's" part of the test.

3 MR. RING: We're talking about the --
we're talking

4 still about the hepatitis core antibody test. They
didn't do

5 anything to determine --

6 THE COURT: I understand what the subject
matter is,

7 but it's just the last question I wasn't sure of.
Why don't

8 you put the question again.

9 MR. RING: I got as bad as everybody else
but usually

10 I'm first at it.

11 BY MR. RING:

12 Q Let's stick to that. By testing you screen
with a core

13 antibody test, right?

14 A Yes.

15 Q And by screening that test, unlike the surface
antigen

16 test, the core antibody test, taking your figure,
would be 70

17 to 80 instead of 80 to 90 -- we'll take the 70.
That test

18 would disclose in testing the plasma of donors 70
percent -- an

19 accuracy of 70 percent as to those donors who have
hepatitis B

20 or who have had hepatitis B, either it is present
or had been

21 there before. Did that last part confuse you?

22 A No.

23 Q You know what I mean. I know it's not clear,
but we

24 both --

25 A It would pick up more people that had had
hepatitis B.

1 They wouldn't be necessarily infected.

2 Q That may be, but the surface antigen test was
very limited,

3 wasn't it?

4 A Well, by "very limited" it certainly missed
some people

5 that were actually carrying the antigen.

6 Q Like maybe 80 percent?

7 A No, sir.

8 Q The surface antigen test only disclosed those
persons who

9 at that time when they donated their blood were at
that time

10 infected, right?

11 A Yes.

12 Q Okay. But being infected at the time they
donate is not

13 the only risk, is it?

14 A It's the primary risk as far as I know.

15 Q Let's assume it is. But it also missed a good
percentage,

16 even those that had been infected at the time they
donated, is

17 that right? Some percentage. Okay?

18 A Some percentage.

19 Q Where the core antibody test picked up that
percentage plus

20 disclosed what those donors who had in the past had
hepatitis

21 B, is that right?

22 A I didn't follow your question.

23 Q Well, I'll restate it, we've got time for that.

24 The core antibody test picked up those
persons who

25 had been missed on the surface antigen test, that
is, those who

it also 1 had at the time they donated active hepatitis and

that right? 2 picked up those who had had it in the past, isn't

3 A I really don't know. I really don't.

where I knew 4 Q Well, I never though it would come to the time

that what 5 more than the scientist, but let me tell you, isn't

6 these papers that we've had up have said?

Honor, we've 7 MR. GREEN: I'm going to object, your

8 had 8 or 9 --

9 THE COURT: Sustained.

10 MR. RING: That's bad. All right.

11 BY MR. RING:

12 Q You don't know that?

13 A I don't know that.

to put up 14 MR. RING: Okay. Well, do we have time

15 that one exhibit?

point to 16 THE COURT: Well, maybe this would be a

17 break.

warm and I'm 18 MR. RING: All right. Good time it's

19 out ought of steam.

20 THE COURT: We'll start at 9:30 tomorrow

open court out 21 (The following proceedings were had in

22 of the presence of the jury:)

23 THE COURT: I'm not ready to rule this
evening on the

24 various motions for judgment as a matter of law
because I

25 haven't had an opportunity to do the reading I
wanted to do,

are raised 1 but I do want to ask the plaintiffs a question that
Count 3 2 by the motions, one easy one, Mr. Ring, might be is
3 concerned only with funeral bills, burial expenses?
any bills 4 MR. RING: I guess it is. We didn't put
5 in, so --
6 THE COURT: Well, it's such a minor --
by the 7 MR. RING: I really don't care. It goes
8 wayside.
defendants -- 9 THE COURT: All right. Then, well, the
10 MR. RING: For that funeral it wouldn't
have paid 11 very much any way, so --
12 THE COURT: The defendants have carried
today on that 13 one then. If Count 3 is just in regard to those
bills, I'll 14 enter judgment against the plaintiff on that count.
15 What about the tie-up between Mrs.
Grouka's anxiety 16 and her stomach and intestinal disorder? Should
there be 17 medical evidence of that?
18 MR. RING: Judge, let me start this way.
I don't 19 think she has to show any evidence of physical
injury. We did 20 it because I -- because of the prior rulings and
just, as I

21 said, for technical reasons.

22 My feeling is we're dealing with a
completely new

23 tort, things that have not come to pass in the
past. It is

24 not -- it is not derivative. It is not something
that she

25 heard about and it made her anxious and sick. This
is

1 something that affects her. It is somewhere
between a direct

2 or just an unnamed cause of action because this is
something

3 that she will suffer from, she will get, if she
gets it at

4 all. It is not something that her husband will get
or has

5 gotten. This is something that she's now been
told, that he

6 not only has AIDS, but that she has a risk of
getting it. Now

7 this is as direct as you can be when we're dealing
with a new

8 type of a condition.

9 I put in this evidence of the stomach
ache because I

10 did forget it earlier and only because of the
question of

11 whether or not it's a derivative cause of action
and that would

12 be required. But I think the recent cases, and I
think -- the

13 Corgan case, I think, spell out -- and I think it's
a case that

14 Judge Moran later relied on after he was ruling in
this case,

15 that the Supreme Court of Illinois in that case, in
Corgan, if

16 I'm citing it right.

17 THE COURT: Is that the case decided
after my last

18 ruling on it.

19 MR. RING: Yes, I think so.

20 MS. GOURLEY: No, your Honor.

21 MR. RING: Was it before? Corgan was
before your

22 last ruling.

23 THE COURT: I don't even remember the
name.

24 MR. RING: I think it was Corgan where
the Court

25 points out that I guess it was -- there's so many
exceptions

-- that's 1 that they see that they really felt that it was not
you have to 2 where we had already eliminated this business about
Ricky's 3 be in the zone of danger in other cases, the
all of 4 rationale. And, I think, in Corgan they had set up
Illinois as to 5 these things that troubled the Supreme Court of
6 whether or not the physical act was a necessity.
after -- 7 We cite it in my brief. I think it was

8 before you had ruled, but nevertheless --

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

11

1

1 THE COURT: Well, I've held and as far as I'm
2 concerned it's the law of the case that there has to be some
3 physical injury in order to support the claim for emotional
4 distress.

5 MR. RING: Well, if that is the case, Your Honor --

6 THE COURT: And that is very similar to the old
impact
7 rule --

8 MR. RING: Right.

9 THE COURT: -- that applies to the situation where
the
10 wrong is committed directly against the person claiming the
11 mental distress. There still has to be some kind of impact.

12 That's been in the law for 100 years or more. And it just
13 seems to me this is an extension of that or an application,
14 basically, of the same principle to a correlative situation.

15 You had the witness here who furnished the affidavit
16 that defeated summary judgment on it, Dr. Subramanian.

17 MR. RING: Yes. But I also forgot to ask him, and I
18 didn't feel that it was necessary to bring him back for that
19 frankly.

20 THE COURT: All right. Well --

21 MR. RING: I really feel that something like that,
22 she's testified how it came about and --

23 THE COURT: Well, that's what I'm asking you. Do you
24 need medical testimony, or is this something that is a matter
25 of common knowledge, that emotional upset can cause intestinal

LAURA M. BRENNAN, Official Reporter

2

1 disorders? Unless you can give me a case to that effect or
2 produce some medical evidence to connect it up, consistent
with
3 my prior rulings, I'm going to have to grant judgment on the
4 emotional distress claim.

5 I mean, whether that requirement of the law makes any
6 sense or not is not for me to say. I'm sitting here as a
state
7 court, and that's my understanding of the present state law.

8 Or if you can show me some case that says that's not the law,
9 that I overlooked last time a case or that's been decided
since
10 my last ruling, that's something I'll consider, too.

11 MR. RING: Well, of course, there is cases. There is
12 a case from West Virginia --

13 THE COURT: Well, West Virginia doesn't help me.

14 MR. RING: Hey, that's the capital of the world of
15 knowledge, you know, West Virginia.

16 THE COURT: Well, I'm not deprecating West Virginia,
17 but what I'm saying is we're dealing with here with what is
the
18 Illinois law.

19 MR. RING: There are a number of cases that say you
do
20 not need --

21 THE COURT: A West Virginia case and --

22 MR. RING: It's an AIDS case. That is --

23 THE COURT: -- a dollar and a half will get you a
ride

24 on the CTA.

25 MR. RING: Well, I'm not so sure.

LAURA M. BRENNAN, Official Reporter

1 But there are cases in Illinois that hold that you do
2 not have to have medical support for injury. Now, of course
3 that depends on the type of injury.

4 THE COURT: Well, of course, if you're claiming a
5 black eye after you were struck with something, you don't need
6 a doctor for that. But for the causal relationship between
7 these two things we are talking about here, I'm inclined to
8 think you need a medical -- you have to prove it. I mean, as
9 far as I understand Illinois law, you have to prove it. The
10 question I'm raising is how you prove it. And normally,
unless
11 it's a no-brainer, you need a doctor. That is why these
12 doctors make so much money.

13 MR. RING: Let me check if there is some law to
14 support it.

15 THE COURT: All right. See if there is law that says
16 that --

17 MR. RING: Do I --

18 THE COURT: -- on a no-brainer like that you don't
19 need a doctor.

20 MR. RING: Let me check that.

21 THE COURT: A jury can draw its own conclusions.

22 If the defendants have anything to the contrary, let
23 me see that.

24 MR. RING: Let me check it, Your Honor. And do I
take

25 it if it requires it, you'll permit me to bring back --

LAURA M. BRENNAN, Official Reporter

4

1 THE COURT: I'll permit you -- here is why I'll do
it.

2 I want this jury, whatever is submitted to them, to be
complete

3 so that they can make a decision, and then that decision can
be

4 reviewed or examined with both sides having put their best
feet

5 forward.

6 MR. RING: I understand.

7 THE COURT: That seems to me to be the real function
8 of this case, aside from its obvious importance to the
9 particular plaintiff.

10 Now, one more thing, what is your response to the
11 learned intermediary defense in specific relationship to Dr.
12 Telfer who did testify that she was aware of a lot of things?

13 What is it that plaintiff claims she was not aware of that the
14 defendants should have told her?

15 MR. RING: Well, first of all, of course, he received

16 products other than the ones that she had prescribed at the
17 hospital, and that was something that was gotten that she had
18 no involvement in. She had some generic information. But in
19 any event, I think Dr. Telfer testified that she did not know
20 that these were contaminated pools. I forget the exact
21 language, and I have to take a look and see.

22 THE COURT: Maybe what you could do, it would assist
23 me, I think, give me the portions of the transcript that both
24 sides think are relevant to this --

MR. RING: All right.

LAURA M. BRENNAN, Official Reporter

5

1 THE COURT: -- question of what she knew and when she
2 knew it. It sound like the Watergate case.

3 MR. RING: Just briefly what I'm getting at, most of
4 this product except for what was given in that hospital was
5 shipped direct from an agent, from a supplier, apparently, and
6 not through a doctor that sat down and had the opportunity to
7 apprise the plaintiff of what it was.

8 THE COURT: Well, but she was his physician and
9 obviously had discussions with him about his medication. That
10 doctrine would make no sense if it required that they had to
11 have a discussion about every single vial of the medicine that
12 he took.

13 MR. RING: No. But it also would make no sense if
14 they can use that doctrine as a defense against their
15 obligation to make a safe product and tell all of the facts.

16 THE COURT: Well, the second part --

17 MR. RING: I mean, the fact is what did she get?

18 THE COURT: The second part is true, you have to tell
19 all material facts. But the defense as I understand it does
20 indeed insulate them from their own negligence if the learned
21 intermediary knew of the risks.

22 MR. RING: If they knew all the facts about it. One
23 thing that sticks out, she did not know that it was from
plasma

24 collected in high risk areas. That's what she testified to.

THE COURT: Well, that's --

LAURA M. BRENNAN, Official Reporter

6

1 MR. RING: She didn't know that.

2 THE COURT: That's what I wanted. It would help me.

3 MR. RING: Yes.

4 THE COURT: And I suppose there was relatively few
5 pages of the transcript that were addressed to that.

6 MR. RING: Yes, it's not much.

7 THE COURT: Let me see those.

8 Okay. Anything else you want to talk about before
9 tomorrow?

10 MS. KIMBALL: Your Honor, do you want us -- you
don't,

11 I assume, want us to make any positions on the motions for
12 directed verdict now?

13 THE COURT: No, no. I've read what you've written.

14 And frankly, I thought it was pretty good stuff. But I have
to

15 go beyond the briefs themselves. I want to read the cases.

16 MS. KIMBALL: Okay. Thank you.

17 MS. THOMAS: There is one issue with Ernst Weidman
18 which I think has to be resolved.

19 MS. KIMBALL: Yes, I'm ready.

20 THE COURT: When I say "good stuff," I mean I thought
21 it was well presented, and you gave me a lot of material to
22 consider.

23 MR. GREEN: On the ruling, Your Honor --

24 MR. BARR: Your Honor, on the issue --

25 Excuse me, Mr. Green.

LAURA M. BRENNAN, Official Reporter

1 On the issue of directed verdict, we filed a very
2 short brief.

3 THE COURT: Yes, I understand. You are adopting the
4 other --

5 MR. BARR: But with one great difference. Our whole
6 argument is we are for purposes of the motion willing, and I
7 will address it whenever you give me the opportunity, willing
8 to concede any kind of negligence plaintiff has proven. It is

9 a sole proximate cause defense. It is perhaps different than
10 others. But our defense is solely proximate cause. And so
for
11 purposes of the argument, the Court can consume any kind of
12 negligence it wants when we get do to.

13 MR. BERKMAN: One other point, Your Honor. Since
14 tomorrow is Friday, and I at least am going to try and catch
a
15 7:00 o'clock plane, what is your pleasure -- I mean, I can
make
16 a later plane -- for when you want to hear this argument so
17 that I can schedule around it?

18 THE COURT: Well, let's not take time tomorrow to do
19 it, because I won't even tomorrow have done what I want to do.

20 I can't -- I read a lot of cases back at the summary judgment
21 time, but frankly at this late date I can't remember which
ones
22 I've read and which ones I haven't.

23 MR. GREEN: Well, Your Honor, it makes a difference
--

24 THE COURT: It does seem to me that I'm seeing some

25 cases cited here that sounds like they're new.

LAURA M. BRENNAN, Official Reporter

8

1 MR. GREEN: It makes a difference, too, Your Honor --

2 THE COURT: And certainly my understanding of them
3 will be, I think, better now than it was before I had heard
all
4 the evidence I've heard so far in the trial.

5 But no, not tomorrow. So 7:00 o'clock plane, if we
6 quit at --

7 MR. GREEN: I have a 6:45 plane, Your Honor.

8 THE COURT: Well, why don't we quit at, if we quit at
9 5:00 o'clock, would that be time?

10 MR. BERKMAN: Yes. We can make that.

11 THE COURT: By the way, a free tip I'll give you, the
12 worst way to get there is in a cab.

13 MR. BERKMAN: No, no. We take the train.

14 THE COURT: Okay.

15 MR. BERKMAN: Then would you be likely to hear it
16 Monday afternoon, because if there is any chance, again, like
17 Mr. Barr raises a simple one, proximate cause, if there is any
18 chance, I'd rather not keep coming back and spend another
three
19 weeks here.

20 THE COURT: Well, right now, let me say this. If I
21 were to predict how I'm going to come out, I'd say we are
going

22 to have four defendants going to the jury. I mean, that's my
23 hunch. But I don't know yet. So that's all I can tell you.

24 MR. RING: See you in the morning.

THE COURT: Okay.

LAURA M. BRENNAN, Official Reporter

1 MS. THOMAS: Well, we have to talk about Mr. Weidman.

2 MR. RING: Oh, Ernst Weidman. Ms. Thomas is familiar

3 with it. Maybe you can --

4 THE COURT: Okay.

5 MS. KIMBALL: Your Honor, on September 20th, I

6 tendered to Ms. Thomas a list of our witnesses, which were may

7 calls at that time. Among the witnesses were Dr. Ernst

8 Weidman. Thereafter, she asked me what he was going to
testify

9 about. On October 19th, I advised her by letter what he was

10 going to testify about, which is basically Behringwerke
issues.

11 He is a German. And after we had heard Dr. Trobisch's

12 testimony and right before plaintiff finished their case, I

13 advised her that we are planning to call Dr. Weidman.

14 Now, we are having some trouble communicating with

15 him, and I'm not sure when he can get here but --

16 THE COURT: Maybe we can do it by satellite.

17 MS. KIMBALL: No. I'm not going to take that one on.

18 Mr. Berkman is taking that one.

19 MR. BERKMAN: I object.

20 MS. KIMBALL: I knew you would.

21 We received today a motion from the plaintiff to bar

22 Dr. Weidman or in the alternative to allow his deposition.

23 THE COURT: All right.

24 MS. THOMAS: Yeah, two problems basically, Judge,

25 first is a nondisclosure problem, and the other is a relevance

LAURA M. BRENNAN, Official Reporter

10

1 problem. On the nondisclosure issue, September 20th was the
2 first we heard his name. And I had to make a motion to compel
3 after trial started. We figured out who he was and what he
was
4 going to say in a couple of lines. That gets us to the
5 relevance problem.

6 He apparently works for the parent company of
7 Behringwerke here in the United States. I'm told he is going
8 to have some testimony about Behringwerke's license
application

9 at some time in the eighties with the FDA. And I'm not sure
10 how that's related to this case. No defendant has said they
11 have tried to negotiate with Behring until Armour did in late
12 '85 or early '86. So, you know, what efforts Behring may have
13 made in '83 or '84 to obtain a license before Armour stepped
14 in, I just don't see how it's relevant here.

15 THE COURT: Well, except that I suppose you could
16 argue that somebody should have tried to do that whether they
17 did or not. Your point would be that they didn't.

18 MS. THOMAS: They didn't. They don't claim they did.

19 THE COURT: Right.

20 MS. THOMAS: And they don't claim they tried, so --

21 THE COURT: But if they can show that it wouldn't
have

22 made any difference if they had, because there is no way that
23 Behringwerke itself could have been licensed before X date,
24 then that would be a response to your argument that they
should

25 have negotiated with Behringwerke. What other is there?

LAURA M. BRENNAN, Official Reporter

11

1 MS. KIMBALL: Well, they've made Behringwerke's
patent

2 and Behringwerke's process an issue in this case, and we'd
like

3 to have a witness who can discuss that.

4 THE COURT: Just in general terms, what is the
5 relevance of the testimony?

6 MS. KIMBALL: The relevance of the testimony is one
7 that Behringwerke's process was not licensed in the United
8 States until 1986 or so and how it worked and whether it
9 worked.

10 THE COURT: Whether the product worked?

11 MS. KIMBALL: Yes.

12 MR. RING: Well, that raises a question with me.
They

13 had a long deposition for three days of Dr. Trobisch. It
14 covered over 350 pages. They knew what he was going to
testify

15 about. And they lay in the weeds until he leaves. Now, he
16 left that day, and they heard he was running to the airport.

17 And after he leaves, then they send us a notice that they're
18 going to call this witness. And I think that --

19 MS. THOMAS: Yes, the first letter we got did not
20 include him as a will call. The second letter did.

21 MS. KIMBALL: None of the witnesses in the first
22 letter were will calls, because we were waiting for the
23 evidence and to see who you were going to put on.

24 THE COURT: I told the defendants that they had to
let

25 you know who their witnesses were going to be I think on the

LAURA M. BRENNAN, Official Reporter

12

1 last day of your case --

2 MS. THOMAS: That's when we got the first letter from

3 Alpha.

4 THE COURT: -- or whatever it was. They didn't have
5 to make up their minds until they had heard your case. And
6 Dr.

6 Trobisch was one of the last of your witnesses.

7 So when did you get this person's name as a possible?

8 MS. THOMAS: The very first time I heard his name was

9 on September 20, 1993. I immediately asked who he was and
10 what

10 he's going to say. I had to make a motion, and I found out
11 who

11 he was and what he's going to say on October 19th.

12 So we're at an extreme disadvantage. We obviously
13 would have done some discovery if he were revealed in a timely
14 fashion on what he plans to say about Behringwerke itself
15 making some efforts with the FDA.

16 THE COURT: Well, it sounds to me like it goes beyond
17 that. He is going to say the product didn't work.

18 MS. THOMAS: Oh, we don't know that. That's not what
19 I was told. He may very well say that.

20 MR. GREEN: I believe, Your Honor, he is going to say

21 that the German clinical trials were not sufficient for the
22 FDA

22 to grant a license for it. In fact, they tried to grant --

23 they were submitted, and the FDA turned them down. He is also
24 going to testify with regard to the yield. We also expect him
25 to testify with regard to the amounts of product that were

LAURA M. BRENNAN, Official Reporter

13

1 available from Behringwerke.

2 THE COURT: I'll overrule the objection. It's
3 certainly relevant and material. Obviously, Behringwerke was
4 an issue in the case. And the witness the plaintiff chose to
5 call was somebody who left there in, what, '73 and was really
6 not in the position to testify from personal knowledge about
a
7 lot of the things that had gone on there.

8 MS. THOMAS: But he was disclosed in a timely fashion
9 and deposed in 1989.

10 THE COURT: Well, I understand that.

11 MR. RING: I just want to know a few things.

12 Was he working there at the time? He is going to
13 speak of his own knowledge, or is he going to tell us things
14 that he heard? I mean, that's the cut to it whether I want a
15 deposition or not.

16 MS. KIMBALL: I have not met Dr. Weidman. Mr. Green
17 and Mr. Bell have.

18 MR. BELL: I believe he began working there in 1982
19 during the clinical trials on some of the products.

20 MS. THOMAS: In Germany or in the States?

21 MR. BELL: He was at Behringwerke in Germany.

22 MS. THOMAS: In Germany?

23 MR. BELL: In Germany.

24 MS. KIMBALL: He is in Germany now.

25 MS. THOMAS: All right.

LAURA M. BRENNAN, Official Reporter

14

1 MS. KIMBALL: That's why I'm having trouble reaching
2 him.

3 THE COURT: That's why we were going to do it by
4 satellite.

5 MS. KIMBALL: That's right.

6 MS. THOMAS: Oh, I though he was with the --

7 MR. RING: Are you going to use the satellite?

8 MS. KIMBALL: Yeah, we are.

9 MR. RING: Are you?

10 MS. KIMBALL: The same ground rules you established.

11 THE COURT: Okay.

12 MR. BELL: We are having some difficulties, Your
13 Honor, in speaking with him right now.

14 THE COURT: Well, I will overrule the objection to
his
15 testifying. It sounds like he is somebody who was closer to
16 the situation than the plaintiff witness was, at least from
one
17 point of view.

18

19

20

21

22

23

24

25

1 MS. KIMBALL: Thank you, your Honor.

2 MS. THOMAS: Well, given the late
disclosure, your

3 Honor, may we have the deposition?

4 THE COURT: He is in Germany?

5 MS. KIMBALL: He is in Germany as we speak.

6 MR. BELL: As I mentioned, we are going to
have

7 some difficulty in communicating at this point in
time for

8 him getting out here.

9 THE COURT: Well --

10 MS. THOMAS: All right. Perhaps if we
think about

11 this overnight, we can come up with some creative way
to get

12 the information we would need.

13 THE COURT: I did provide for the
deposition of a

14 plaintiffs' witness -- Drees, wasn't it?

15 MS. THOMAS: Yes.

16 THE COURT: -- very close to trial. Was
there

17 anybody who was still being deposed after trial had
started?

18 MS. THOMAS: Yes, Dr. -- well, no.

19 MS. KIMBALL: No, that was before.

20 THE COURT: Well, normally I don't like to
21 interrupt the trial with depositions, but if it's
necessary

22 to prevent a miscarriage of justice, I would do it.

23
file, Judge.

MS. THOMAS: I will take a look at our

24
about

THE COURT: Think about it and we will talk

25 it again tomorrow.

LAURA M. BRENNAN, Official Reporter

1 MS. KIMBALL: Thank you, your Honor.

2 THE COURT: The way we do it is the
deposition

3 would be -- he wouldn't have to come over here long
in

4 advance. You can do it all in one day.

5 MR. GREEN: There is one other brief
scheduling

6 question.

7 I designated two live witnesses and one
8 deposition. I have said that if we can read the
deposition

9 of Dr. Peter Levine, which will take about an hour,
I won't

10 need to substitute Dr. Gomperts, who will have to
come in

11 twice and be available, and I would like to do that.

12 Now, they have told me they are going to
object to

13 that deposition, and I am not sure why, but I would
like to

14 read the deposition instead of having to call another
expert.

15 MR. RING: Dr. Levine is the one that
claimed his

16 life was being threatened and we couldn't go ahead
with the

17 deposition, and they got another expert but didn't
submit him

18 for a deposition.

19 I think we can do the same thing. We can
take your

20 new expert, let him come a half day earlier. We did
this

They 21 with Drees and another party here during the trial.
not 22 came on Wednesday and gave their deposition. I am
23 suggesting we need a Wednesday.
to avoid 24 MR. GREEN: Well, I am trying, your Honor,
25 --

LAURA M. BRENNAN, Official Reporter

1 MR. RING: I am not suggesting we need more
than an 2 hour.

3 MR. GREEN: I am trying to avoid pushing
this over 4 past Thanksgiving.

5 Basically they took a three-hour deposition
of 6 Dr. Levine and we asked no questions. All I want to
do is 7 read their questions and his answers. Then I don't
have to 8 call a new witness to re-explore that area.

9 THE COURT: Think about that. Anything we
can do 10 to hasten this along --

11 MR. RING: I thought about it. I will not
take 12 Levine, who didn't get a chance -- who Ms. Thomas
didn't get 13 a chance to follow up and get him with the real stuff
and 14 read the bad parts and go.

15 MR. GREEN: That's not true, your Honor.

16 THE COURT: Was the deposition interrupted?

17 MS. MS. THOMAS: I didn't get a chance to
finish 18 it. He had to leave at a certain time. I had gotten
the 19 preliminaries set, which would make a very nice
narrative, 20 but I never got to the meaty stuff at the end.

21 MR. GREEN: Your Honor, I would like you to

read

22 the deposition.

23 THE COURT: Well, if it was not a complete

24 deposition from the plaintiffs' standpoint, I won't
permit

25 you to use it. I don't want to read it. How long is
it?

LAURA M. BRENNAN, Official Reporter

1 Three hours?

2 MS. THOMAS: I don't think so, Judge.

3 THE COURT: It will take me three hours to
read it.

4 MR. RING: There is no need for it.

5 MR. GREEN: Your Honor, can we supply you
with the
6 information as to what happened and provide you with
the

7 back-up so that you know that this was their
deposition?

8 They took it for three hours.

9 THE COURT: I already know that.

10 MR. GREEN: They showed up an hour late.
They

11 said, "I have one more question." He said, "Fine."
He

12 answered it, and then she said, "I may want to come
back and

13 ask you some more questions," and they never could
arrange

14 that and they never pressed to arrange that because
they let

15 it go. This was three years ago. They let it go.

16 And then when he said, "I can't show up for
trial"

17 -- all I want to do is read the deposition of her
questions

18 and the witness' answers and save a whole day of
trial

19 because I can get it done in an hour. I have pruned
it down

20 and she can cross-designate anything she wants.

21 MR. RING: I am sure that --
22 MR. GREEN: They didn't like his answers
was the
23 problem.
24 MR. RING: -- we will be able in short time
to
25 elicit the questions from this witness, and I can
assure him

LAURA M. BRENNAN, Official Reporter

1 I will not be long with him.

2 MR. GREEN: Dr. Levine was a member of
MASAC. He

3 gave factual information. Even though our expert can
give

4 the same opinions, he cannot give the same factual

5 information about what was said, what was known, what
was

6 done. I respectfully request, your Honor, that
rather than

7 spend what will amount to two extra days, a
deposition day

8 and a trial day --

9 THE COURT: I can't believe that. Two
extra days?

10 MR. GREEN: Your Honor, I have got to bring
a

11 witness in from California who's now in Europe in
lieu of

12 reading Dr. Levine's deposition, and I only request
that the

13 Court allow us to present to you what's in Dr.
Levine's

14 deposition.

15 THE COURT: All right. Present it to me.

16 MR. GREEN: Thank you.

17 THE COURT: All right, 9:30.

18 (Adjournment taken at 6:00 p.m. until 9:30
a.m. of

19 the following day, November 5, 1993.)

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 For Armour
Pharmaceutical
2 Company: SIDLEY & AUSTIN
One First National Plaza
3 Suite 4300
Chicago, IL 60603, by
4 MS. SARA J. GOURLEY
MS. LORY A. BARSDATE
5
6 For Miles
Laboratories: JOHNSON & BELL, LTD.
7 222 North LaSalle Street
Suite 2200
8 Chicago, IL 60601, by
MS. PAMELA L. GELLEN
9
O'CONNOR, COHN, DILLON & BARR
10 151 Union Street at Sansome
Suite 551
11 San Francisco, CA 94111, by
MR. DUNCAN BARR
12
For Baxter Travenol
13 Laboratories, Inc.: ALBERT, BATES, WHITEHEAD &
MC GAUGH
14 10 South Wacker Drive
Suite 2035
15 Chicago, IL 60606, by
MR. CHARLES G. ALBERT
16
DECHERT, PRICE & RHOADS
17 4000 Bell Atlantic Tower
1717 Arch Street
18 Philadelphia, PA 19103, by
MR. RICHARD L. BERKMAN
19
20
21
22
23 COURT REPORTER: LAURA M. BRENNAN
24 219 South Dearborn Street, Room 2342
Chicago, IL 60604
25 (312) 427-4393

1

Mealey - cross

1 (The following proceedings were had in open court out of
the

2 presence and hearing of the jury:)

3 THE COURT: Good morning.

4 MS. KIMBALL: Good morning, your Honor.

5 MR. BERKMAN: Good morning.

6 MS. GOURLEY: Good morning.

7 (The following proceedings were had in the presence and
8 hearing of the jury:)

9 THE COURT: Good morning, ladies and gentlemen.

10 THE JURY: Good morning.

11 THE COURT: All right, Mr. Ring.

12 EDWARD MEALEY, DEFENDANT ALPHA'S WITNESS, PREVIOUSLY SWORN

13 CONTINUED CROSS EXAMINATION

14 BY MR. RING:

15 Q Now, Dr. Mealey, were you involved in the treating process
16 that Alpha developed?

17 A In an ancillary manner, yes.

18 Q You weren't the one that conceived the plan, were you?

19 A Dr. Heldebrant was the one that conceived the actual
method.

20 Q And he is the inventor?

21 A Yes.

22 Q Okay. And did you work on it as a scientist?

23 A No. At the time -- part of the time it was going on, that

24 department just fell under my jurisdiction.

25 Q Okay. So what you told us yesterday about that is what you

LAURA M. BRENNAN, Official Reporter

1 learned from Dr. Heldebrant?

2 A I participated in meetings with the research department
when

3 they were discussing how they were going about things.

4 Q And by the way, about early '83, Alpha also developed a dry

5 heat?

6 A Yes, they did.

7 Q And did that go to market?

8 A Yes, I believe it followed the wet heat treat.

9 Q What did you call the wet heat treatment --

10 A I think it --

11 Q -- the product?

12 A Profilate heat treated.

13 Q What did you call the dry heat?

14 A I believe it was heat treated Profilate.

15 Q You just reversed?

16 A Reversed the heat treat.

17 Q Okay. Did you ever claim the Profilate heat treated to be
a

18 pasteurized method?

19 A No, a heat treated method.

20 Q It wasn't pasteurized?

21 A My definition of pasteurized is that you heat for 10 hours

22 at 60 degrees in the liquid state. This was not pasteurized.

23 Q All right. Then, sir, I notice you weren't given the Alpha

24 patent.

Is this the Heldebrant patent that you talked about

LAURA M. BRENNAN, Official Reporter

3

Mealey - cross

1 yesterday?

2 A Yes, I believe it is.

3 Q Where, sir, is the patent --

4 Can I have that unless you want to keep it? Does it

5 give you some comfort holding it?

6 A That is all right.

7 Q Where is the patent for the Profilate heat treated, what
you

8 call the dry heat?

9 A I am referring to that as heat treated Profilate. I don't

10 believe we have one.

11 Q You didn't get a patent for that?

12 A I don't believe so.

13 Q Did you in your package inserts show a difference to the
14 user?

15 A The descriptions would be one heat treated as a dry powder,

16 the other one heat treated as a powder suspended in a liquid

17 medium.

18 That would have been the description -- the
difference.

19 Q Now, they were sold at the same time?

20 A No. I believe -- well, the dry heat treated product was

21 licensed some time after what I will refer to as the wet heat

22 treated product.

23 Q By licensed, you mean the FDA?

24 A Yes, I do.

25 Q Do you have any literature for the -- that tells about the

LAURA M. BRENNAN, Official Reporter

4

Mealey - cross

1 difference between the two?

2 A I am personally not aware of any.

3 But I would believe the marketing department would
have

4 some.

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Mealey - cross by Ring

1 Q. But you don't know of any?

2 A. I don't know of any.

3 Q. All right. Yet, the technical development was under your

4 direction?

5 A. Yes.

6 Q. Wouldn't anything, anything that went out to the public be

7 checked with you?

8 A. No.

9 Q. Even though you are the technical person?

10 A. No. We have, we have a group of people that review

11 technical information, regulatory affairs, the person that

12 developed it in marketing. I don't necessarily read things,

13 marketing bulletins.

14 Q. Well, sir, why was it necessary to have what we call a dry

15 heat if you had a wet heat?

16 A. To the best of my knowledge, when we had purified the

17 material to wet heat treat it, we found that very little, if

18 any, was denatured, and that we thought heating it in the dry

19 state might be just as effective and we'd have a better yield.

20 Q. So you found that in the wet stage very little was

21 denatured?

22 A. That's correct.

23 Q. You lost very little of the Factor VIII?

24 A. Well, we lost quite a bit of the activity. But of
material

25 that was non-filterable, there was very little.

LAURA M. BRENNAN, Official Reporter

1 Q. And so in the dry heat -- well, wasn't the dry heat to
have

2 less Factor VIII in the product?

3 A. No. In the dry heat, our yield was better with the dry
4 heat method than the wet heat treatment.

5 Q. By yield, we're talking about how much you got out of the
6 Factor VIII, right?

7 A. How much Factor VIII we got out of the plasma, yes.

8 Q. Okay. So we're talking about the same thing, aren't we?

9 With the dry heat, you had to use less Factor VIII?

10 MR. GREEN: That's plasma.

11 BY THE WITNESS:

12 A. I'd put it the other way around. With the Factor VIII we
13 used, we lost less of the activity.

14 BY MR. RING:

15 Q. So you had to use -- you needed less, less plasma?

16 A. To get the same amount of AHF activity, that is correct.

17 Q. Okay. And plasma costs money, right?

18 A. Yes.

19 Q. Now, was the dry heat product you put out different from
20 what the other manufacturers were doing?

21 A. I don't know what the other manufacturers were doing.

22 Q. In your deposition, sir, on page 86, Ms. Thomas took it,

23 "At the time Alpha began dry heat treating its Factor VIII

24 concentrate in R&D" --

"The Witness: It was, if I may interject a little

LAURA M. BRENNAN, Official Reporter

3 Mealey - cross by Ring

1 science, it was a method that I think was used by others at
the
2 time, but as far as for being established, if you as I do
3 interpret 'establish' as being a successful method of not
4 transmitting virus, dry products had been known -- had been
5 shown that in the case of non A-non B, to the best of my
6 knowledge, there is not a dry heat-treated product now that
has
7 not transmitted hepatitis. So while it's a used process, it's
8 not an established one."

9 Now, weren't you using the same type of method as the
10 others?

11 A. Some -- first of all, Mr. Ring, the method of manufacture
12 of AHF may differ from one manufacturer to the other rather
13 significantly. They may have different --

14 Q. Did you not say at that time, sir -- well, finish your
15 answer. Go ahead.

16 A. So the effect of heat on their final product depending
upon
17 the buffers that they used or other proteins that they have
may
18 be different from one manufacturer to the other manufacturer.

19 The time of heating, some manufacturers were heating 10 hours,
20 some manufacturers were heating 20 hours. This is back now
21 1982, '83, and '84.

22 Q. So it's a matter of how much time you heated it for and at

23 what temperature?

24 A. And what the composition is that you're heating and what

25 the moisture content of that powder is that you're heating all

LAURA M. BRENNAN, Official Reporter

4 Mealey - cross by Ring

1 affects the treatment process.

2 Q. Do you agree, sir, with your statement here that no dry
3 heat process was effective against all virus?

4 MR. GREEN: Object, Your Honor, on the ground that
5 misstates what he said in his deposition.

6 BY MR. RING:

7 Q. Do you agree with your --

8 THE COURT: Well, that sounds approximately like what
9 he said. You correct it. What do you say he said?

10 MR. GREEN: Your Honor, the deposition says what it
11 says.

12 THE COURT: You tell me what it says. You say it
13 doesn't say what Mr. Ring says. No? Put it in your own
14 words,
15 if you want to.

16 MR. GREEN: Give me a moment. It says, "To the best
17 of my knowledge, there was not a dry heat-treated process that
18 has not transmitted hepatitis. There may be well be some that
19 doesn't" --

20 THE COURT: Isn't that what Mr. Ring just said?
21 Overruled.

22 You may answer. Do you still take that view?

23 BY THE WITNESS:

24 A. I still -- at that time under the conditions, the
heat-treated products that were on the market, there had been

25 reports of viral transmission from products.

LAURA M. BRENNAN, Official Reporter

5

Mealey - cross by Ring

1 BY MR. RING:

2 Q. And that includes Alpha?

3 A. Yes. We received a report.

4 Q. Then, sir, you started this process or Dr. Heldebrant
5 started it from scratch as far as you know, is that right?

6 MR. GREEN: Which process?

7 MR. RING: Well, the one that they got the patent on.

8 Either process.

9 BY THE WITNESS:

10 A. Well, I'll just define scratch. Actually, Alpha just had
11 generated from 1978 as far as I know -- I should say from '81
12 when I joined, they had a citrate glycine process that went to
13 a PEG process. It then modified the PEG process to something
14 else. But, in essence, they did it on their own.

15 BY MR. RING:

16 Q. The PEG process was something invented in 1968, wasn't it,
17 by Dr. Johnson?

18 A. He used PEG in 1968.

19 Q. Okay.

20 A. Dr. Polson in Australia used PEG.

21 Q. Okay.

22 A. PEG is just the chemical method of precipitating protein.

23 Q. A purification method, right?

24 A. It's a precipitant. Like alcohol precipitates proteins,

25 PEG precipitates protein.

LAURA M. BRENNAN, Official Reporter

6

Mealey - cross by Ring

1 Q. So no one had to invent that at Alpha, because it had been

2 around for 25 years?

3 A. That knowledge, yes.

4 Q. Okay. And in order to do a pasteurizing or whatever you

5 call your process -- you don't call it pasteurizing?

6 A. Just heat treatment.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

Mealey - cross by Ring

1 Q Just heat treated.

2 You first had to start with Dr. Johnson's discovery in

3 1968 to purify that product? What do you call it, step 4, or

4 some term?

5 A I don't know the term. I am aware that Alan Johnson used

6 polyethylene glycol and AHF.

7 Q PEG?

8 A That is PEG, polyethylene glycol.

9 Q The next step to do it, and let me have a step before that.

10 Fibrinogen was part of the protein, right?

11 A Fibrinogen is part of the cryoprecipitate.

12 Q Yes. And fibrinogen is where viruses collected the most?

13 A Probably in the first step fibrinogen would entrap, I will

14 estimate, 50 percent of the viruses.

15 Q Okay. So the next step was to separate the fibrinogen from

16 the Factor VIII, wasn't it?

17 A For two reasons: One, because the virus was there and,
two,

18 because the fibrinogen is also a very unstable protein towards

19 heat.

20 Q Now, fibrinogen wasn't -- the removal of fibrinogen or the

21 separation of fibrinogen wasn't Dr. Heldebrant's discovery, was

22 it?

23 A Probably the concentration of polyethylene glycol and the
PH

24 and the salt that we use was determined by Dr. Heldebrant.

25 Q Well, those are things that you just mix like making
chicken

LAURA M. BRENNAN, Official Reporter

1 soup. Some do a little of this, some do a little of that, and

2 it was nothing new invented by Dr. Heldebrant.

3 MR. GREEN: I object unless his expertise in chicken

4 soup is established.

5 THE WITNESS: If I may explain.

6 THE COURT: I sustain the objection. That is a good

7 objection.

8 MR. RING: The best I have heard from him yet. I will

9 concede, I could not make chicken soup, okay.

10 BY MR. RING:

11 Q Now, aren't all these chemicals --

12 A These really aren't inventions, they are developments.

13 Q Developments.

14 And wasn't the separation of the fibrinogen from the

15 Factor VIII or Factor VIII from fibrinogen accomplished in
about

16 1975 or '6?

17 A Probably 1961, '62.

18 Q So that was around even before the time I am pegging, '61,

19 '62, right?

20 A Yes.

21 Q By the way, how long was it known that you can kill viruses

22 with heat?

23 A The first experiments with Stokes and Murray and Gillis

were

24 1942, '43.

25 Q And, so that was known 50 years before Alpha started with

LAURA M. BRENNAN, Official Reporter

1 its product?

2 A That was known in albumin, albumin solutions, one heated
3 would inactivate a virus.

4 Q Sixty degrees centigrade at 10 hours?

5 A That is correct.

6 Q So the real question after that, sir, was finding a way to
7 heat the Factor VIII that has now been purified, which we knew

8 about in '68, right?

9 A We knew ways of purifying it.

10 Q Okay. And removing the fibrinogen which carried off most
of

11 the virus, right, half?

12 A Quite a bit of the virus. That is an estimate.

13 Q You said half. I will accept that.

14 A There is quite a bit entrapped in the fibrinogen, yes.

15 Q And so it would either be by a stabilizer, right?

16 A To try and stabilize AHF, that's correct.

17 Q Or by doing what you say Heldebrant or what his patent
says?

18 A Yes.

19 Q That you lyophilized; so you freeze dry, right?

20 A Yes.

21 Q And create a cryoprecipitate?

22 A You freeze dried a purified AHF.

23 Q And then you suspended that in an organic solvent?

24 A That is correct.

25 Q And the pasteurization method was after removal of the

LAURA M. BRENNAN, Official Reporter

1 fibrinogen you suspended in an aqueous --

2 How do you say that?

3 A Well, aqueous.

4 Q The clear water?

5 A Aqueous.

6 Q Aqueous solution, which is like saline solution?

7 A Water with salts, yes.

8 Q With salts.

9 That is the difference, right?

10 A If I may, to clarify, when a partially purified, or I will

11 call it purified AHF at that time, was freeze dried, was

12 suspended in the organic solvent, was heated, then one filtered

13 off the organic solvent, had kind of a little bit of organic

14 solvent left which they air dried. Now, that dry powder was

15 suspended in an aqueous solution.

16 Q You considered that to be a purified product?

17 A In those times that was relatively purified. It is not
near

18 the purity that a recombinant AHF is today by 2,000-fold matter

19 of purity.

20 Q But at that time in '84, sir,?

21 A Yes.

22 Q It was what you call a purified product?

23 A Yes. The term was usually purified relative to cryo

24 essentially.

25

LAURA M. BRENNAN, Official Reporter

1 Mealey - cross by Ring

1 Q. But it was not pasteurized?

2 A. It's heat-treated.

3 Q. Sir, did you -- notwithstanding that, you came out with
the

4 dry heat product?

5 A. Yes.

6 Q. That you did not seek a patent on it?

7 A. That's correct.

8 Q. Because it was nothing different than what the others had?

9 A. Because there were people that held patents on heating
10 dried product.

11 Q. Well, if you were using something novel, that's what
12 patents are for. It would be something that one could
protect,

13 is that right?

14 A. If it was a novel finding, is that your question?

15 Q. Yes.

16 MR. GREEN: Your Honor, I am going to object on the

17 ground of foundation. I'm not sure, I think the question asks

18 for an element of patent law, which I'm not sure this witness

19 has been qualified.

20 BY MR. RING:

21 Q. You don't know what patents are issued for?

22 THE COURT: Let me rule on the objection. But I'm
23 going to have to ask you to repeat the question.

24 MR. RING: I think the --

THE COURT: What was the question?

LAURA M. BRENNAN, Official Reporter

2 Mealey - cross by Ring

1 MR. RING: I think the question was -- actually,
2 you're putting me to a hard test. I think the question was
3 whether or not --

4 MR. GREEN: The question was whether or not you have
a
5 patent because it was a novel process.

6 MR. RING: -- you had to have -- yeah, if it's a
novel
7 process.

8 THE COURT: Well, he knows whether something has to
be
9 novel before it could be qualified for a patent.

10 MR. RING: That's all I'm asking.

11 THE COURT: The answer is yes.

12 THE WITNESS: Yes, yes.

13 THE COURT: That's one of the requirements of a
14 patent, that the invention be novel, something new.

15 BY MR. RING:

16 Q. Now, sir --

17 THE COURT: That's one of the big fights that often
is
18 involved in a patent case, was this new or not. And the more
19 usual the question is, even though it's new, was it obvious in
20 light of what had gone before. If it was an obvious next step
21 to take, then it's not patentable either. So it has to be
22 something that's novel in that sense, non-obvious.

23 I don't think we need to get into that here, but that

24 is a complete lesson on the question of novelty and patent
law.

25 MR. RING: Could they get it for chicken soup, Judge?

LAURA M. BRENNAN, Official Reporter

3 Mealey - cross by Ring

1 THE COURT: And there are more reversals in patent
2 cases by reviewing courts on this question of obviousness than
3 any other question. It's often a difficult thing to
determine,
4 was it obvious or not.

5 All right.

6 BY MR. RING:

7 Q. Sir, I'm going to show you what has been marked Exhibit
8 529, Plaintiff's Exhibit 529.

9 MR. BERKMAN: I'm sorry, what number?

10 MR. GREEN: I'm sorry?

11 MR. RING: 529. It's the Green Cross patent.

12 MR. BERKMAN: Okay. Thank you.

13 BY MR. RING:

14 Q. Have you seen that before?

15 A. Only recently.

16 Q. By recently, was it in connection with preparation for
this

17 trial?

18 A. Yes.

19 Q. Now, look at that abstract.

20 A. Yes.

21 Q. Does that seem to be similar to the Behringwerke process?

22 A. There certainly is some similarity with the use of
23 stabilizers for neutral amino acids. I don't think, I don't
24 think the Behringwerke covered the carboxylic acids for three

25 to ten carbons. And I do notice this was issued in the United

LAURA M. BRENNAN, Official Reporter

1 States. And the patent references the Behringwerke patent.
So

2 the examiners were aware of it.

3 Q. For prior art, that's what you have to do when you seek a
4 patent, you have to show prior art so that you're not
5 infringing?

6 A. That's correct.

7 Q. Okay. So there is some change, but it's basically using
--

8 A. It's quite similar.

9 Q. Quite similar. And it shows, sir, that it was for an
10 application priority October 28, 1981, in Japan, isn't that
11 right?

12 A. Yes.

13 Q. Well, sir, the only reason for resorting to the dry heat
14 that was similar to what everyone else has was cost, wasn't
it?

15 A. It was certainly to increase the yield and to maintain at
16 least a pure product.

17 Q. Sir, did you put that on the same level of safety as your
18 wet heat process?

19 A. Yes, we did.

20 Q. You did?

21 A. Yes.

22 Q. So that even knowing that viruses were being transmitted,
23 was that also the case with your wet heat process?

24 A. The wet heat process was shown in one case to transmit a
25 hepatitis B in Factor IX.

LAURA M. BRENNAN, Official Reporter

5

Mealey - cross by Ring

1 Q. I see. So as far as safety, they were the same, your two
2 processes?

3 A. I think the dry heat was probably about the same, yes.

4 Q. About the same?

5 A. Yes.

6 Q. So and what about non A-non B transmissions, wasn't that
7 what the purpose, one of the purposes of the invention was
for?

8 A. The primary purpose was B, and the secondary purpose
9 certainly was with non A-non B.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Q Well, did your wet-heat process result in
non-A, non-B

2 viruses being transmitted?

3 A In one lot of product they did, yes.

4 Q And the real difference was in what you saved
in money,

5 isn't that true?

6 A The real difference is what we saved in AHF
activity.

7 Q Well, sir, you were questioned -- are you
telling us that

8 you didn't save any money by using the dry heat
without this

9 suspension in the organic solvent?

10 A When you increase the yield on a given amount
of plasma,

11 you can relate that to a savings of money.

12 Q And where was the yield increased, with the dry
heat?

13 A Yes.

14 Q So you save money?

15 A I say you equate that --

16 Q You saved about 40 percent of the cost of the
AHF, didn't

17 you?

18 A I think we probably dropped from a 40 percent
loss in heat

19 treating -- the wet-heat treatment to a 15 to 20
percent loss

20 in heating the dry, so we saved about 20 percent.
It's in that

21 neighborhood. That's plus or minus 5 percent.

22 Q Okay.

23 MR. RING: I don't have any more.

24 THE COURT: Any other questions?

25 MR. BARR: I just have one.

Mealey - direct by Barr

1 MR. RING: Your Honor, since this is
2 cross-examination, is he going to cross-examine him
on
3 something that he said adverse --

4 THE COURT: This is direct examination --

5 MR. RING: This is your direct?

6 THE COURT: -- by Mr. Barr. I do not
regard this as
7 cross-examination.

8 DIRECT EXAMINATION (Resumed)

9 BY MR. BARR:

10 Q Dr. Mealey, when Mr. Ring talked to you on
several

11 occasions, he used the words about viral
transmission in Factor

12 VIII, do you remember that?

13 A Yes.

14 Q The only viruses Factor VIII transmits are
hepatitis and

15 HIV, is that right?

16 A To the best of my knowledge, yes.

17 MR. BARR: Thank you, I have nothing
further.

18 THE COURT: Any other questions, Mr.
Green?

19 BY MR. GREEN:

20 Q Dr. Mealey, last evening we talked about the
letter of

21 May 3, 1983, the letter to Alpha from Petricciani
which said --

22 and I'm paraphrasing it -- or which referred to
whether or not

23 Alpha had approval to do core testing, do you
remember that?

24 A Yes.

25 Q Did this letter tell Alpha that they couldn't
-- in your

1 estimation tell Alpha they that they couldn't do
research and

2 development testing on AHF?

3 A No.

4 Q Did it say that the FDA required more data
before they

5 would approve or -- before they act in any way on
core testing?

6 A That's what it says to me, yes.

7 Q Why was it that you, as the chief of technical
operations

8 for Alpha, did not embark on a test program to test
the

9 efficacy of core testing at that time?

10 A Basically we had asked to test core and they
turned us down

11 and asked for more data. When we analyzed saying
what kind of

12 tests are we going to do to show that the removal
of core

13 antibody is effective in decreasing the
transmission of AIDS is

14 almost an impossible task. We didn't have any test
to test

15 those recipients of the product.

16 And if our -- if by removing the core
antibody was

17 not successful, we would have had to wait for
someone to come

18 down with AIDS that had received the AHF that we
made from

19 plasma that we core antibody tested. Because there
was know

20 test, we would have to wait for clinical symptoms.

It was an

21 impossible type of study protocol to do at that
time.

22 Q Sir, with regard to doing that protocol on May
3, 1983,

23 where were you in relationship to the completion of
the studies

24 on your heat-treated product?

25 A We had basically completed the surrogate
testing, the

1 in-laboratory testing, and I think we were in
probably the

2 second month of the chimpanzee testing.

3 Q So were you six or eight months from licensing
on that?

4 A Well, we hoped we were within a year of
licensing. As it

5 turned out, when we submitted our product license
application

6 in June of '83, the FDA turned it around in 7
months' time and

7 issued a license on February 4 which was astounding
to us.

8 Q Dr. Mealey, you said this morning that when you
went to

9 dry-heat process you increased the activity, is
that right?

10 A I increased the yield.

11 Q Is that the same as increasing the activity?

12 A They equate to the same thing, yes.

13 Q And that brought down the cost of producing the
AHF, is

14 that right?

15 A That's correct.

16 Q Did it bring down your price to the people who
used the

17 medicine?

18 A I really do not know that.

19 MR. GREEN: That's all I have, your
Honor.

20 RECROSS-EXAMINATION

21 BY MR. RING:

known to 22 Q The product that you finally developed also was

23 transmit HIV in at least one case?

24 MR. GREEN: Object, unless you're going
to pin this

25 down to some time.

1 BY MR. RING:

2 Q Well, you developed it in '82, '83?

3 A It was '81, '82, '83, yes.

4 Q It was sold in '84?

5 A It was sold probably around March-April of '84,
yes.

6 Q And the dry heat was developed about the same
time?

7 A Probably -- the dry heat was developed. We
went through

8 additional chimp studies, but for the dry heat
treated product

9 we challenged the chimps with non-A, non-B rather
than with B,

10 and so it probably followed by a year.

11 Q You're familiar with this -- I'm reading from
4.291,

12 "Special Communications." Have you seen that?

13 A From the title, I'm not aware of it.

14 Q When you look in the lower. That is a
recognized

15 publication in the field, isn't it?

16 A Yes, it is.

17 Q Something you keep up with?

18 A I don't routinely read the general medical
association,

19 this is what it is, but on occasions I do.

20

21

22

23

24

25

5

Laura M. Brennan, Official Reporter

1

Mealey - recross by Ring

1 Q Would you read the last paragraph that is highlighted there?

2 A The asterisk?

3 Q Yes, the lower left-hand corner.

4 MR. GREEN: What page are we on, counsel?

5 BY MR. RING:

6 Q What page is that? I will find it.

7 A Page 3435.

8 Q 3435, and what I am asking you to read is from what page?

9 A It is from that page. That is the page.

10 Mr. Ring, do I have this correct? This is the
11 paragraph you want me to read?

12 Q That is the one.

13 A Okay.

14 "Small numbers of HIV seroconversions worldwide have
15 been associated with clotting factor concentrates
16 heated in the dry state at low temperatures, 60
17 degrees, for shorter periods of time, 24 to 30 hours,
18 in Table 1.

19 Low temperature heating processes of short duration
are

20 no longer employed as a sole means of HIV
inactivation.

21 And other viral depleting processes are now being
22 used."

23 Q What is the date of that article?

24 A June 16th, 1989.

25 Q So what this article is alluding to is the time frame when

LAURA M. BRENNAN, Official Reporter

1 your product was developed, right, and used?

2 A It is alluding to it. It is published four or five years

3 after our product was in use, yes.

4 Q Now, sir, you, I take it, are familiar with the MMWRs?

5 A Yes, I am.

6 Q Well, let me show you one from March 13, and to make things

7 more difficult for me -- there it is, March 13, '87.

8 Did you, sir, as technical person at Alpha, keep up

9 with the MMWRs from the Center of Disease Control?

10 MR. GREEN: Could I have the exhibit number, counsel?

11 BY THE WITNESS:

12 A This --

13 MR. RING: Let me give him the number.

14 MS. THOMAS: 4285.

15 MR. GREEN: What is it?

16 MS. THOMAS: 4285.

17 BY MR. RING:

18 Q Right.

19 MR. BERKMAN: Your Honor, I have an objection. This
is

20 way beyond the scope of recross. I am not sure how long it is

21 going.

22 THE COURT: Overruled.

23 BY MR. RING:

24 Q Would you read that, what I have highlighted?

25 A "Earlier published reports disclosed no

seroconversions

LAURA M. BRENNAN, Official Reporter

1 among selected hemophilia patients followed up for
2 one year after beginning therapy with heat treated
3 factor concentrates.

4 However, during the past 12 months, published and
5 unpublished reports, personal communications, have
6 described several hemophilia patients who have
7 seroconverted after receipt of unscreened heat treated

8 factor concentrates. In June 1986, one U.S." --

9 MS. GOURLEY: Objection, your Honor, relevance.

10 THE COURT: Overruled.

11 MS. GOURLEY: And 407.

12 THE COURT: This --

13 MR. RING: Keep reading.

14 THE COURT: This 1989 publication, ladies and
15 gentlemen, again would obviously not have been notice to any of

16 the defendants back in 1985 or '86 or '87. This is being
17 received as proof of the fact; namely, whether or not people
18 who

18 received dry heat treated concentrate came down with AIDS.
That

19 is one of the issues in the case.

20 If dry heat treated concentrate cannot transmit AIDS,

21 that would resolve one of the issues before you. If it can,
22 that throws a different light on the matter. So this is being

23 received, not because the defendants would have known about
this

24 article back in the early '80s -- obviously it hadn't been
25 published yet -- but it is being received on the question, the

LAURA M. BRENNAN, Official Reporter

1 factual question, of whether dry heat treated concentrate can
2 transmit the HIV virus.

3 All right.

4 MR. BERKMAN: Your Honor, may I object on the grounds
5 that this also refers to wet heat treated and it doesn't
6 differentiate which dry heat treat? So I think that is an
7 unfair characterization to say this goes to the truth of
whether

8 any particular defendants dry heat versus --

9 THE COURT: I didn't say any particular defendant.

10 MR. BERKMAN: Okay.

11 THE COURT: I said whether dry heat treated
concentrate

12 can transmit the virus.

13 All right, the objection is overruled. Proceed.

14 THE WITNESS: I will repeat.

15 "Personal communications from various places have
16 described several hemophilia patients who had
17 seroconverted after receipt of unscreened heat treated
18 factor concentrates.

19 In June 1986 one U.S. manufacturer, Armour
20 Pharmaceutical Company, offered to exchange any
21 remaining heat treated Factor VIII concentrate
produced
22 from plasma, collected before the availability of a
23 test for HIV antibody with the equivalent amount of
24 antibody screening product. Similar exchanges are now

available through four other U.S. producers, Alpha

LAURA M. BRENNAN, Official Reporter

1 Therapeutics, American Red Cross, Cutter Laboratories,

2 and Hyland Laboratories."

3 Q Now, sir, both of these processes that you described
4 apparently took, what, seven months to a year to develop?

5 A No. The total time was about two and a half years.

6 Q By starting in 1981?

7 A By starting in '81, yes, getting licensed in '84.

8 Q Okay. Not the license; when you completed the work?

9 A We completed the work during the time it was being reviewed
10 at the FDA actually.

11 Q But the process that you filed the application on, the
12 Heldebrant patent application, you filed it December of '93,
13 Mr.

13 Heldebrant, Dr. Heldebrant did? It says filed December 2,
1983.

14 I guess we can accept that much.

15 A That is the pattern. I wasn't aware of the filing date.
16 I

16 know we filed the license application in June of '83.

17 Q Well, that is different from the patent?

18 A Yes, it is.

19 Q Okay. Now, sir, and so you started some time in '81?

20 MR. GREEN: Your Honor, I think at this point we are
21 getting cumulative and going beyond the scope of redirect.
22 This

22 was all gone into on the direct.

23 THE COURT: Well, the question of heat treatment was

24 gone into on redirect, dry versus wet.

25 Are you going beyond that?

LAURA M. BRENNAN, Official Reporter

6

Mealey - recross by Ring

1 MR. RING: No. I just have one other question.

2 THE COURT: All right. Overruled.

3 THE WITNESS: Mr. Ring, I think we started screening
4 for that possible method in March or April of '82.

5 BY MR. RING:

6 Q March or April of '82?

7 A Yes.

8 Q And in December of '83 you had completed the work to file
--

9 that is Dr. Heldebrant?

10 A At least filed a patent on it, yes.

11 Q Tell me, sir, from 1962 when you say that it was
established

12 you could remove or separate fibrinogen from Factor VIII, what
13 did Alpha do for those 30 years?

14 MR. GREEN: I will object.

15 BY THE WITNESS:

16 A I don't know.

17 MR. GREEN: Wait. We are outside the scope, and now
we

18 are going back and replowing the same ground.

19 THE COURT: Overruled.

20 BY MR. RING:

21 Q From '62 to '90 -- or '82 -- was that 40, 50 years now,
30?

22 A Thirty years, I think.

23 MS. GOURLEY: Twenty.

24 BY MR. RING:

25 Q What did you have by way -- it is 20 years. Thank you.

LAURA M. BRENNAN, Official Reporter

7

Mealey - recross by Ring

1 MR. GREEN: I object. Alpha didn't come into
existence

2 until 1978. So they could not have done anything before they
3 existed.

4 MR. RING: Oh, come on.

5 THE COURT: That doesn't have to do with anything that
6 they did.

7 BY MR. RING:

8 Q What did Alpha, formerly a division of Abbott, do? You
had

9 all that history there, didn't you, when you came in in '81?

10 A Yes.

11 Q What did you find by way of any work being done from '62 to
12 '81 for developing a heat treating process?

13 A I think a little before '81 Hyland was developing a heat
14 treating process.

15

16

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

1 Mealey - recross by Barr

1 Q. What did Alpha do?

2 A. Alpha was in existence from '78. They developed it three
3 years after their existence. Abbott before then did not
4 develop any heat treatment.

5 Q. Alpha was a division of Abbott that Green Cross bought in

6 '78, right?

7 A. That's correct.

8 Q. And just took over everything lock, stock, and barrel?

9 A. In essence, yes.

10 Q. Okay. So it's not like something that creeped up then.

11 And I'm asking you as far as what was done from '62 to '82
when

12 Dr. Heldebrant filed a patent application for heat treatment.

13 Nothing was done, was it?

14 A. Not to my knowledge in terms of heat treatment.

15 MR. RING: That's all I have.

16 MR. GREEN: I don't have any questions, Your Honor.

17 MR. BARR: Your Honor, I just would like a couple.

18 RECROSS-EXAMINATION

19 BY MR. BARR:

20 Q. Mr. Mealey, the exhibit that Mr. Ring asked you about,
21 4.285, that's the MMWR from March 13th. Were you here during

22 Dr. Trobisch's testimony?

23 A. I heard about 30 minutes of it, I believe.

24 Q. Okay. Do you recall this being a document that was

25 discussed at length with Dr. Trobisch?

LAURA M. BRENNAN, Official Reporter

1 A. No. I said I wasn't here for that part.

2 Q. And the March 13, '87 MMWR does not anywhere in it talk
3 about concentrates heated at 68 degrees for 72 hours, is that
4 correct?

5 A. I didn't see anything.

6 Q. Okay. And all heat treatment, whether it's dry or wet,
are
7 not generic, is that true? They are not all the same?

8 A. That's correct.

9 Q. Some of them are heated at one degree, some higher, some
10 lower, some for longer periods of time, some for other periods
11 of time, is that correct?

12 A. Yes.

13 Q. So when Mr. Ring says to you "the dry heat-treated
14 product," that covers a large spectrum of medicines, is that
15 true, a number of kinds of heat treatment?

16 A. Yes.

17 Q. Okay. Let me show you what has been marked and provided
to
18 counsel as Alpha's Exhibit 29. I just want to be certain that
19 this is identified. This is a letter to Alpha from the FDA?

20 A. Yes.

21 Q. And is this the kind of example that you told us about
22 where the FDA can tell any one of the manufacturers that are
23 defendants here exactly what to do, and if they don't do it,

24 they can seize their license and prevent them from making
25 Factor VIII?

LAURA M. BRENNAN, Official Reporter

3

Mealey - recross by Barr

1 A. Oh, yes. They have that power, yes.

2 Q. And, for instance, I'm certainly not going to go through

3 this, but they can tell you what size letters to put on your

4 labeling, is that right?

5 A. Correct. They do as a matter of fact.

6 Q. And when Mr. Ring talked to you about the idea of doing,

7 quote, testing for core antibody, the minute that goes into

8 Factor VIII that you have a license for it, you've changed the

9 manufacturing process, is that right?

10 A. That is correct.

11 Q. You have to have permission from the FDA to do that, is

12 that correct?

13 A. That is correct.

14 Q. So while you could test a unit of plasma, once you put
that

15 unit of plasma into a pool, and you're going to use it as

16 Factor VIII, you have changed the manufacturing process, is

17 that right?

18 A. That is correct.

19 MR. BARR: I have nothing further. Thank you.

20 THE COURT: All right. Any other questions?

21 JUROR BARRY: Judge, is there anything -- can you be

22 infected a little bit, or once you're infected, you're
infected

23 a whole lot?

24 THE COURT: Well, is that something you can respond

25 to, Doctor?

LAURA M. BRENNAN, Official Reporter

4 Mealey - recross by Ring

1 THE WITNESS: No. I think perhaps a medical person
2 should respond to that.

3 THE COURT: All right. Save it for the next medical
4 witness.

5 JUROR BARRY: All right.

6 THE COURT: Anything else from the jury?

7 MR. RING: Well, I have a few in light of Mr. Barr.

8 THE COURT: All right.

9 RECROSS-EXAMINATION

10 BY MR. RING:

11 Q. In the light of the juror's question, you mean that you're
12 in charge of technical operations, is that right?

13 A. That was the title.

14 Q. Doesn't that include plasmapheresis, the collection and
15 screening of --

16 A. No, indirectly. That was the plasma group. We have a
17 plasma group at Alpha.

18 Q. And you don't know whether one contaminated lot would
19 affect the rest of the pool?

20 A. I don't think that was her question.

21 MR. GREEN: Excuse me, excuse me.

22 THE COURT: I don't think that was the juror's
23 question. I think she was talking about the effect on the
24 individual who is infected.

25 MR. RING: All right.

LAURA M. BRENNAN, Official Reporter

5 Mealey - recross by Ring

1 THE COURT: That was my understanding.

2 BY THE WITNESS:

3 A. You know, I can give a --

4 MR. GREEN: Just a minute, Dr. Mealey.

5 It's also beyond the scope of what Mr. Barr asked.

6 Mr. Ring has to have the last word now.

7 (Discussion off the record.)

8 BY MR. RING:

9 Q. Now, this document, the Alpha document that Mr. Barr of
10 Cutter cross-examined you on, that had to do with labeling
11 again, didn't it?

12 A. Yes.

13 Q. Now, labeling is in the general statutes of the FDA,
right?

14 A. It is also in the specific statutes of Blood and Blood
15 Products.

16 Q. Labeling is?

17 A. Yes.

18 Q. Okay. And what they're saying, telling them here they
19 cannot use in a label, is that right?

20 A. Yes.

21 Q. No one quarrels with that. The label has to be honest and
22 accurate, right?

23 A. It also has to have pertinence and prominence and position
24 and so forth, yes.

25 Q. So this document really repudiated Alpha for using in
their

LAURA M. BRENNAN, Official Reporter

1 labels something that they had not proven to be accurate?

2 A. I didn't read it that thoroughly.

3 Q. I don't know where he was reading from, but pick it out.

4 A. Yes, it does. It requests us to put qualifying statements

5 on statements we already had on the label.

6 Q. Okay. It does not deal with testing, anticore body

7 testing, does it?

8 A. Not that one.

9 Q. And a matter of fact, they started off in that letter

10 saying, "However, no method has been shown to be totally

11 effective in removing hepatitis and other viral infectivity

12 from antihemophilic factor (Human), is that right?

13 A. Yes.

14 Q. But it is dealing with the labeling?

15 A. Yes.

16

17

18

19

20

21

22

23

24

25

Mealey - redirect by Green

1 THE COURT: Is that all?

2 MR. BARR: That's all I have.

3 THE COURT: We're going to stop. That
seems to have

4 been directed specifically to the document that Mr.
Barr

5 questioned about. You had direct, redirect,
recross, and well

6 beyond that. What do you have?

7 MR. GREEN: Well, I have the offending
language,

8 which everybody has pussyfooted around and nobody
has shown the

9 witness the language in the ad to which the FDA
objected, and I

10 would like to point that out.

11 THE COURT: All right.

12 MR. GREEN: Do you have the exhibit
before you,

13 Dr. Mealey?

14 THE WITNESS: No, I don't.

15 THE COURT: You're referring to the
exhibit that

16 Mr. Barr showed him?

17 MR. GREEN: The exhibit that counsel just
completed.

18 THE COURT: Is that the one Mr. Barr was
just talking

19 about?

20 MR. GREEN: Yes, I'm told by counsel.

21 REDIRECT EXAMINATION

22 BY MR. GREEN:

23 Q Dr. Mealey, I would like to show you the part
of this

24 exhibit which was referred to by counsel which is
the actual

25 promotional material. Prefatory to that I wanted
to ask you if

1 it's true that the FDA asked you to remove certain
language

2 from this document. Is that true?

3 A Well, I really wasn't aware of it until in
reading these

4 things this morning.

5 THE COURT: Is he going to do anything
beyond reading

6 what's on the document?

7 MR. GREEN: He is going to identify the
language, I

8 hope, which is in the lower left-hand corner of the
document,

9 which is the offending language, which has to do
with labeling

10 with regards to AIDS.

11 THE COURT: Let him read it.

12 MR. GREEN: Perhaps, your Honor, to save
time I can

13 read it.

14 BY MR. GREEN:

15 Q In the lower right-hand --

16 THE COURT: Does the FDA letter quote the
language?

17 MR. GREEN: Yes.

18 THE COURT: No, I'm talking about the FDA
letter that

19 he's already been examined about.

20 MR. GREEN: The FDA letter refers to the
language in

21 Paragraphs 1, 2, and 3.

22 THE COURT: Take another minute. If you

can't do it,

23 we're going to quit. This is really a side
question.

24 MR. BERKMAN: Your Honor, the language
speaks for

25 itself.

Abildgaard - direct by Bell

1 THE COURT: That's what I think. It has
to do with a 2 labeling question that isn't even involved in this
case.

3 MR. GREEN: It has to do, your Honor,
with an AIDS 4 warning --

5 MR. BERKMAN: Mr. Green --

6 MR. GREEN: -- of an AIDS warning which
we were asked 7 to remove from our promotional material.

8 That's all right. Fine. Thank you very
much, 9 Doctor.

10 THE COURT: All right. Thank you. You
may be 11 excused.

12 (Witness excused.)

13 THE COURT: Call your next witness.

14 MR. GREEN: Your Honor, our next witness
is 15 Dr. Charles Abildgaard.

16 MR. BELL: May we take a moment to take
this down?

17 THE COURT: You can be doing it while
we're moving 18 ahead.

19 MR. BELL: May I pass to the jury a copy
of the 20 Doctor's CV?

21 THE COURT: Yes.

22 (Witness sworn.)

23 CHARLES ABILDGAARD, DEFENDANTS' WITNESS,
DULY SWORN

24 DIRECT EXAMINATION

25 BY MR. BELL:

3

Laura M. Brennan, Official Reporter

record. 1 Q Would you please state your name for the

2 A Charles Abildgaard.

3 Q Can you spell your last name.

4 A A-b-i-l-d-g-a-a-r-d.

5 Q You're a physician practicing medicine?

6 A Yes.

you devote 7 Q Is there any particular area of specialty that

8 your attention to?

oncology. 9 A My practice has been in pediatric hematology,

10 Q Does that cover the treatment of hemophilia?

11 A Yes.

pediatric 12 Q To what age level do you treat patients in

13 hematology?

disorders like 14 A Usually up to about 16, but in some chronic

adults by 15 hemophilia, they're followed until they're young

16 pediatric hematologists.

hemophilia in 17 Q Is there a difference in the treatment of

18 adults as opposed to children?

19 A Not the specific therapy, no.

want to go 20 Q I've given the jury a copy of your CV. I don't

give us a 21 through it in great detail, but, Doctor, can you

education 22 short, brief, concise statement of your medical

23 starting with where you went to medical school?

24 A I went to medical school at Stanford
University; then I had

25 an internship at Boston City Hospital. I completed
my

1 pediatric training back at Stanford.

2 And after three years that I served in
the canal

3 zone, I came for a pediatric-hematology-oncology
fellowship

4 here to Chicago and that was at Children's Memorial
Hospital

5 and at the University of Illinois.

6 Q What is the fellowship? Can you explain to the
jury what

7 that entails and what that is?

8 A That's a training period for developing skills
and

9 expertise in various subspecialties. In my case,
that was in

10 the treatment of children with blood disorders,
leukemia, solid

11 tumors of childhood.

12 Q Did that also include hematology as it related
to

13 hemophilia?

14 A Yes.

15 Q After your fellowship, where did you next go?

16 A I was at the University of Illinois until 1968
and then

17 moved to the University of California Davis where
I remained

18 until about two months ago.

19 Q So from 1961 through 1968 you were at the
University of

20 Illinois?

21 A Yes.

22 Q What was your position at the University of Illinois?

23 A Well, I was initially an assistant professor of pediatrics,

24 and I was in charge of the hematology-oncology activity because

25 the person that I had trained with at the University of

1 Illinois -- at Children's Memorial had become the
chairman of

2 the department there.

3 Q In relation to the administrative
responsibilities of

4 caring for hemophiliacs, what position did you
occupy at the

5 University of Illinois?

6 A I supervised care of the residents and fellows
that were in

7 training with us and had some direct involvement in
care of

8 individuals with hemophilia.

9 Q When you left in 1968, were you a professor of
medicine?

10 A Professor of pediatrics.

11 Q Then where did you go?

12 A University of California, Davis.

13 Q What did you do at the University of
California, Davis?

14 A A similar position. I was professor of
pediatrics, and I

15 developed -- that was a new medical school. And I
developed

16 the hematology-oncology service there over the
years and was

17 responsible for clinical care and teaching in that
area.

18 Q Now, when you were at the University of
Illinois, in

19 addition to your clinical responsibilities or
caring for

20 patients, did you also have any research interests?

21 A Yes, I did.

22 Q What was the area of your research interest?

23 A Did you say University of Illinois?

24 Q Right.

25 A Well, there were a number of areas, but one
included

including 1 clinical treatment of individuals with hemophilia

concentrates. 2 clinical trials of the then new Factor VIII

3 Q When were those clinical trials, Doctor?

4 A From -- with one very early concentrate,
probably 1961 and

5 '62 and then probably '65 -- '64, '65, '66, with
the second

6 one that we studied while I was there.

7 Q Would it be accurate, Doctor, to say that you
were one of

8 the first physicians in the world who was working
with factor

9 concentrates in clinical trials?

10 A Well, there were people around the country and
in other

11 places, but, yes, we were among the first.

12 Q You published papers on those clinical trials?

13 A Yes.

14 Q Doctor, can you explain to the jury what a
clinical trial

15 is as it relates to the use of a new medicine?

16 A Well, in order to assure that a new medicine is
both safe

17 and effective, it has to be tried in patients who
are

18 appropriate subjects for receiving that medicine;
and in the

19 case of Factor VIII concentrate, that obviously
only applied to

20 boys with hemophilia or men with hemophilia.

21 And using that as an example, after a

material had

22 been subjected to various exacting testing for
safety and

23 purity in the laboratory by the manufacturer, then
clinical

24 trials were initiated to see, one, did the Factor
VIII activity

25 increase to the left that one would expect given
what was in

Factor VIII 1 the vial of the concentrate; and would -- did that
effective? 2 activity stop bleeding? Was the material
whatever the 3 And until the FDA is satisfied about
humans, that's 4 drug is, that it is both safe and effective in
with the 5 all required before licensure. This varies greatly
toxic side 6 kinds of drugs. There are some drugs that have
chemotherapy drugs 7 effects, and some of the initial studies in
That's a bit 8 are done to see what an individual can tolerate.
concentrate. 9 different than in the case of the Factor VIII
Illinois, 10 Q Continuing with your years at the University of
of 11 up through the time that you were at the University
hemophilia? 12 California, did you continue research pursuant to
13 A Yes.
various 14 Q Did you also continue doing clinical trials and
for 15 investigative research into new types of therapy
16 hemophiliacs?
17 A Yes, I did.
therapy have 18 Q Can you tell the jury what different types of
hemophilia? 19 developed over the years in the treatment of

20 A Well, it came a long way from the initial
concentrates
21 which were -- although they were a big step above
what I first
22 had available when I first started treating boys
with
23 hemophilia, which was just whole plasma. Over that
period of
24 time -- over the past approximately 30 years, it
progressed
25 from a moderately purified product that had great
advantages in

Abildgaard - direct by Bell

1 terms of the ease of administration to gradually
more and more

2 highly purified products and products that were
treated to

3 render them more safe.

4 When the HIV problem arose, that
obviously made a

5 great concern when it became known that this was
transmitted by

6 blood products. That was obviously a concern.
There had

7 already been a concern about hepatitis
transmission, and I

8 think that it is fortunate that there was something
already

9 going on and close to the point of availability for
clinical

10 use that did -- was effective in killing virus.

11 Although the initial heat treatment
processes for

12 concentrates weren't really as effective as they
had hoped for

13 hepatitis -- and that was what the direction of the
research

14 was initially -- it turned out to be very
fortuitous that that

15 heat treatment was effective in getting rid of HIV.

16 Now, that was -- at the time that was all
developing,

17 we didn't even know that there was HIV because the
virus had

18 not yet been identified by the time the
heat-treated process

19 was applied to the Factor VIII concentrates.

20 Moving beyond that time even more highly
purified

21 products from plasma developed where the Factor
VIII was pulled

22 out by an antibody, so-called monoclonal antibody
products, and

23 beyond that in the last several years there have
been licensed

24 two products made by recombinant techniques where
the human

25 gene for Factor VIII is put into animal cells.
These are grown

1 animal cells produced human Factor VIII, which is
then
2 purified, and in the last several years I had the
opportunity
3 of doing clinical studies with one of those
recombinant
4 products.

5 So it's come over that period of nearly
30 years

6 from -- or certainly from the time I started
treating boys with

7 hemophilia from the availability of only whole
plasma or whole

8 blood to now, at the present time, a totally pure
form of

9 Factor VIII that is not derived from blood at all.

10 Q This is a continuing development in medical
science and
11 technology?

12 A Yes.

13 Q What does the future hold for us, Doctor?

14 A Well, hopefully the future holds actual
correction of the

15 genetic defect in individuals with hemophilia by
so-called gene

16 therapy.

17 Now, I'm certainly not an expert in that
or involved

18 in that, but it's a very exciting area of research.
There have

19 actually been attempts in one kind of hemophilia to
insert the

20 gene to replace the defect. This was done in
China. This is

21 not successful yet, but there's a great deal of
effort going on

22 to be able to actually correct the defect -- if not
all the way

23 to normal, then at least to convert an individual
with severe

24 hemophilia to one with mild hemophilia, which would
greatly

25 change their life. I think it's probably some
years away,

1 although that's a big unknown.
2 The rapid development of the recombinant
Factor VIII
3 product from the time that the Factor VIII gene was
isolated
4 was remarkably fast, much faster than any of us had
5 anticipated, because that took place in a very
short span of
6 years. It may be longer, but the future does hold
the prospect
7 of actually correcting this defect.

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1

Abildgaard - direct

1 Q Doctor, the research that has given rise to these new
2 medical developments and treatment for hemophilia, that comes
3 from the funding by grants? How is that funding?

4 A Well, most of the funding for medical research in this
5 country, for basic medical research, comes from the National
6 Institutes of Health and other large foundations.

7 Some of the support for clinical evaluation of new
8 drugs, which is required for licensure, some of that support
9 comes from the companies that are producing those drugs.

10 Q And that is a very important part of the research process
11 and the development of these new advanced procedures and
12 treatments?

13 A Well, until the -- until adequate clinical studies are
done,

14 the new treatment is not available because it can't be
licensed.

15 Q Doctor, you have published quite a bit about your research,
16 haven't you?

17 A A number of papers, yes.

18 Q And I won't go through them, but I believe you have
19 somewhere in the neighborhood of 90 separate journal articles
20 and chapters you have written?

21 A Approximately.

22 Q A number of additional abstracts you have given?

23 A Yes.

24 Q In addition to your research and your clinical work with

25 hemophilia, you were also from 1983 to 1993 the chairman of

LAURA M. BRENNAN, Official Reporter

Abildgaard - direct

1 Region Nine of the Hemophilia Comprehensive Care Program.

2 Can you tell the jury what that is?

3 A About in 1976, the Maternal Child Health Division began to

4 support centers for comprehensive care of hemophilia. We were

5 one of the first 25 centers funded by that resource.

6 After the first few years of that program, the program

7 was expanded and so-called -- by a so-called regionalization.

8 In California there were already five funded comprehensive care

9 centers.

10 Now, a comprehensive care center was developed to

11 provide care by all of the kinds of specialists that were
needed

12 for the treatment of an individual with hemophilia. And that

13 might be a hematologist, an orthopedist, a physical therapist.

14 Obviously since the involvement of HIV, it has also included an

15 infectious disease specialist.

16 Region Nine that you mention actually is a federally

17 designated region in California, Nevada, Hawaii, and some of
the

18 territories in the Pacific. And so Region Nine was to

19 coordinate comprehensive care in that area.

20 There were about 10 actual comprehensive care centers

21 within California. There has been one developed in Hawaii. In

22 Nevada, although they have a hemophilia chapter that has
23 identified many patients, they have yet to develop a
24 comprehensive center.

25 But my role was to help -- I was on the chair of the

LAURA M. BRENNAN, Official Reporter

Abildgaard - direct

1 coordinating committee which involved representatives from each
2 of those centers. We had annual meetings, and it greatly
3 improved communication between the people, not only the
4 physicians, but the social workers, the nurses, all of those
5 involved in caring for individuals with hemophilia throughout
6 that region.

7 Q How many hemophiliacs came under the care of physicians
8 within your region that you were the chair of?

9 A Well, I think there was an estimate of approximately 2,000
10 individuals with hemophilia. I may be off a bit on that. And
11 I
12 can't tell you exactly how many of them received care at
13 comprehensive centers. Some of them were followed by
14 organizations like Kaiser. Some were followed in the military.

15 But we made an attempt to make the services of at
16 least
17 periodic visits to comprehensive care centers available to all
18 individuals with hemophilia in the area.

19 Q Was the comprehensive care program an advancement in the
20 care of hemophilia?

21 A I think it was a great advancement because hemophilia is a
22 rare disorder. The average physician doesn't see an individual
with hemophilia, and it certainly is not a practical effort to
try to teach every doctor everything there is to know about

23 hemophilia when he may never see such a patient.

24 The referral of individuals with hemophilia to

25 so-called comprehensive care centers where there are physicians

LAURA M. BRENNAN, Official Reporter

Abildgaard - direct

1 and other medical personnel who are familiar with the problems
2 of hemophilia, both from the standpoint of diagnosis and
3 appropriate treatment and appropriate education of the
4 individual in the family -- that has been a big part of the
5 effort of comprehensive care centers is to teach the
individuals
6 as much as possible about their own disease.

7 And I think it has been a great advance. It has been
8 looked on as really a sort of a model, and it is unique in that
9 it is really the only kind of categorical program within the
10 federal government that provides support to a specific disease.

11 Q As a result of these programs, the learning and education
12 programs as well as the comprehensive care facilities
13 themselves, did you find hemophiliacs to be knowledgeable about
14 their disease?

15 A Well, there is a great spectrum of that like there is among
16 people, but I think yes.

17 MR. RING: Judge, I think this is just hearsay.

18 THE WITNESS: Individuals with hemophilia become very
19 well informed.

20 MR. RING: There is no foundation for this.

21 THE COURT: Overruled.

22 BY MR. BELL:

23 Q Doctor, during that same time frame as well, you were also
24 the liaison representative to the Bureau of Maternal Child
25 Health. Can you explain what that was and what your functions

LAURA M. BRENNAN, Official Reporter

5

Abildgaard - direct

1 were in that capacity?

2 A Well, the Bureau of Maternal Child Health was the funding
3 agency for the comprehensive care programs, and I was asked in
4 about -- I don't remember the date -- 1987 or so to function as
5 liaison to help maintain good communications between the
6 Maternal Child Health Bureau and the hemophilia program and all
7 of the treatment center directors.

8 And in that role, I helped organize a number of
9 meetings, national meetings, for treatment center personnel.

10 Q Was that responsibility for the rendition of hemophilia
11 treatment throughout the entire United States?

12 A Well, the meetings were national meetings in maintaining
13 communication with all the treatment center personnel, yes.

14 Q Your responsibility of liaison, was that liaison on behalf
15 of the entire comprehensive care program in the United States?

16 A Yes, it was.

17 Q Doctor, also from 1971 through 1985 with a couple of small
18 breaks in there, you were on the Medical and Scientific
Advisory

19 Council of the National Hemophilia Foundation, right?

20 A Yes.

21 Q Can you explain to the jury what that was, and I think the
22 jury has heard it referred to before as MASAC?

23 A Well, MASAC was an advisory committee made up of physicians

24 that were representations from social service and nursing and
I

25 believe mental health in the form of a psychiatrist.

LAURA M. BRENNAN, Official Reporter

Abildgaard - direct

1 This was a representation from physicians around the
2 country who were involved with hemophilia care, who were
3 supposedly experts in hemophilia care.

4 The purpose of that council was to provide medical and
5 scientific advice to the National Hemophilia Foundation, which
6 is a lay organization started by family members, parents and
7 individuals with hemophilia to promote education and develop
8 support for the care of hemophilia.

9 Q As part of your responsibility on MASAC --

10 First of all, were you paid to be on MASAC?

11 A No, that is a voluntary position.

12 Q So all of the physicians, scientists, psychiatrists, social
13 workers, that served for MASAC were unpaid volunteers?

14 A That is correct.

15 Q And the purpose --

16 What was the purpose of you actually being on MASAC?

17 A The purpose was to review -- to respond to questions
18 actually that the board of the National Hemophilia Foundation

19 had, to review educational activities, to maintain
communication

20 with all of the people treating hemophilia throughout the
21 country.

22 Basically it was to promote better care for
hemophilia.

23 Q In promoting that better care and in communicating with all

24 the treaters, did MASAC also advise as to appropriate treatment

25 or recommended treatment for hemophilia?

LAURA M. BRENNAN, Official Reporter

1 A They did from time to time, yes.

2 Q And as part of the educational programs of the National
3 Hemophilia Foundation, did they also send out not only to
4 doctors, physicians, treating hemophilia, but also to chapters

5 and persons with hemophilia, various pieces of information
about

6 the disease and developments?

7 A Yes, they did.

8 Q Now, Doctor, I want to take you to the middle of 1982, July

9 of 1982, when the CDC first released information about three
10 hemophiliacs coming down with what then were called
11 opportunistic infections.

12 Do you know if the National Hemophilia Foundation was
13 aware of that event?

14 A Yes, they were.

15 Q And did the National Hemophilia Foundation at some time
16 thereabouts send out a bulletin to all patients or chapters
17 advising about these three individuals who were hemophiliacs
who
18 had come down with opportunistic infections?

19 A Yes, they sent a brief communication which went to the
20 chapters. They didn't mail directly to all of the patients in
21 the country, but it was up to the chapters to get this
22 information disseminated to their membership.

23 Q And, Doctor, do you have that in front of you? I believe
it

24 is Alpha Exhibit Number 2.

25 A Yes, I do.

LAURA M. BRENNAN, Official Reporter

1 Q Have you seen this document before?

2 A Yes.

3 Q And is this the type of document that the National
4 Hemophilia Foundation was sending out to patients in or about
5 that time?

6 A Yes. This is actually the first one of this particular
7 type.

8 Q What was it that this first bulletin did?

9 A Well, the first bulletin was to make everyone aware of
these
10 -- of the report in the MMWR of three individuals with
11 hemophilia who appeared to have developed what was being -- I
am
12 not even sure if it was being referred to as AIDS at that time,
13 but they had developed the same type of opportunistic
infections
14 and had died, that had -- that were being reported in much
15 larger numbers in other segments of the population.

16 Q Now, this document, Alpha Exhibit 2, is dated July 14th of
17 1982, isn't it?

18 A Yes.

19 Q And the MMWR was actually published on July 16th.

20 Was there a relationship or liaison between the CDC
and

21 NHF as far as communicating information back and forth about
22 hemophilia?

23 A Yes.

24 Q And who was it that was involved in that communication?

25 A Well, the NHF medical directors and other administrative

LAURA M. BRENNAN, Official Reporter

1 leaders I think were in direct communication with people from
2 the CDC.

3 The one that I knew over the years who had the major
4 responsibility for that communication from the CDC was Dr.
Bruce
5 Evatt.

6 Q So the National Hemophilia Foundation was disseminating
7 information rather quickly?

8 A Yes.

9 Q Now, did you thereafter receive a letter in context with
10 your affiliation, of your involvement as a treater of
hemophilia

11 from the National Hemophilia Foundation, with regard to what
was

12 then just known as opportunistic infections in these three
13 hemophiliacs?

14 A Yes, I did.

15 Q Who did you receive that letter from?

16 A That was a letter from Dr. Aledort.

17 Q What did that letter say?

18 A It -- I have a copy here. Do you want me to read that or
19 just --

20 Q That is exhibit -- Alpha Exhibit Number 43. Just
generally,

21 Doctor, what was it that that letter said?

22 A Well, this was to alert physicians at treatment centers of
23 the occurrence and the report in the MMWR of three cases of

24 pneumocystis pneumonia in individuals with hemophilia, just to

25 make that awareness, and also with the request to distribute

LAURA M. BRENNAN, Official Reporter

10

Abildgaard - direct

1 this information to other physicians and providers of care to
2 people with hemophilia.

3 Q So, Doctor, is this all part of the information exchange
4 that was going on between the National Hemophilia Foundation,
5 the Medical and Scientific Advisory Council, the physicians who
6 were treating hemophiliacs and hemophiliacs themselves, the
7 chapters and the various governmental agencies, such as the
8 CDC?

8 A Yes. There had been an information exchange established
9 prior to the -- this particular problem, which was to
10 communicate and exchange new information about hemophilia
11 treatment to those involved in that.

12 Q Now, those various bulletins and alerts continued for quite
13 some time, and I believe they have all been previously marked
14 by
15 Mr. Barr as -- I don't recall the exact exhibit number.

15 Later on, toward the end of the year, some more
16 information began to be developed, and in January was there a
17 meeting of MASAC?

18 A Yes, there was.

19 Q January 14th of 1983?

20 A Yes.

21 Q And I believe you have up there the recommendations of
22 MASAC

22 from that?

23 A Yes.

24 Q Identified as Alpha Exhibit Number 17?

25 A Yes.

LAURA M. BRENNAN, Official Reporter

11

Abildgaard - direct

1 Q Is that a copy of the actual recommendations from the
2 Medical and Scientific Advisory Council that you were a member
3 of?

4 A Yes, it is.

5 Q What were the recommendations at that point in time to
6 prevent AIDS in persons with hemophilia?

7 Let me do it this way, Doctor. Was there any change
or

8 any recommendation that established patients who were severe
9 hemophilia A patients, deficient in Factor VIII, to change
their
10 use of clotting factor concentrates?

11 A No. There was not a recommendation for individuals who had
12 been treated extensively to do anything different at that time.

13 Q Stephen Poole would come under the heading of that type of
14 patient, wouldn't he?

15 A Yes.

16 Q There were some recommendations for changes to newborn
17 infants, newborns and infants under the age of four?

18 A Yes.

19 Q And there was also a recommendation with regard to the use
20 of DDAVP. What is that, Doctor?

21 A DDAVP is a drug that has a number of uses. It is called a
22 synthetic vasopressin, and what that means -- it is something

23 that -- it was used in relation to hemophilia because it
24 stimulated release of Factor VIII.

25 It also benefited individuals with another bleeding

LAURA M. BRENNAN, Official Reporter

1 disorder, von Willebrand's disease. But it stimulated release
2 of Factor VIII in a patient with mild hemophilia, and if they
3 had at least 5 percent or more, it might increase the level to
4 10, 15 percent and might allow them to be treated with that
5 rather than needing replacement therapy with a blood product or
6 cryoprecipitate.

7 Q At the time that this recommendation was made in January of
8 1983, was DDAVP licensed for use in the United States?

9 A No, it wasn't.

10 Q Do you know when it became licensed?

11 A I believe it was about April of that year.

12 Q So you could not use it until April of that year?

13 A No, and that had been sort of a frustration for me.

14 Q Had DDAVP been around for quite some time?

15 A DDAVP, I don't know the exact year, but it had been
16 available and studied extensively and used extensively in
Europe

17 for several years.

18 Q Do you know why it was not licensed in the United States at
19 the time this recommendation was made?

20 A Well, there are many examples like this of drugs that are
21 developed in other countries, but the FDA requires specific
22 clinical studies and sometimes even more extensive studies to
be

23 repeated or done in the U.S. before they agree to license a
24 product like that.

25

LAURA M. BRENNAN, Official Reporter

1

Abildgaard - direct

1 Q. Can you give the jury an example of why that's true?

2 A. Well, probably the best known example that justifies some

3 of the sometimes what seem to be frustrating delays would be

4 the case of Thalidomide, which was a drug that was developed
in

5 Germany for women to combat nausea in pregnancy and led to a

6 terrible disaster with many, many children being born without

7 limbs with serious congenital abnormalities.

8 That drug, that kind of disaster was diverted and

9 avoided in this country as a result of the FDA's very
stringent

10 requirement for further study. I think that's probably the

11 best example that justifies all of the extra repeating of

12 studies.

13 Q. Now, Doctor, at that January 14th meeting, there were also

14 some recommendations to the industry, the fractionators of

15 plasma, weren't there?

16 A. Yes.

17 Q. And, Doctor, during the time that you were involved in

18 MASAC, did you form an opinion as to the response that the

19 industry had to the recommendations made by the medical and

20 scientific advisory committee?

21 A. Well, my recollection from attending that meeting was that

22 the industry representatives were very responsive, willing to

23 follow any recommendation that was an appropriate

24 recommendation that could help the situation.

25 The problem was it was still a very big mystery, and

LAURA M. BRENNAN, Official Reporter

1 recommendations that were made were made with just the limited
2 information that was available at that time. But in regard to
3 responsiveness, yes, I believe they were quite responsive.

4 Q. Now, Doctor, all of those recommendations were sent out in
5 another patient alert, chapter advisory, and medical bulletin
6 on January 17th. Do you have that in front of you there?

7 A. Yes.

8 Q. That's Alpha Exhibit No. 48?

9 A. Yes.

10 Q. I believe attached to this were copies of the
11 recommendations that we have just spoken about.

12 By January 17th now of 1983, how many medical
13 bulletins had gone out on the subject of AIDS and hemophilia?

14 A. Well, this was labeled as the fifth medical bulletin and
15 the sixth chapter advisory.

16 Q. And included in this was a press release with regard to
17 hemophilia and AIDS?

18 A. Yes.

19 Q. And it talked about what had happened at the January 14th
20 meeting?

21 A. Yes.

22 Q. Now, Doctor, in the very bottom, it's rather small print,
23 but it talks about the Hemophilia Information Exchange?

24 A. Yes.

25 Q. And I take it that what that is is the actual providing of

LAURA M. BRENNAN, Official Reporter

1 information to these news bulletins and patient alerts and
2 chapter advisories and the medical bulletins?

3 A. Yes.

4 Q. Can you read what that says down at the bottom for the
5 jury?

6 A. It says, "The Hemophilia Information Exchange is made
7 possible with funding from the Office of Maternal And child
8 Health of the United States Department of Health and Human
9 Services.

10 Q. So this was again part of the cooperative program between
11 the United States government, the Centers for Disease Control,
12 the FDA, and the National Hemophilia Foundation to disseminate
13 all of the developing information about AIDS and hemophilia to
14 not only the physicians treating hemophilia but also the
15 chapters and the patients themselves?

16 A. Yes.

17 Q. Now, Doctor, continuing on with this program of education
18 and information, did the National Hemophilia Foundation send
19 out a pamphlet of questions and answers about AIDS and
20 hemophilia for the benefit of hemophiliacs themselves?

21 A. Yes.

22 Q. And I believe that's Exhibit No. 50, Alpha's 50. It's
23 dated August 23rd of 1983?

24 A. Right.

25 Q. How did this document come into being, Doctor?

LAURA M. BRENNAN, Official Reporter

1 A. Well, I wasn't involved in the development, but I believe
2 that this was a result of interaction between people at NHF
and
3 the CDC to try to make available as much as was known. There
4 were obviously not all the answers at that point.

5 Q. If you look on the last page, Doctor, page 6.

6 A. Yes.

7 Q. At the bottom there, can you read that acknowledgment?

8 A. "This brochure was compiled and published through a
9 cooperative effort between the Centers for Disease Control and
10 the National Hemophilia Foundation. Special appreciation is
11 extended to NHF's medical and scientific advisory counsel and
12 NHF's medical co-directors for their expert" -- this is
blurred

13 here -- "advice in the preparation of this material. In
14 addition, we appreciate the ongoing advice and support from
15 treatment centers across the United States and other medical
16 experts in the field of hemophilia."

17 Q. And this document as well, Doctor, was also funded by the
18 United States government?

19 A. That's correct.

20 Q. Now, as far as this cooperative effort with the CDC, is
21 that your understanding of what Bruce Evatt and others at CDC
22 were doing with NHF as far as providing what to them was the

23 best available information?

24 A. Yes.

25 Q. Doctor, what is this document?

LAURA M. BRENNAN, Official Reporter

1 A. It's a series of questions and answers related to AIDS.

2 Q. We'll be getting a copy of this to the jury afterwards,
but

3 perhaps we'll just pull out a couple of very short ones.

4 Doctor, on page 3, under a heading "What To Do," can

5 you read that question and answer?

6 A. "Question: Should the hemophiliac change or stop
treatment

7 with Factor VIII or Factor IX?

8 "Answer: Hemophiliacs are urged not to withhold

9 treatment if it is medically indicated. At the present time,

10 there is no specific evidence to warrant changing the use of

11 Factor VIII or Factor IX. By all means, do not modify

12 treatment on your own. If further treatment is withheld,

13 complications will result that can lead to a crippling and
life

14 threatening hemorrhage. These complications will require an

15 increased use of factor. Any questions and/or concerns you
may

16 have over this issue should be directed, be discussed with
your

17 physician or treatment center."

18 Q. Doctor, from your own understanding and experience, was

19 that, in fact, the best available information on that subject

20 at that time?

21 A. I believe it was.

22 Q. Doctor, can you read the next question and answer?

23 A. "Question: Do heat-treated products offer protection from

24 AIDS?

25 "Answer: It is not known if the heat treatment of

LAURA M. BRENNAN, Official Reporter

1 concentrates has any effect on their potential to transmit
2 AIDS. It is becoming apparent that while some viruses are
heat
3 sensitive, others are not. Further studies are needed before
4 it will be possible to determine which viruses are inactivated
5 by the heat-treated coagulation products now being offered.

6 "Moreover, at the present time it has not yet been
7 proven that AIDS is caused by a virus. NHF's medical and
8 scientific advisory counsel will continue to review new data
9 concerning heat treatment."

10 Q. And, Doctor, is that also based on your experience and
11 understanding of what was available at that point in time the
12 best knowledge that was forthcoming for the Centers for
Disease

13 Control?

14 A. Yes.

15 Q. Doctor, there came a time that NHF and the medical and
16 scientific advisory counsel finally did recommend the use of
17 heat-treated products for the treatment of hemophilia. Do you
18 recall that?

19 A. Yes.

20 Q. What was the first time they did that in writing?

21 A. Well, they made reference to -- I don't have the exact
date

22 they made reference to considering using heat-treated products

23 for some patients, some, not all. And it was, I think, in
24 October of 1984 that they recommended seriously considering
the
25 use of heat treatment, heat-treated products for all patients.

LAURA M. BRENNAN, Official Reporter

1 Q. When you say that previously NHF had recommended the use
of
2 heat-treated products in some, but not all, what category of
3 patients was it recommended for? Was that the infants?

4 A. It was recommended to be considered for young infants,
5 infants, young children, individuals who had never been
6 treated, or those that were treated very rarely.

7 Q. So for individuals like Stephen Poole, who were
established
8 severe Factor VIII deficient hemophiliacs, until the October
9 1984 recommendation, was it always recommended by the NHF and
10 MASAC that their use continue with the then not heat-treated
11 products?

12 A. Well, it was -- I would put it another way. They did not
13 make a recommendation for use across the board for all
patients
14 until October of 1984. Some individuals were treating all
15 patients prior to that. Some were treating just those in the
16 category I mentioned earlier.

17 There were concerns about heat-treated product,
18 because it was a new thing. It was an unknown as well. And
19 one of the concerns was that this might have altered the
Factor
20 VIII protein so that it would create more antibodies or
21 inhibitors. And that would not be something that would

22 necessarily be recognized immediately.

23 When an inhibitor occurs, and it occurs already in a

24 significant number of people with hemophilia, it makes their

25 treatment much more difficult. So there was concern that this

LAURA M. BRENNAN, Official Reporter

1 product might in itself create more problems.

2 And, again, it was an unknown. It was also unknown
3 as
4 to whether the heat treatment was going to benefit or prevent
5 HIV or AIDS infection, because the HIV, the human
6 immunodeficiency virus, had yet to be identified. And until
7 that was possible, and it could be tested, there was no way to
8 know for certain whether this was going to solve the problem.

9 Q. The actual recommendation is under C. Can you read the
10 first paragraph of that, Doctor?

11 A. This is from Exhibit 56?

12 Q. Exhibit 56.

13 A. "We do not yet have sufficient data of scientific nature
14 to
15 know with certainty that viral attenuated, quote, heat-treated
16 coagulation factor concentrates should now be universally
17 adopted. However, very preliminary data do suggest that the
18 HTLV-III is heat sensitive. Further, we do not know whether
19 hemophiliacs who are positive for antibody to HTLV-III have
20 been exposed to a living virus capable of causing AIDS or have
21 developed effective immunity against AIDS.

22 "Because heat-treated products appear to have no
increase in untoward effects attributable to the heat
treatment, we now recommend that centers -- that treaters
using

23 coagulation factor concentrates should strongly consider
24 changing to heat-treated products with the understanding that
25 the protection against AIDS is yet to be proven. We again
urge

LAURA M. BRENNAN, Official Reporter

1 a prospective national study of the use of these and other
2 materials in patients not previously exposed to pooled blood
3 products."

4 Q. That's all right, Doctor.

5 What number bulletin is this now?

6 A. This is medical bulletin 15, chapter advisory 20.

7 Q. And those are all bulletins and advisories relating to
AIDS

8 and hemophilia that the National Hemophilia Foundation and the

9 medical and scientific advisory counsel had been sending out

10 over it's now a year and a half, two-year period?

11 A. Yes, yes.

12 Q. And that was consistent with the efforts made by the
13 National Hemophilia Foundation to educate and fully apprise
14 people with hemophilia of the problems of AIDS?

15 A. That's correct.

16 Q. And also physicians who were treating --

17 A. Yes.

18 Q. -- patients with hemophilia?

19 MR. BELL: Your Honor, if you wanted to take a break,

20 this may be -- I'm going to another area now.

21 THE COURT: All right. We'll take a 10 minute
recess.

22 (Recess.)

23

24

LAURA M. BRENNAN, Official Reporter

Abildgaard - direct by Bell

1 (The following proceedings were had in
open court in
2 the presence and hearing of the jury:)

3 THE COURT: Please be seated.

4 DIRECT EXAMINATION (Resumed)

5 BY MR. BELL:

6 Q Doctor, let's now talk about Stephen Poole.
When was he
7 born?

8 A In 1985 -- I'm sorry. 1955.

9 Q Thank you. Shortly after he was born he was
diagnosed with
10 hemophilia?

11 A Yes, within about a year.

12 Q Do you know what brought on the diagnosis of
hemophilia,
13 what event?

14 A I believe he had an injury to his tongue and
was bleeding,
15 and that led to the diagnosis.

16 Q Was there something significant about that
bleeding that
17 gave rise to some concern?

18 A Well, because it went on for a prolonged time.

19 Q How long?

20 A Several hours.

21 Q Is that a concern if you bite your tongue and
it goes on
22 bleeding for a number of hours like that?

23 A Lots of children bite their tongues, and

although --

24 depending on how deep they bite them and they bleed
for a

25 while. Normally it stops within actually minutes.

1

Laura M. Brennan, Official Reporter

1 Q This went on for a number of hours?

2 A Yes.

3 Q What type of hemophilia was Stephen Poole
diagnosed with?

4 A With Factor VIII deficiency, classical
hemophilia. Severe

5 classical hemophilia.

6 Q What do you mean by "severe hemophilia"? What
does that

7 term mean?

8 A It's usually defined by the level of the
clotting factor.

9 Individuals with severe hemophilia ordinarily have
less than 1

10 percent of the normal amount measurably.

11 Q What happens to people who have less than 1
percent of the

12 clotting factor? How does that mechanism work in
the body such

13 that they are disabled?

14 A Because with severe lack of this particular
clotting

15 factor, minor kinds of injuries -- sometimes not
even those

16 that would be noticed by the individual -- can lead
to bleeding

17 later, particularly bleeding in joints.

18 With more severe injuries the bleeding
starts much

19 more rapidly, and obviously with injuries that --
major

20 lacerations, or the kind of trauma that occurs with
surgery,

21 lead to prolonged bleeding.

22 Q So trauma in a young child as he's learning to
walk and

23 hits himself, he can have a bleed that continuous?

24 A Yes. And with young children it's pretty hard
to avoid all

25 of their trauma.

1 Q What about spontaneous bleeds?

2 A Well, spontaneous bleeds probably always
resulted from some

3 kind of motion or injury, but it would have been so
minimal

4 that either the parent or later on the boy wouldn't
necessarily

5 have known what he did to later result in a bleed.

6 Q When we refer to a spontaneous bleed, what is
that, Doctor?

7 A My definition would be a bleed that occurred
without

8 specific recognition of an injury.

9 Q You've reviewed all of Stephen Poole's medical
records?

10 A Yes.

11 Q That's that large box over there, those are his
medical

12 records?

13 A Yes.

14 Q Can you tell the jury what Stephen Poole's
early years were

15 like as a hemophiliac; what types of problems did
he have?

16 A Well, he had a variety of kinds of bleeding,
mostly into

17 joints; bleeding in the soft tissue; bleeding after
injuries to

18 the head; developing lumps of blood under the
scalp; and, I

19 believe, some lacerations.

20 Because he lived away from medical care
in terms of

-- usually 21 where he was treated, at the University of Illinois
22 he went there if something wasn't working locally,
which means 23 that also his treatment was usually delayed. And
in those 24 early years the treatment was primarily with plasma
in which 25 there was a great limitation as to how far one
could correct

you could 1 the clotting defect. With the safe dose of plasma,
level. 2 only get somebody up to about 20 percent of normal

life, 3 Q What does that mean in terms of a hemophiliac's

4 life-style?

5 A Well, it meant for him that he very early in
life developed

6 significant changes in his joints. He had
contractures which

7 meant that he couldn't fully extend his elbows or
his knee

8 particularly. It meant that he spent a
considerable amount of

9 time in the hospital either for treatment of
individual

10 bleeding episodes or for attempts to straighten out
joints that

11 had been contracted and deformed because of the
bleeding into

12 the joints.

13 Q I think we've heard that Mr. Poole was in the
hospital

14 approximately 90 times for his hemophilia early on
there. Is

15 that what you would expect from someone who is
suffering from

16 severe hemophilia?

17 A Well, that's what was unfortunately the case in
that time

18 frame when he was growing up, yes.

19 Q Why did you see that many hospitalizations?

20 A Well, partly because -- primarily because of

the

21 limitations of the treatment that was available.

22 When one could only treat with plasma and
plasma had

23 to be given to the hospital, first of all, that
lead to most

24 patients not coming until they had something pretty
severe,

25 which meant that the treatment was being given much
later than

1 what has developed in later years. So I think the
limitations
2 of the available therapy and the fact that most
patients were
3 treated after their bleed had really progressed
much further
4 than we would like to have had to deal with is what
created the
5 problem.

6 Q Did that also occur when cryoprecipitate was
being used?

7 A Cryoprecipitate allowed one to achieve much
higher levels
8 because you could give more cryoprecipitate and get
a higher
9 concentration of Factor VIII in the patient. It
still
10 primarily was given at hospitals or emergency rooms
because it
11 had to be maintained frozen. It was somewhat
cumbersome to
12 use, but it was a big advance because it allowed
achieving a
13 higher level in the individual.

14 Q Was there still a delay in treatment that was
seen in
15 hemophiliacs?

16 A Well, the delay in treatment still occurred
until really
17 the development of concentrates that could be given
more
18 rapidly and primarily the development of home
treatment which
19 allowed the patients to be treated almost as soon
as they could

20 recognize a bleed.

Poole, in 21 Q Doctor to put it in the context of Stephen

22 reviewing his records of his early treatment with
plasma or

23 cryoprecipitate, did you find that he would come to
the

24 hospital where the bleeds had already been in
progress for

25 considerable amounts of time?

1 A Most of the ones that I reviewed certainly
sounded like the

2 bleed was well-established before it even was
treated including

3 several hospitalizations where he received
cryoprecipitate.

4 Q What does that mean in the context of damage to
the joints

5 or the various organs?

6 A Well, the aim as the possibility of early
therapy developed

7 both the treating physicians and the patients sort
of had to

8 learn that if you could treat promptly, get the
level up high,

9 that could be done first as an outpatient; and then
it evolved

10 into the patients being able to be treated in their
own homes.

11 And it was a learning process on both
sides, both for

12 those of us treating patients that we could
accomplish much

13 more, and the patient learned that by getting there
early one

14 dose might stop something and they could avoid
being

15 hospitalized for several days.

16 Q Doctor, you testified that you were one of the
instrumental

17 researchers in the area of clinical trials for
Factor VIII

18 concentrates. You did that at the University of
Illinois?

19 A Yes.

on factor 20 Q At some point in time was Stephen Poole placed

21 concentrate therapy?

22 A He was not at the University of Illinois as far
as I know.

23 He did receive Factor VIII concentrates later on in
his course.

24 Q Do you know approximately when that began?

25 A I think it began in the mid 19 -- probably
mid-to

1 early-1970s. There are some gaps in the records,
so I don't
2 think every single treatment was represented in the
medical
3 records that I reviewed, but somewhere during the
mid-1970s, he
4 was receiving concentrate.
5 Q Was concentrate a significant advance in the
treatment of
6 hemophiliacs like Stephen Poole?
7 A Well, I believe that it was. And I think as
one example
8 just in his medical records, from the point -- up
to the point
9 where he went on home treatment, which was done
with
10 concentrate and really made possible by
concentrate, he had
11 been hospitalized very, very frequently, sometimes
several
12 times a year.
13 For a period of nearly ten years, there
was no
14 evidence of any hospitalizations, and I would think
that that
15 would suggest certainly the benefit of early
treatment had kept
16 him out of the hospital.
17 Q That's early treatment with factor concentrate?
18 A Yes.
19 Q What was it about factor concentrate that
allowed this
20 early treatment?

21 A Well, it was because it was in a bottle that
could be kept

22 at home. Some products could be kept on the shelf,
some in the

23 refrigerator. This could be reconstituted by
adding fluid --

24 water back to the dry Factor VIII material, and
within minutes

25 that could be put into a syringe, injected
intravenously.

1 And it meant that children who, for
example, would

2 wake up in the morning with one of these so-called
"spontaneous

3 bleeds" could be treated and sent to school the
same day or

4 older individuals could go to work. So that was
possible

5 because such a convenient form of treatment was
then available

6 for use at home.

7 Q Now, these bleeds that hemophiliacs were
getting even with

8 Stephen Poole, were they life-threatening?

9 A Certainly some were life-threatening.

10 Q What types of bleeds would be life-threatening?

11 A The most serious concern and probably the most
12 life-threatening bleed is one that's intracranial,
within the

13 skull affecting the brain; but other types of
bleeding can be

14 equally life-threatening.

15 Very large bleeds into the abdominal
cavity and into

16 the back part of it which might be hard to
recognize or detect

17 early. Those certainly are life-threatening, and
uncontrolled

18 bleeding externally obviously is life-threatening
just from

19 blood loss.

20 Q We heard before that one of the benefits of
home care or of

21 factor concentrates in early treatment was that
hemophiliacs

22 could sense that they were going to have a bleed
and then treat

23 themselves. Can you explain to the jury what that
is, what

24 that means?

25 A When it became possible to treat earlier and
try to treat

Abildgaard - direct by Bell

1 in advance of a big, swollen, hot tender joint
where the
2 patient couldn't even stand up or move their
extremity, as I
3 mentioned, the physicians had to learn that you
could do better
4 than that, and the patients learned. And they had
to learn to
5 recognize at the first sign of something that they
knew if they
6 didn't do something, it was going to develop into
-- and this
7 usually involved joint bleeds.
8 And, in fact, it became -- one of the
descriptions
9 was that the patient could recognize sort of an
aura. Usually
10 they described it as "tingling" or "numbness" or
something, but
11 if you looked at that joint, examined it, you
wouldn't
12 necessarily find tenderness; you wouldn't find
swelling or
13 heat, but the patient learned that if they didn't
do something,
14 then it would develop into that. And that became
sort of a
15 goal, to have patients treat at that time with the
hope that it
16 would avoid the long-term effects of more
significant bleeding
17 into the joints repeatedly.
18
19

20

21

22

23

24

25

9

Laura M. Brennan, Official Reporter

1

Abildgaard - direct

1 Q. And that could only be accomplished with factor

2 concentrates?

3 A. Well, it certainly could be best accomplished with factor

4 concentrates.

5 Q. Now, Doctor, you've spoken about home care. How did home

6 care develop?

7 A. Well, I'll try to give you my vision of how home care

8 developed. I only really found out about it sort of after the

9 fact. But it really started by the families and one or two

10 mothers that I was personally aware of, not the physicians.

11 And very briefly, there was a woman who lived here in

12 Chicago who had three boys with hemophilia who, actually, when

13 she moved here from Texas brought her own, she was a nurse,
14 she

14 brought her supply of frozen plasma from a blood bank there.

15 She treated her boys at home. And she told several physicians
16 about this, and they wanted nothing to do with it.

17 We followed those boys at the University of Illinois.

18 We didn't know what she -- she never told us that. She taught

19 another mother who had two boys with hemophilia to be able to

20 do the same thing. And they had agreed they were going to go

21 in and tell their physicians about this. Well, the one woman

22 went to, her boys were then older and followed at Michael
23 Reese

24 Hospital, she went and told Dr. Rabner, who calmly accepted

25 what she was doing and developed it into really a study of is

this safe, is this applicable, can this be done. And he was

LAURA M. BRENNAN, Official Reporter

1 one of the first people who started allowing his patients to
2 treat themselves at home having been pushed in that direction
3 by this one mother.

4 I didn't learn until years later when I met the
mother
5 of the three boys that we had followed what she was doing. I
6 met her at a National Hemophilia meeting in Los Angeles. And
7 she knew she was going to move from Chicago and when she had
8 agreed to come and tell this to me and when her friend was
9 going to go tell Dr. Rabner, she decided since she was moving
10 she wasn't going to face up to this.

11 But she told me many years later, because she knew
12 that we had been sort of frustrated at times when one of her
13 boys would come in, and we were giving him -- he was one of
14 part of the initial studies of concentrate, and we would
15 measure his base line level, he would have three, four percent
16 Factor VIII, and we thought, well, something has gone wrong in
17 the laboratory. And she had made her boys swear that they
18 would never tell us that she was treating them at home. So
19 this was an unknown unresolved mystery until many years later
20 when she told me what she was doing.

21 That's sort of a diversion here, but that's really
how

22 home treatment got initiated, by something that the families
23 had learned they could do and the physicians then expanded and
24 developed it as a program, and this rapidly spread around the
25 country.

LAURA M. BRENNAN, Official Reporter

1 Q. The first programs or development of those programs with
2 factor concentrates, those were by Dr. Rabner?

3 A. For the home treatment program that he wrote one of the
4 first reports about, yes, that was done by Dr. Rabner. We
5 started doing home treatment on various selective numbers of
6 patients before the time I left the University of Illinois.

7 Q. Dr. Rabner is here in Chicago at Michael Reese Hospital?

8 A. He was at that time.

9 Q. And is that where Dr. Telfer worked with Dr. Rabner?

10 A. I believe she started her fellowship with him in about
11 1969. So she was involved in that early development, too.

12 Q. And when patients go on home care, is there a continuing
13 monitoring of those patients by the physician?

14 A. Yes. Patients on home care in most centers are requested,
15 they don't always comply, but they are requested usually to
16 provide a month-to-month account of what bleeds they treated,

17 what they used, how much they used, what the result was so
that

18 there -- because when they're on home treatment, they're
really

19 in control. They decide when they treat, how often they
treat.

20 If there is a question, usually they call their
21 physician, but they may not be seen at a center for periods of
22 six to 12 months. And our goal in most comprehensive care

23 centers is to maintain some overview of what's happening by
24 getting monthly reports.

25 Q. The physician still decides what to treat with, doesn't
he?

LAURA M. BRENNAN, Official Reporter

1 A. Yes.

2 Q. And Dr. Telfer in this case decided what to treat Stephen

3 Poole with?

4 A. Yes.

5 Q. Stephen Poole had somewhat of a remarkable -- well, strike

6 that.

7 What was the effect that home treatment had on
Stephen

8 Poole's clinical course of hemophilia?

9 A. Well, there is a fair gap in information, but from the
time

10 he went on to home treatment, about 1975, as I mentioned

11 before, he was not in the hospital. Prior to that he'd been
in

12 the hospital very frequently. So I think that's an indication

13 that it certainly at least kept him out of the hospital. It

14 kept him up and around. And although he continued to have

15 problems with joints that had been seriously damaged earlier
in

16 life, there was that 10 year period there where he didn't

17 require hospitalization. More than that I can't really

18 speak to.

19 Q. He finally did require hospitalization in 1985?

20 A. Yes.

21 Q. What was that hospitalization for?

22 A. I believe that that was when he went in for elective joint

23 replacement.

24 Q. Now, the joint replacement, that was a problem with his
25 knee?

LAURA M. BRENNAN, Official Reporter

1 A. Yes.

2 Q. Was that a problem which began when Stephen Poole was very
3 young?

4 A. Yes. He had had contractures of that knee in the 1960s.

5 Q. Is that a result of continuous bleeding into the joint?

6 A. Well, recurrent bleeding into the joint.

7 Q. And was part of that a result of not having early enough
8 treatment?

9 A. Well, I'm sure that the many episodes of very severe
10 bleeding into the joint, which happened because of what I said
11 earlier, we didn't have as good a treatment, people didn't
come
12 until the joints were already seriously affected, that's how
13 that joint got damaged. And then every subsequent bleed into
14 that joint just made things worse.

15 Q. By the way, Doctor, in your review of the records, did you
16 ever see whether or not Stephen Poole had hepatitis?

17 A. He was said to have -- he had hepatitis in the early 1960s
18 probably as a consequence of plasma or whole blood.

19 Q. He wasn't taking factor concentrates at that time, was he?

20 A. There weren't any.

21 Q. They weren't even developed or licensed?

22 A. Right.

23 Q. Dr. Telfer has testified that as she began to develop
24 knowledge of AIDS and hemophilia, the question of
25 cryoprecipitate came up, and she's testified that Stephen
Poole

LAURA M. BRENNAN, Official Reporter

1 was not a candidate in her mind for cryoprecipitate. Do you

2 agree with that?

3 A. If he were to have maintained the level of treatment of
4 100,000 units or so of Factor VIII every year, I don't believe
5 he was a candidate.

6 THE COURT: Excuse me, Doctor.

7 MR. RING: I think he better give us the time,
because

8 I remember specifically asking Dr. Telfer whether he was a
9 candidate, and she said that he was. Was there a different --
10 what time frame were you referring to?

11 MR. BELL: Well, I'm talking about in terms of as the
12 information about AIDS and hemophilia came out.

13 THE COURT: Well, you can go into this on
14 cross-examination.

15 MR. RING: Yes. Give me the part for cross, give me
16 the part --

17 BY MR. BELL:

18 Q. Well, Doctor, let me ask it this way first. Do you have
an
19 opinion as to as information became available about AIDS,
20 hemophilia, and blood products, on whether or not Stephen
Poole

21 was a candidate for switching over to cryoprecipitate?

22 A. You mean switching over in, say, 19 --

23 Q. 1982 or '83.

24 A. '82 or '83.

25 Q. 1982, 1983, 1984, 1985.

LAURA M. BRENNAN, Official Reporter

1 A. I don't think it would have been possible to maintain his
2 level of treatment using cryoprecipitate. And I don't think
it
3 would have made any difference even if that had been done.
But

4 I don't think he was a candidate for cryoprecipitate.

5 Q. Why wouldn't it have made a difference?

6 A. Well, in regards to HIV, I think it's much more likely
that

7 he was exposed to that years earlier.

8 Q. We'll get to that in a little bit.

9 Is there anything in the records that you were able
to
10 find that supports an opinion or your opinion that Stephen
11 Poole could not properly have been treated with
12 cryoprecipitate?

13 A. Well, his use, his average use was about 100,000 units of
14 Factor VIII a year. To use cryoprecipitate, there is only
15 about 100 units in every bag. Bags of cryoprecipitate had to
16 be kept frozen. They had to be thawed individually, pooled
17 together. It was a much more cumbersome kind of thing. And
18 although a few places in the country had attempted home
therapy

19 using cryoprecipitate, they were very few, and it was not very
20 satisfactory, because it required a special freezer that had
a
21 recorded temperature, because if it ever thawed, it was then
no

22 longer active. And in an adult who might require 10 or 20
bags

23 of cryoprecipitate for a given treatment, it was just a very

24 difficult thing to do logistically.

25 Beyond that in regard to any potential benefits from

LAURA M. BRENNAN, Official Reporter

1 theoretically being exposed to HIV, the number of donor
2 exposures and the risk for seroconversion or exposure to HIV
3 from receiving 100,000 units of Factor VIII from cryo was
4 probably no different than from that amount of concentrate,
5 because there were many, many donors involved if you get that
6 much treatment.

7 There was one hospitalization for a dental extraction
8 in 1974 when he was treated with cryoprecipitate. But it was
9 over 200 bags in a one-week period. So that would mean that
10 there was exposure from 200 individual's plasma. Now, at that
11 point, that HIV was unknown. That wasn't an issue. But it's
12 just an example that -- now, that could be done in the
hospital
13 much more readily than early treatment at home.

14 Q. So when Mr. Poole was on cryoprecipitate or on occasion,
in

15 one week's time he needed 200 separate bags of
cryoprecipitate?

16 A. That's what I counted up on that one hospitalization.

17 Q. Now, Doctor, you indicated that when we were talking about

18 1982, 1983, 1984 as to whether Stephen Poole was a candidate

19 for cryoprecipitate, you said it wouldn't have made a
20 difference anyway. Can you tell the jury what you mean by
21 that?

22 A. Well, what I mean by that is with an individual who was
23 receiving 100,000 units of Factor VIII a year for many years
in
24 advance of that time, I think he most likely had been exposed
25 to HIV before this whole condition was even known to anyone.

LAURA M. BRENNAN, Official Reporter

1 Q. Do you have an opinion as to when Stephen Poole was most
2 probably or more probably than not exposed to HIV?

3 A. Well, without having a serum sample to identify when
4 antibody first was developed in an individual, you can't say
5 specifically. But based on the larger experience in the
6 country on surveys where they were able to look back at serum
7 samples and based on his Factor VIII utilization, I would say
8 he had been exposed by early, by 1980. It could even have
been
9 earlier.

10 I don't think one can pin that down, because the
11 latent period for development of full-blown AIDS can be many,
12 many years, up to -- well, the longer this experience goes,
the
13 longer that latent period has been recognized in many
14 individuals who remain well throughout that period and don't
15 come down with what could be recognized clinically as AIDS for
16 maybe nine, ten years, even more.

17 Q. Doctor, have you followed patients that were exposed to
HIV

18 in, say, 1980 or '81 and still today do not have AIDS?

19 A. Well, we can only make an assumption as to when they were
20 exposed. But if we take it from the time we were first able
to
21 identify HIV antibody, and we had some individuals where that

22 was done before this was a licensed test as part of a research

23 study, and that would have been in 1984, and there are a
number

24 of those individuals who are well and active and have no, have

25 no evidence of AIDS by any definition to this time. And we

LAURA M. BRENNAN, Official Reporter

10

Abildgaard - direct

1 have to assume that a number of our patients like others
2 throughout the country had been exposed long before we could
3 test for an antibody.

4 So there's a big spread there. But the latent period
5 where -- and by "latent period," I mean that the individual
who
6 was infected by the virus remains entirely well until they
7 develop an opportunistic infection or have a marked effect on
8 their immune system that can be measured in a laboratory, that
9 time frame can go for eight, nine, ten years.

10 THE COURT: You've been using the word "exposed," and
11 now you've just used the word "infected." Is there a
12 difference between the two terms?

13 THE WITNESS: Probably there is. And let me retract
14 the "exposed" and say "infected," that they had virus into
15 their system.

16 THE COURT: Well, is having -- that's, I guess, what
I
17 am wondering. Well, maybe there are three situations. Is it
18 possible to be exposed without having the virus enter your
19 system, that is, for instance --

20 THE WITNESS: Well, I think --

21 THE COURT: -- would it be possible for someone to
22 have some sort of relationship with a carrier but not take the

23 virus into one's system?

24 THE WITNESS: Well, I think that would be possible.

25 But if the virus enters through whatever mechanism, if it's

LAURA M. BRENNAN, Official Reporter

11

Abildgaard - direct

1 within somebody's body, I think it's getting into semantics,
2 but if the virus enters the circulation through a blood
3 product, they are exposed. They may or may not develop an
4 infection. I mean, we know that there are individuals who
were
5 treated with the same materials that others who have to this
6 day still never become HIV positive and never developed
7 antibody, never become sick.

8 We don't understand that. There may be things about
9 individual immune systems that prevent infection. So I think
10 probably there is a raw fine point between "exposure" and
11 "infection." But once there is infection, which means that
the
12 virus is established in the body, then it can be many, many
13 years of latency before so-called AIDS develops with all of
its
14 manifestations.

15 THE COURT: And then another related question is:
16 Once infection occurs, which you define as the virus becoming
17 established, as far as we know now, does the disease always
18 follow?

19 THE WITNESS: Well, once infection -- and I would
20 probably have to define "infection" as having resulted in
21 antibody to the virus. I mean, that's the only way we can
22 document that somebody has been infected. Once they develop

23 antibody to the virus, we are still learning what the polar
24 natural course of this disease is and is going to be, because
25 there are still many people who have been HIV positive who are

LAURA M. BRENNAN, Official Reporter

12

Abildgaard - direct

1 still well many, many years later.

2 So I don't think that most people look on this as

3 absolutely 100 percent progressive fatal disease ultimately in

4 all infected individuals. We're still finding that out. This

5 whole era is only probably less than 15 years of experience

6 from beginning to where we are now.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

Abildgaard - direct

1 THE COURT: Thank you.

2 MR. BELL: Your Honor, if I may approach, perhaps we
3 can illustrate this.

4 THE COURT: All right.

5 MR. BELL: All right, Doctor, why don't you come down
6 here. It will be a little easier for everybody. You can come
7 up.

8 (Brief interruption.)

9 BY MR. BELL:

10 Q If we put this as a time line, and what you are saying is
11 some time in 1980 or before you had infection, is that correct?

12 A Yes.

13 Q So what you are saying is after that infection, you can go
14 on for a long, long period of time with no symptoms at all?

15 A Yes.

16 Q Blood tests, lymphocyte counts, white blood cell counts,
17 would those all be normal?

18 A Yes.

19 Q And then does there come a time when there is a precipitous
20 drop in one's health?

21 A Well, there may be a precipitous drop or a gradual drop.
It

22 is highly variable, but there can be a very rapid change with

23 the onset of an opportunistic infection.

24 Q In Stephen Poole, when was it that you first saw the onset
25 of an opportunistic infection, this precipitous drop in health?

LAURA M. BRENNAN, Official Reporter

1 A It was in 1986.

2 Q And that would be when he was diagnosed with pneumocystis

3 Carinii pneumonia?

4 A Yes.

5 Q Did he also have some blood counts, some T-cell counts at

6 that point in time?

7 A Yes, his -- the T-4 cells, the immune system cells, that
are

8 followed most closely and seem to be most important, the ones

9 that are affected by the virus, were 90, and normal is over
500.

10 90 is considered a very low level.

11 About a year or so ago or within the past year, a new

12 definition of AIDS was developed by the CDC; so that even in
the

13 absence of an opportunistic infection, if somebody had a T-4 or

14 a CD-4 count of less than 200, they are defined as having AIDS,

15 even if they have not been sick yet.

16 Now, a T-4 count of 90, from my experience, would

17 suggest strongly that his -- this process had been in place for

18 a long time.

19 Q And there is nothing unusual about 14 months earlier in

20 January of 1985 when he had his knee replacement that he

21 appeared to be normal as far as his white blood cell counts?

22 A That's not uncommon.

23 MR. BELL: Thank you, Doctor. I have nothing further
at

24 this time, your Honor.

25 THE COURT: Well, cross will be a while?

LAURA M. BRENNAN, Official Reporter

3

Abildgaard - direct

1 MR. RING: I think it will.

2 THE COURT: Well, let's take a lunch break then. We

3 will start again at 1:45.

4 (The trial was adjourned at 12:25 to resume at 1:45 p.m.)

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 For Armour
Pharmaceutical
2 Company: SIDLEY & AUSTIN
One First National Plaza
3 Suite 4300
Chicago, IL 60603, by
4 MS. SARA J. GOURLEY
MS. LORY A. BARSDATE
5
6 For Miles
Laboratories: JOHNSON & BELL, LTD.
7 222 North LaSalle Street
Suite 2200
8 Chicago, IL 60601, by
MS. PAMELA L. GELLEN
9
O'CONNOR, COHN, DILLON & BARR
10 151 Union Street at Sansome
Suite 551
11 San Francisco, CA 94111, by
MR. DUNCAN BARR
12
For Baxter Travenol
13 Laboratories, Inc.: ALBERT, BATES, WHITEHEAD &
MC GAUGH
14 10 South Wacker Drive
Suite 2035
15 Chicago, IL 60606, by
MR. CHARLES G. ALBERT
16
DECHERT, PRICE & RHOADS
17 4000 Bell Atlantic Tower
1717 Arch Street
18 Philadelphia, PA 19103, by
MR. RICHARD L. BERKMAN
19
20
21
22
23 COURT REPORTER: LAURA M. BRENNAN
24 219 South Dearborn Street, Room 2342
Chicago, IL 60604
25 (312) 427-4393

1

Abildgaard - direct

1 (The following proceedings were had in open court out of
the

2 presence and hearing of the jury:)

3 MR. BELL: Your Honor, just a couple of very quick
4 things. First, I would like to reopen for just a couple of
5 questions.

6 THE COURT: All right.

7 MR. BELL: The other thing is we are going to end up
8 with Dr. Abildgaard today, and we don't have another witness
9 this afternoon.

10 THE COURT: Then somebody else is going to go.

11 MR. BELL: I understand Cutter is all ready to go.

12 THE COURT: That is no problem.

13 MR. BELL: Thank you.

14 (The following proceedings were had in the presence and
15 hearing of the jury:)

16 DR. CHARLES ABILDGAARD, DEFENDANT ALPHA'S WITNESS,

17 PREVIOUSLY SWORN

18 CONTINUED DIRECT EXAMINATION

19 BY MR. BELL:

20 Q Doctor, I just have a couple more questions for you.

21 When I was asking you questions about the date of
22 infection and other questions on your opinion, you understood
23 that that was your opinion to a reasonable degree or
24 probability, more probable than not to a reasonable degree of
25 medical certainty?

LAURA M. BRENNAN, Official Reporter

1 A Yes.

2 Q Now, one of the jurors asked Dr. Mealey when he was on the
3 stand, and I think you were in the courtroom when that question
4 was asked, and perhaps you can answer it, and, that is; can you
5 get a little bit infected with HIV?

6 A No. I don't believe that is the case. If you are
infected,
7 you are infected. A little bit infected would be like a little
8 bit pregnant. It is an all or none situation.

9 Q Once you are exposed and are infected with HIV, you are
10 going to go on and get AIDS?

11 A The experience to date has been yes, that once there is
12 infection, there is progression.

13 Now, that encompasses a broad range of time, and as I
14 think I mentioned earlier this morning, since this is a
15 relatively new disease, we don't really understand the full
16 picture.

17 But basically what we know now, is yes, once you are
18 infected, though it may be years before something results that
19 one is aware of, that is all it takes to do it.

20 MR. BELL: Thank you, Doctor.

21 THE COURT: Does that answer the question?

22 A JUROR: Yes, thank you.

23 MR. RING: Thanks, Judge.

24 CROSS EXAMINATION

25 BY MR. RING:

LAURA M. BRENNAN, Official Reporter

1 Q Good afternoon, Doctor.

2 I suggested you get a good lunch. Did you do it?

3 A Yes.

4 Q Okay.

5 THE COURT: This friendly beginning may not last.

6 MR. RING: Now, you see, you went ahead and warned
them

7 and look what that does to me.

8 BY MR. RING:

9 Q Doctor, you didn't intend to convey to this jury that you
10 have the date when Steve Poole seroconverted?

11 MR. BERKMAN: Louder, Mr. Ring, please.

12 BY MR. RING:

13 Q You are not trying to tell this jury that you know the
14 date, that you can tell the date that Steve Poole
seroconverted?

15 A No, not the date.

16 Q As a matter of fact, that is impossible, isn't it?

17 A It is impossible to know the exact date.

18 Q So you are basing your opinion based on some vials of serum
19 that had been kept in some cases and later analyzed, is that
20 right?

21 A Well, when I mentioned that, that would be in reference to
22 -- that would be the only way to document a date if one had a
23 series of serum samples and you could go back and look.

24 Q Sure. So that the only way that one could tell is if you
25 had a serum sample, is that right?

LAURA M. BRENNAN, Official Reporter

1 A That would give you a better idea as to how early it
2 occurred.

3 Q Well, Doctor, when you say better idea, the only thing you
4 can testify to is statistically, is that right?

5 A Well, it is, I think, more than statistically.

6 Q Well, you have got a certain amount of people whose serum
7 had been held and years later diagnosed.

8 Now, is that right?

9 A That is true.

10 Q And that is the base of your opinion?

11 A Not in regard to this individual, no.

12 Q Well, we will get to that.

13 And, Doctor, that serum that had been kept was a
small

14 number of vials relatively speaking?

15 A You mean in regard to the total number of individuals with
16 hemophilia?

17 Q Yes.

18 A Yes, it was a relatively small sample.

19 Q Okay. Now, Doctor, you also told us on your direct
20 examination that two people can get a serum from the -- or
21 Factor VIII -- let's limit it to Factor VIII -- from the same
22 batch, and one could be infected and the other not, is that
23 right?

24 A That would appear to be a possibility.

25 Q In fact, there were cases of siblings getting the same lot,

1 one infected and the other not?

2 A Yes.

3 Q Okay. So that in the final analysis, it really gets down
to

4 the individual, doesn't it?

5 A Yes.

6 Q And like hemophiliacs, like all of us, some have an
7 endurance or built-in immunity against certain things and some
8 do not?

9 A Yes.

10 Q And the physiology of the person is very important, isn't
11 it?

12 A Yes.

13 Q Some are strong and can ward off infections, some are weak
14 and quickly succumb, is that right?

15 A In some circumstances, yes.

16 Q Well, Doctor, is the treater, if competent, in the best
17 position to know?

18 A I don't understand your question.

19 Q Well, Doctor, I will try to rephrase it.

20 You knew Dr. Telfer?

21 A Yes.

22 Q And she was at Michael Reese?

23 A Yes.

24 Q And who did she study under?

25 A She had her fellowship training with Dr. Rabner.

1 Q The one you have been talking about this morning.

2 A Yes.

3 Q You considered him a great treater?

4 A He was.

5 Q And did you look upon her any differently when she took
over

6 at his early demise?

7 A I didn't really know her then, but I met her some years
8 later.

9 Q And when you got to meet her, Doctor, she was in the same
10 specialty as you, hematology and oncology, is that right?

11 A Yes, but in medicine rather than pediatrics.

12 Q Okay. So you took care of the youngsters and she took them
13 when they got older?

14 A Yes.

15 Q Okay. But it is the same specialty although I recognize
16 there is a difference between pediatrics and us older folks.

17 But, in any event, you considered her a very able and
18 competent treater?

19 A Yes.

20 Q And, Doctor, she took care of Stephen Poole at least for
21 some years, a score of years or more until he died, is that
22 right?

23 A Yes.

24 Q And she was the physician that he went to with this problem
25 with the knee, right?

LAURA M. BRENNAN, Official Reporter

1 A Yes.

2 Q By the way, Doctor, that kind of a problem is not unusual
in

3 a person with his type of hemophilia, was it?

4 A Not for someone who grew up during the time frame that he
5 did, no.

6 Q Okay. And that takes me -- to digress for another little
7 bit. You testified that there were records that didn't show
any

8 treatment, is that right?

9 I mean, there are some missing records, which you
10 explained and I think fairly so, that just show that he wasn't
11 in for treatment?

12 A Right.

13 Q Wasn't missing records?

14 A Well, there was a period of time that there weren't any
15 medical records that I reviewed when he was on home treatment.

16 Q On Factor VIII?

17 A Yes.

18 Q That would be consistent, wouldn't it? Once you went on
19 Factor VIII, you could do it at home, and it would have some
20 great advantage, as you explained?

21 A Yes.

22 Q And that explains to you, doesn't it, that he just didn't
23 need any treatment; he was able to take care of himself at
home?

24 A Yes, that is what I indicated. He didn't require
25 hospitalization.

LAURA M. BRENNAN, Official Reporter

1 Q Yes, I know you did, and I am just reiterating.

2 And that, too, was one of the benefits of Factor
VIII,

3 right?

4 A Yes.

5 Q Now, when Dr. Telfer examined him for his surgery on
January

6 5th -- I think it was somewhere in that period -- I think he
7 came in on the 5th, he may have been operated on the 10th, but
8 that is about the area, is that right?

9 A Yes.

10 Q And she knew that he was a severe hemophiliac, right?

11 A Yes.

12 Q And she knew that he had been on Factor VIII for about 10
or

13 more years?

14 A Yes.

15 Q And she examined him, right?

16 A Yes.

17 Q And physically he was fine?

18 A Except for his joint problems.

19 Q Yes, well, that is what he was there for was to do
something

20 about that.

21 But other than that, there is nothing that she found
in

22 her examination, is that right?

23 A Right.

24 Q And, Doctor, she did what you, too, would have done, taken

25 complete blood culture; she did the CBCs?

LAURA M. BRENNAN, Official Reporter

9

Abildgaard - cross by Ring

1 A Yes.

2 Q And they were normal?

3 A Yes.

4 Q And then she even went and --

5 Lymphocytes, she checked his lymphocytes?

6 A That was part of the differential count of the white blood
7 count, yes.

8 Q But that was an important thing, was it not?

9 A That is a routine thing to do.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Abildgaard - cross by Ring

1 Q. But it is important when you have a hemophiliac on Factor

2 VIII during that period of time, wasn't it?

3 A. Well, it wasn't any more important during that period of

4 time than any other time if someone was coming in for an

5 elective operation.

6 Q. But if there was a decrease in the lymphocytes, it would

7 indicate some type of infection, wouldn't it?

8 A. That's one possibility, yes.

9 Q. And if there was any indication in all the tests that were

10 done that we talked about, Dr. Telfer as a very able treater
in

11 this field, in your field, would have checked T-cell count,

12 right?

13 A. You mean based on her physical examination?

14 Q. And the blood count.

15 A. Those don't necessarily correlate with what you are going

16 to find in a T-cell count. You do a T-cell count to do a

17 T-cell count, because it doesn't correlate with the physical

18 exam or regular blood count necessarily.

19 Q. But knowing the history of the patient and knowing what's

20 been going around about HIV and viruses, this is '85 now.

21 A. Right.

22 Q. Wouldn't that suggest to someone that suspected any

23 infection of HIV to have done a T-cell count?

24 A. If they wanted to do a specific test looking for HIV, then
25 T-cell count would have been appropriate. And at that point,

LAURA M. BRENNAN, Official Reporter

2

Abildgaard - cross by Ring

1 Stephen Poole was certainly at risk for what we knew at that
2 point in time.

3 Q. Well, when you do some surgery, Doctor, if you've got a
4 patient that may have HIV, aren't the personnel, the health
5 personnel, at risk of getting infected if there is a risk of
6 it?

7 A. Yes.

8 Q. All right. And don't you think that Dr. Poole was
9 concerned about the health professionals and the people
working
10 with her?

11 THE COURT: Dr. Telfer.

12 MR. RING: Telfer, I'm sorry. I knew you'd do more
13 than just warn him. Thanks, Judge.

14 BY THE WITNESS:

15 A. Well, I would assume that she was.

16 BY MR. RING:

17 Q. Okay. So doesn't it follow, sir, that there was nothing
18 about Stephen Poole to his treater that suggested that there
19 was any risk of him having HIV?

20 A. Well, I don't know what was going through her mind. But
21 without looking, and I don't remember the exact month that the
22 HIV antibody test became available, but it was pretty routine
23 policy once that was available to test all patients like this

24 one who had been heavily treated, test them for the HIV
25 antibody.

LAURA M. BRENNAN, Official Reporter

1 Q. Okay.

2 A. Which would have been much more specific than doing

3 T-cells.

4 Q. But in any event, as of that point in time, based on the

5 record of her treatment, it does not appear to be something

6 that was in her mind?

7 A. Right.

8 Q. Okay. And then, Doctor, so again, when you really get
down

9 to it, there were so many things that come into this picture

10 other than statistics, the health of the individual, his

11 ability to absorb infections, his ability to ward off

12 infections, just so many things as you said earlier, no one

13 really knows?

14 A. No one knows the exact date. But based on the level of

15 T-cells when he did develop the opportunistic infection, which

16 were as low as they were, it's very unlikely that that
happened

17 within a year or two. That most likely had been going on for

18 many years.

19 Q. But there is nothing that you can really tell us with any

20 reasonable certainty, is there?

21 A. Well, based on my experience following many patients who

22 have unfortunately developed this same problem and based on

23 having followed many of them for many years after we knew that

24 they were HIV positive by antibody testing and seeing them
25 remain in good health even with normal T-cell levels for many

LAURA M. BRENNAN, Official Reporter

1 years before an opportunistic infection developed, and I think
2 this has been more and more the experience, this latent period
3 is very lengthy, and all of those are what go into my opinion.

4 Q. And there also have been reports of people developing
5 full-blown AIDS within a year of infection, hasn't there?

6 A. I think that that is very, very rare in individuals with
7 hemophilia.

8 Q. But it has been reported?

9 A. Well, I can't speak for that.

10 Q. You mean you don't know?

11 A. I don't know if that's been reported specifically in
12 hemophilia, documented from the time of infection to well
13 full-blown AIDS occurred.

14 Q. Then, Doctor, you have testified to doing some clinical
15 tests?

16 A. Yes.

17 Q. On what product?

18 A. On several different products. The first one was the
19 initial Factor VIII made by Hyland called Hemofil. I did
20 clinical --

21 Q. You did that for Baxter?

22 A. Well, it wasn't Baxter then. It was Hyland.

23 Q. But it's Hyland, now Baxter?

24 A. Right.

25 Q. What else?

LAURA M. BRENNAN, Official Reporter

5

Abildgaard - cross by Ring

1 A. I did studies when the first Koate, which is a Cutter
2 product, came out. I did studies on both Autoplex and Feiba.

3 These are Factor IX concentrates made for the treatment of
4 patients with Factor VIII deficiency who develop inhibitors
5 where Factor VIII no longer works. And I also --

6 Q. What company was that for?

7 A. Well, the Autoplex was for Hyland, Baxter. Feiba is made
8 by an Austrian company called Immuno.

9 And more recently, for the last four years, I was
10 involved in clinical trials of recombinant Factor VIII made by
11 Cutter.

12 Q. Okay. And this is work that Cutter paid you for, I hope?

13 A. Cutter paid for the expenses of doing the work. They
14 didn't pay me. They paid for the handling of the specimens,
15 for doing tests by my laboratory research associate. I was
not
16 paid by Cutter.

17 Q. So they paid your laboratory research associate and paid
18 your expenses?

19 A. No, not my expenses. They paid for --

20 Q. The laboratory expenses?

21 A. They paid for the cost of doing the clinical trial.

22 Q. And is the same true with Baxter, Hyland when you did the
23 clinical tests?

24 A. No. The initial, for the initial study with Factor VIII

in

25 the 1960s, they only provided the product. There was no

LAURA M. BRENNAN, Official Reporter

1 physical support. The laboratory that I worked in with Dr.
2 Shulman at the University of Illinois was funded adequately
3 from the NIH as a research laboratory. And so that study,
also
4 the study of Koate, there was no compensation.

5 Q. All right. But nevertheless, you have in the past done
wor

6 k for them as you've described?

7 A. I participated in clinical trials, yes.

8 Q. Now, Doctor, you told us about your participation, you
told

9 us about a lot of things, but you were talking about -- well,

10 let's first get to some of the, some of the programs that
11 you're involved in. One of them is you mentioned, well, it's
a

12 government funded project. You help me, okay?

13 A. You mean the comprehensive care center?

14 Q. Yes. You mentioned the region, and then there was the
15 overall, and you were the -- what do we call it?

16 A. Liaison.

17 Q. Liaison, yeah. Would you give me that again, what it was?

18 A. I was asked for several years to function as the liaison

19 between the Office of Maternal and Child Health that
supervised

20 the Comprehensive Hemophilia Treatment Center Programs
21 throughout the country and to sort of be an interface between
22 them and the treatment directors throughout the country. And

23 in that role, I helped to organize several national meetings

24 for personnel who worked in those centers.

25

LAURA M. BRENNAN, Official Reporter

1

Abildgaard - cross by Ring

1 Q All right.

2 You are also a member of the AMA, are you?

3 A No.

4 Q You are not?

5 A No.

6 Q Good. Any local medical society?

7 A No.

8 Q So the only ones you belong --

9 Do you belong to a hemophilia society?

10 A I belong --

11 Q A medical one?

12 A I belong to various pediatric research societies. I have

13 belonged to the World Hemophilia Federation, and I don't know

14 what you are asking.

15 Q Well, I just wanted to know. You told me.

16 Now, Doctor, as liaison between -- was there a

17 national association and then these different groups?

18 A No. That was not an organization. There were many --
there

19 were over a hundred funded comprehensive care centers.

20 Q And these are funded by the government?

21 A With some -- they are funded at various levels from the

22 office of Maternal Child Health.

23 Q All right. But it is a federally funded project?

24 A To support comprehensive care.

25 Q And in your role of liaison, you set up meetings with all

LAURA M. BRENNAN, Official Reporter

1 the states for conference?

2 A That was one of the things that I did.

3 Q That was an annual meeting?

4 A No, it was -- there were three or four different meetings

5 that I was involved in. It wasn't an annual meeting.

6 Q But three or four meetings, are you talking about annually

7 or --

8 A No, there were two or three years in between some of those

9 meetings.

10 Q So these are meetings that you were instrumental in getting

11 everyone together two, three years apart maybe, is that right?

12 A And we also got together usually at the time of the annual

13 National Hemophilia Foundation meeting because those same

14 individuals went to that meeting.

15 So often there was a shorter opportunity for the

16 director of that program from the Maternal Child Health to meet

17 with the treatment center directors, and I helped facilitate

18 those meetings.

19 Q Okay. But at any rate, you had these meetings every couple

20 of years or so.

21 I take it you helped set up a program for those

22 meetings?

23 A Yes.

24 Q Okay. And on that program you determined what might be of

25 interest to the group and had speakers?

LAURA M. BRENNAN, Official Reporter

1 A Well, it wasn't up to me. It was a committee that helped

2 put together that meeting, but I --

3 Q Well, I was trying to give you more credit than you are

4 taking and that is nice of you, but, anyway, this is what goes

5 on at these type of meetings, right?

6 You put them together. There is a program presented?

7 A Right.

8 Q And, Doctor, you were also a member of the MASAC board?

9 A Yes.

10 Q Now, by the way, let me get back again to the time you were

11 doing clinical tests.

12 Did you do any work with the FDA in connection with

13 those clinical tests?

14 A Well, the clinical trials were of products that were not
yet

15 licensed.

16 As we talked this morning, you don't get something

17 licensed until it has been shown to be effective and safe.

18 Q Yes, we have heard that. I am just trying to find --

19 A The FDA monitors those clinical studies.

20 Q We know that.

21 A So indirectly, yes, I was involved, but not directly with

22 the FDA.

23 Q That is what I was getting at.

24 You never went to the FDA to present your clinical

25 trials and do anything that at the FDA for --

LAURA M. BRENNAN, Official Reporter

1 A On the occasion of Autoplex I did go to the FDA when
2 information was presented.

3 Q Okay. With someone that asked you to accompany them?

4 A Yes.

5 Q Doctor, in connection with the Factor VIII test that you
6 did

7 for Baxter and Cutter, you did not deal with the FDA, did you?

8 A No.

9 Q And so when you talked about what the FDA does and these
10 things that you discussed this morning and were just alluding
11 to
12 now, it was nothing of your own personal knowledge; it was just
13 giving us an overview of what happens?

14 A Well, it was with my personal knowledge because I know what
15 the FDA requires in regard to clinical trials and to
16 recordkeeping.

17 We have to maintain records when they are done for
18 clinical investigational purposes for several years because the
19 FDA can come and review those records for up to five years or
20 so.

21 Q Okay.

22 A So I am knowledgeable about some of the requirements in
23 relation to clinical investigation.

24 Q From what you have heard from people at the hospital, you
25 didn't go to the FDA and research this?

24 A No, but the FDA sends information to investigators that you
25 have to comply with as part of a clinical trial.

LAURA M. BRENNAN, Official Reporter

1 Q So that is what you base this testimony about the FDA on?

2 A Well, --

3 Q I am not being critical; I just want to know what you know?

4 A I didn't realize that I had testified about the FDA.

5 Q Oh, well, you did, but, in any event, you didn't intend to

6 hold yourself out as an expert on the FDA?

7 A No.

8 Q And then --

9 THE COURT: Before you leave the clinical trial
10 subject, what does a clinical trial consist of? What kind of
11 investigation?

12 THE WITNESS: Well, it depends entirely on what kind
13 of
14 material a drug is being investigated relevant to the Factor
15 VIII concentrates would amount -- because there that trial has
16 to be limited just to individuals with hemophilia.

17 It is not like you would give this to normal
18 individuals who didn't have a clotting factor deficiency. So
19 the clinical trial was to administer the material, measure, --

20 THE COURT: To people?

21 THE WITNESS: To people with hemophilia.

22 THE COURT: Yes.

23 THE WITNESS: And obtain blood samples at various
periods of time to see that the recovery in the circulation and

24 how long it lasted was what one expected and also to treat
25 episodes of bleeding to show that this material was effective

LAURA M. BRENNAN, Official Reporter

1 compared to other materials. When we first started, it had to
2 be compared to plasma.

3 THE COURT: What relationship do the clinical trials
4 have to licensing time wise?

5 THE WITNESS: Well, time wise?

6 THE COURT: Yes.

7 THE WITNESS: It is highly variable. Some clinical
8 trials go for several years.

9 THE COURT: But they always precede the licensing?

10 THE WITNESS: Yes, they always precede the licensure.

11 THE COURT: So you can do tests on people without a
12 license for the product?

13 THE WITNESS: With informed consent.

14 The patients are participating in the study. With the
15 recombinant Factor VIII that I was involved with most recently,
16 clinical trials went on for nearly four years prior to
17 licensure.

18 THE COURT: Thank you.

19 BY MR. RING:

20 Q Before I get on to the next subject, you are not a
21 virologist?

22 A No.

23 Q Nor an infectious disease specialist?

24 A No.

25 Q Doctor, your testimony this morning, I believe you said

that

LAURA M. BRENNAN, Official Reporter

1 people vary as to date of exposure and date of infection, is
2 that right?

3 A I don't remember quite how I phrased it, but there is
4 variation as to when individuals are exposed and how long it
5 takes for them to show evidence of the infection.

6 Q And I thought you said this morning also that that is why
it

7 is -- you cannot tell exactly when one became infected?

8 A Well, you can't tell exactly when someone became infected

9 without knowing the time that they first developed antibody,
and
10 even that is indirect. That doesn't tell you when they became
11 infected.

12 We do know from --

13 The only examples of where it is known when someone
14 becomes infected is when an individual got a paid blood
15 transfusion or a patient with mild hemophilia got one
treatment,

16 and then in many of those instances it was many, many years
17 before they showed any kind of indication of HIV infection or
18 specifically AIDS.

19 Q So you have to know when the exposure was, when the date of

20 infection was, and also, Doctor, to make a difference of the
21 type of pool that the Factor VIII was drawn from, wouldn't it,

22 or can you --

23 Is that an area you can talk on?

24 A Well, only to say that infection is infection, whether what

25 kind of pool it came from, whether it was from one bag of

LAURA M. BRENNAN, Official Reporter

1 cryoprecipitate or within a pool of concentrate. Infection is
2 infection.

3 Q That is more of an area for a virologist, is that right?

4 A Well, I think my experience with following the development
5 of information regarding this epidemic, particularly within the
6 hemophilia population, gives me some insight into what has
7 happened.

8 I am not a virologist and I can't answer very
9 sophisticated questions about virology.

10 Q All right. I won't put you to it.

11 But let's get to MASAC. You were on the board?

12 A Yes.

13 Q And MASAC was a voluntary board, is that right?

14 A There was -- they called it a council, yes.

15 Q Council. And it was a council that gave advice to NHF, the
16 National Hemophilia Foundation?

17 A Yes.

18 Q That you described is a lay board; that is, the board of
19 that organization?

20 A They have a board, yes.

21 Q They weren't composed of specialists?

22 A Right.

23 Q And MASAC gave advice to this lay board, and the lay board
24 sent out information, is that right?

25 A Yes.

LAURA M. BRENNAN, Official Reporter

1 Q Now, Doctor, you didn't mean to imply here this morning
that

2 there was some formal association between MASAC and the CDC,
did

3 you?

4 A I don't know what you mean by formal. There was certainly
a

5 lot of collaboration and exchange of information.

6 Q And that exchange of information is information the CDC
gave

7 out to people that they felt should have it, is that right?

8 A Right, and there was information that went both ways
because

9 the NHF sponsored surveys of what was going on in treatment
10 centers and helped feed that back to the CDC.

11 Q Well, Doctor, MASAC did not sit with the CDC and discuss
12 what was going on in person?

13 A At the meeting that was mentioned this morning, there was
an

14 individual from the CDC that met with MASAC to provide an
15 update, and that was usually the case at all MASAC meetings in

16 that period of time.

17 Q Okay. So NHF or MASAC would invite someone from the CDC to
18 give him an update?

19 A Correct.

20 Q Yes. That is certainly responsible, isn't it?

21 And then from that update, you advised, as an advisory

22 council, NHF, is that right?

23 A Yes.

24 Q Did you check what NHF sent out to its member chapters?

25 A The recommendations that were developed at MASAC meetings

LAURA M. BRENNAN, Official Reporter

10

Abildgaard - cross by Ring

1 basically -- as the way I understood that it worked -- were
2 reviewed by the NHF board and then disseminated.

3 I don't recall that there were changes. So MASAC had
4 agreed on a set of recommendations that ultimately got
5 disseminated to treatment centers and chapters.

6 Q Well, Doctor, from '82 through late '83, there was no
7 unanimity as to what was the cause and what to do about HIV,
was

8 there?

9 A That's correct because nobody knew for sure what the cause
10 was.

11 Q So, Doctor, did you then submit to the NHF both sides and
12 let them choose? There were two different views, weren't
there,

13 more than that?

14 A Well, there were many views as to what the cause of AIDS
15 was, and I don't think that on MASAC we were in a position to

16 answer that question. That was a mystery. It was unknown.

17 Our job was to try to do the best we could with what
18 information we did have.

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Abildgaard - cross by Ring

1 Q. And you didn't know if your information was accurate or
2 not?

3 A. Well, we knew that there at that point -- I don't know
4 exactly which point in time you are referring to, but --

5 Q. '82, July of '82 to December of '83, Doctor.

6 A. We knew that this was a very large problem in other
7 segments of the population, in the gay community, in
8 individuals with drug abuse. But we also knew that there had
9 only been probably less than 20 individuals with hemophilia.

10 Now, that obviously raised a lot of concern as to if
11 they indeed had the same thing, how did they get it and what
12 did that mean for the rest of the population? But we had to
13 work with what information there was. And it was a gradually
14 changing picture.

15 Q. Yeah, that's okay. But there was no real knowledge that
16 you could base any opinion that you had given, would give to
17 the NHF, is that right?

18 A. There was no documentation that this was a virus.

19 Q. Well, let's talk about that. You don't have to be a
20 virologist to know that viruses exist, right?

21 A. Right.

22 Q. And you don't have to be a virologist to know that viruses

23 could be, were heat sensitive?

24 A. Well, some are, some aren't. That's not a uniform --

25 Q. Okay. But you knew that they had been killing viruses for

LAURA M. BRENNAN, Official Reporter

2 Abildgaard - cross by Ring

1 40 or more years by heat?

2 A. Some viruses.

3 Q. Okay. And, Doctor --

4 THE COURT: Just for our information, what are some
5 examples of viruses that are not sensitive to heat?

6 THE WITNESS: I don't think I'm the one to answer
7 that

8 question. I think there is -- they may all be. I'm sure they
9 are all sensitive to enough heat.

10 THE COURT: Well, that's --

11 THE WITNESS: There's a range in spectrum. I think
12 that hepatitis B is relatively insensitive to heat compared to
13 HIV. That's the best example I could give you from my
14 perspective.

15 BY MR. RING:

16 Q. Well, Doctor, hepatitis B is a virus, isn't it?

17 A. Yes.

18 Q. Did you know that in the eighties? You didn't know it was
19 a virus?

20 A. Yes.

21 Q. Did you know that in the seventies, that hepatitis B was
22 a
23 virus?

24 A. I don't know when the actual hepatitis B virus was
25 isolated

26 and discovered. But you said in the eighties or in the

24 seventies?

25 Q. Well, first I said the eighties, and then I dropped it to

LAURA M. BRENNAN, Official Reporter

3 Abildgaard - cross by Ring

1 the seventies. Take it any way you want.

2 A. I cannot specifically recall the date of identification.

3 In fact, the first test that was ultimately used for measuring

4 antibody to hepatitis B was actually called Australia antigen

5 test.

6 Q. Yeah, you told us that this morning. I just want to get
on

7 with hepatitis then.

8 In your treating hemophiliacs, the biggest danger to

9 them prior to HIV was hepatitis B, wasn't it?

10 A. No. The biggest danger was bleeding.

11 Q. All right. If they survived the bleed, they did it what,

12 with cryoprecipitate? You had to stop the bleeding somehow?

13 A. Yes.

14 Q. Plasma, cryoprecipitate?

15 A. Yes.

16 Q. And then Factor VIII when it came along?

17 A. Right.

18 Q. All right. Well, let's assume they didn't die from the

19 bleeding. Doctor, what was the risk from the treatment?

20 A. There was almost an assumption because of how they had to

21 be treated that most individuals with hemophilia would

22 eventually be exposed to hepatitis, just as in this case there

23 was exposure to hepatitis from plasma.

24 Q. And this just became commonly accepted by the medical
25 profession and the industry, is that right?

LAURA M. BRENNAN, Official Reporter

1 A. Well, I wouldn't say it was -- it was known to be something

2 that was probably inevitable, not something that we found

3 desirable. It was, I think the best analogy I can make is with

4 the presently some of the very toxic side effects of

5 chemotherapy. But in order to treat the cancer, one has to put

6 up with some of these side effects. I think that's how many

7 people viewed hepatitis. Not that we accepted that and liked

8 it, but it was a fact of life.

9 Q. Well, at this point in time, in July of 1982, there were

10 people at the CDC including Dr. Evatt, right, that were arguing

11 that it could be a virus, isn't that right?

12 A. That AIDS could be caused by a virus?

13 Q. Yes.

14 A. Yes.

15 Q. And that certainly would have to be something that a

16 treater of hemophiliacs would have to think about knowing what

17 has been happening with hepatitis B to your patients, wouldn't

18 it?

19 A. Yes.

20 Q. And you thought about it?

21 A. Yes.

22 Q. And, Doctor, the Hemophilia Newsnotes that you talked about

23 and you introduced as Exhibit 2 through Cutter, you emphasized

24 "CDC is not advising a change in treatment regiment at this

25 time." And then "Important: Remember, CDC is not advising a

LAURA M. BRENNAN, Official Reporter

5

Abildgaard - cross by Ring

1 change in treatment regiment at this time. If there are any
2 questions, contact your physician or hemophilia treatment
3 center."

4 That's all you told them?

5 A. I didn't tell anybody that.

6 Q. Well, but the Hemophilia Newsnotes did, didn't they?

7 A. Right. But I wasn't responsible for the Hemophilia
8 Newsnotes.

9 Q. And you didn't agree with this?

10 A. I didn't say I didn't agree with it. I said I wasn't
party

11 to disseminating that information. That came from NHF.

12 Q. But isn't this something that would suggest to lay people
13 that it's all right to continue on with the Factor VIII?

14 A. At that point, my understanding as to what led to that
15 communication from NHF was that they wanted to avoid people
not

16 treating serious bleeding for fear, because it was unknown.

17 Nobody knew.

18 Q. So you thought people would just lay there and bleed to
19 death, and they wanted to stop that?

20 A. Well, there was a great deal of anxiety as AIDS developed,
21 and --

22 Q. Well, when you treated hemophilia --

23 THE COURT: Mr. Ring, I don't think he was done with
24 his answer.

MR. RING: I'm sorry.

LAURA M. BRENNAN, Official Reporter

6 Abildgaard - cross by Ring

1 THE COURT: Go ahead.

2 THE WITNESS: No. I'm finished.

3 THE COURT: Were you finished?

4 BY MR. RING:

5 Q. Well, when you treated hemophiliacs before the
manufactured

6 product that is Factor VIII, you used cryoprecipitate, didn't

7 you?

8 A. I actually used relatively little cryoprecipitate. I used

9 plasma. But because I was involved in the very early
10 development of Factor VIII concentrate, I pretty much moved
11 into that.

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

Abildgaard - recross by Ring

1 Q So you had little experience with
cryoprecipitate?

2 A I had experience with cryoprecipitate for mild
patients,

3 for individuals with Von Willibrant's disease.

4 Q And the cryoprecipitate at the hospital came
from donors,

5 volunteers?

6 A It depended on where it was collected and which
blood bank

7 was making it, but most voluntary blood banks, yes,
that would

8 be the case.

9 Q And, Doctor, for the kind of people you were
treating, as

10 you put it, they could have gone back to
cryoprecipitate,

11 couldn't they?

12 A What are you talking about, at what point in
time?

13 Q In 1982 or '83?

14 A It would have been very difficult if we had --
if there had

15 been a move to switch everybody back to
cryoprecipitate because

16 blood banks were no longer set up to make that much

17 cryoprecipitate.

18 And the other concern about that is that
although

19 individual bags of cryoprecipitate come from
individuals,

20 patients who use many, many banks still get exposed
to many,

21 many donors. And in those days there was no way to
screen out

22 the donors, which meant that if someone got a bag
of

23 cryoprecipitate from an HIV positive donor, they
most certainly

24 would be overwhelmingly infected.

25 Q So, Doctor, the size of the pool, I take it, is
very

1 important?

2 A Well, that works both ways. The size of the
pool may

3 totally dilute out one infected donor; whereas, one
infected

4 donor given this cryoprecipitate would be a very
potent dose.

5 Q Doctor, not being a virologist can you really
sit here and

6 tell us under oath that one pool -- one donation
from somebody

7 that had become infected and was at the height of
his or her

8 infection could not affect the whole pool?

9 A I don't know that.

10 Q Okay. So let's take the things you do know.

11 MR. BELL: Objection, your Honor.

12 THE COURT: Well, we'll strike the --

13 MR. RING: Remark --

14 THE COURT: We'll strike the introduction
to the next

15 question.

16 MR. RING: Okay.

17 BY MR. RING:

18 Q Doctor, as things went on, the CDC came up with
more

19 information, is that right?

20 A Yes.

21 Q And there became more of a -- I don't know what
to call it,

22 but more of a trend in thinking that this was a
virus, is that

23 right?

24 A Yes.

25 Q Would that have been true in 1983, a year after
the CDC's

1 announcement of the three hemophiliacs?

2 A Well, by January -- I don't know when you mean
in 1983. By

3 January 1983 there was still a very small number of
patients

4 with hemophilia infected with AIDS.

5 Q Well, you say "a small number." Based on the
population of

6 the country and the small number of hemophiliacs,
it was no

7 longer a small number was it?

8 A I think it was only about 15 out of 10 or
15,000.

9 Q And the country was at about 230 million, 235,
or whatever?

10 A Is that a question?

11 Q Yes.

12 A Roughly.

13 Q And at that time, aside from hemophiliacs, the
report among

14 the population in general was about 1100, is that
right?

15 A I don't recall that number, no.

16 Q Well, I think it may have been Dr. McAuley that
testified

17 to that. I keep getting lost as to who says what.

18 But in any event, if you take 1100 to 230
million and

19 15 of 20,000 -- is that what you said?

20 A I said 10 to 15, in that range.

21 Q 10 to 15,000 percentage-wise the hemophiliacs
had a pretty

22 big number, didn't they?

23 A Yes.

24 Q Doctor, didn't you as a treater start being
concerned about

25 maybe it's a virus and we ought to do something?

1 A Well, many of us had concern. No one had an
answer as to

2 what to do.

3 Q Okay. On October 13, 1984, was there more
increasing

4 evidence that this could be a virus?

5 A Yes.

6 Q By that time it was pretty much certain, wasn't
it?

7 A In many people's minds, yes.

8 Q Yours, too?

9 A I was very concerned that it was a virus.

10 Q But you hadn't made up your mind yet, I take
it, from your

11 answer?

12 A Making up one's mind doesn't solve the problem.
Until the

13 virus was isolated and proven, you just didn't
know.

14 Q So you as a treater of hemophiliacs, and
particularly a

15 pediatric treater, children, you felt that the
country should

16 just go along and wait until it's proven and sealed
before

17 steps be taken?

18 A I don't know what you mean by "steps be taken."

19 Q Doctor, you agreed earlier that you knew that
viruses --

20 some viruses, if not all, were sensitive to heat,
and that had

21 been used to kill viruses for 40 years?

22 A I also knew that Factor VIII was what we had
considered --

23 working with it in the laboratory -- extremely
labile. And, in

24 fact, when it came about that heat treatment could
be applied

25 to Factor VIII concentrate, it was a big surprise,
and, I

1 think, a very fortunate circumstance.

2 Q That was in 1983, wasn't it?

3 A Right.

4 Q But, Doctor, these children were getting
infected long

5 before that, weren't they?

6 A Some were.

7 Q And, yet, as late as October 13, 1984, the
hemophilia

8 foundation, this group of laypeople that you
advised on

9 information that had been coming from the CDC, in
the

10 exhibit -- it's 255.

11 MR. BERKMAN: Alpha's Exhibit 56.

12 MR. RING: Well, the reason I'm not using
theirs is,

13 true to form, it's too difficult to read. So we're
using the

14 bigger one, the same one. Yes, it's 56.

15 BY MR. RING:

16 Q Doctor, as late as October of '84, when it was
clear that

17 it was a virus, the recommendation is -- it's
recommended that

18 "cryoprecipitate be used to treat patients in the
following

19 group with the recognition that there are some
circumstances

20 where viral attenuated (heat-treated) Factor VIII
concentrate

21 may be appropriate therapy."

22 So it was October of '84 when the
hemophilia

23 foundation, this group of laypeople that you
advised, report to

24 their chapters -- you don't know who the chapters
were, do you?

25 A I know some of them.

1 Q We didn't have chapters all over, did we?

2 A Not in every community.

3 Q So whoever had a chapter was getting some
information from

4 this laygroup, and this is what they were told.
Also in that

5 same article -- or this same update. You call them
updates.

6 This is the "Hemophilia," it's not MASAC,
right?

7 A Right.

8 Q "Should consider changing to heat-treated
products with the

9 understanding that the protection against AIDS is
yet to be

10 proven," is that right?

11 A That's what that says, yes.

12 Q So on the one hand you suggest they use it; and
on the

13 other hand you tell them well, we're not so sure
that that's

14 any good, is that it?

15 A Well, that's what was our dilemma. That's what
we were

16 faced with. Actually, there had been an earlier
recommendation

17 that heat-treated products be considered for young
patients. I

18 believe by October 1984 the recommendation was to
strongly

19 consider using it for all patients.

20 Q And that's because you figured that you had
already

21 infected everybody before that, is that it?

22 A Well, when heat treatment first came --
heat-treated

23 product first became available, you could not have
put

24 everybody on it if you wanted to because there was
a limited

25 amount available.

1 In our own clinic, we started young
children on
2 heat-treated material when it became licensed.
When a bigger
3 supply became available, we put every one on it;
and, again, we
4 were doing that trying to give the patient the
benefit of the
5 doubt that this heat treatment would do something
to whatever
6 virus was in there. We didn't know that.
7 Q You didn't know that, but you sure suspected
it, didn't
8 you, that it was a virus?
9 A We suspected that it was a virus, but there was
no way to
10 know if it was a virus that it would be sensitive
to heat.
11 Q You knew about non-A, non-B viruses, right?
12 A We knew that there were such viruses.
13 Q You knew it hadn't been identified yet?
14 A Correct.
15 Q But you were -- the industry was heat treating
against that
16 virus not knowing what its identity was, is that
right?
17 A They didn't have the identity, but they could
monitor it by
18 its affect on experimental animals or ultimately in
people.
19 Q So they would inoculate people with the virus,
and that's
20 the way they found out?

21 A No, they --

22 Q I hope not.

23 A No.

24 Q In any event, the only point I'm trying to
make, Doctor,

25 prudent care doesn't permit waiting till the house
burned

1 down.

2 When you have something of this
magnitude, Doctor, as

3 a treater, you want to do what you can for your
patients, don't

4 you?

5 A That's why I put children on heat-treated
product when it

6 was available hoping that it was going to be safer.

7 Q But you didn't warn the general community of
hemophiliacs

8 that it appears to you to be a virus and that if
they can find

9 something other than Factor VIII they should
consider it?

10 A We discussed what was known at the time with
our patients

11 when we saw them in a continual progressive
fashion. We tried

12 to keep our patients informed with as much as we
knew.

13 Q Doctor, not to beat it into the ground, but to
late '84

14 there were still some people that wouldn't agree it
was a

15 virus, is that right?

16 A There probably were.

17 Q And some people that did, such as you?

18 A Right.

19 Q Right?

20 A Correct.

21 Q The bulletin -- the word that came from NHF
that you

22 advised continued to urge people to continue with
Factor VIII,

23 is that right?

24 A I believe that's the one that recommended using
heat

25 treated Factor VIII.

1 Q But even before that the NHF bulletins to their
chapters
2 was telling them that no one yet knew what was the
agent
3 causing AIDS and, therefore, they could continue on
that
4 factor, is that right?

5 A Roughly that's what their communication said.

6 Q Okay. Doctor, you also -- you were a member of
NHF. You
7 got their bulletins?

8 A Yes.

9 Q Did you get that because you were with MASAC?

10 A I got it because I was with MASAC. I believe
I was a
11 member, too. I don't remember exactly.

12 Q You got their bulletins?

13 A Yes.

14 Q Did you urge your opposition to what they were
writing?

15 A I don't know what you mean by "opposition."

16 Q Well, they were telling people to continue with
this great
17 product of these four defendants, and you're
telling us you
18 thought it was a virus and, therefore, it could be
transmitted
19 through the blood.

20 A You're telling me --

21 MR. BARR: Your Honor, I'm going to
object. That

22 misstates the witness' testimony.

23 THE COURT: It's cross-examination. I
would agree

24 it's argumentative, but I think the witness can
deal with it.

25 Overruled.

1 THE WITNESS: You're talking about
October of 1984.

2 The recommendation was to use heat-treated
material, and I

3 didn't disagree with that.

4 BY MR. RING:

5 Q All right. So you agreed with that one, but
you told us

6 earlier there were others you didn't agree with
that went out

7 from NHF --

8 MR. BARR: Your Honor, I'm going to
object. Again,

9 that misstates the witness' testimony.

10 THE WITNESS: I didn't say I didn't
agree, I said I

11 said that I wasn't the one that dispensed that
communication.

12 BY MR. RING:

13 Q I see. At any rate, that communication went to
the

14 chapters of NHF, is that right?

15 A Yes.

16 Q And you don't know what treaters got it?

17 A I know that it was distributed to treatment
center

18 directors. I don't know how far it got beyond
that.

19 Q Not everybody worked at a treatment center --
not every

20 physician treated hemophiliacs worked at a
treatment center?

21 A Correct.

22 Q And whatever information was given, it was
information that

23 as far as the CDC and the other members of your
profession or

24 MASAC had differing views on?

25 A Well, there were different opinions as to what
the cause of

the virus 1 AIDS was which could not be entirely resolved until

greater 2 was isolated. And there was a gradual greater and

day one until 3 indication that it was a virus if you start from

4 the virus was isolated.

Hemophilia 5 Q But the information that the NHF, National

all of these 6 Association, and MASAC were sending did not report

difference of 7 details, and the report did not report the

8 opinion about the agent, did they?

first 9 A Well, I think they reported right from the very

individuals with 10 evidence of what appeared to be AIDS in three

hemophilia 11 hemophilia. They alerted the population, the

happening. 12 population, both treaters and patients to what was

13 Now, nobody knew --

14 Q That one no one disagreed with?

15 A Right.

disagreement in the 16 Q Everything from there on was a maze of

17 medical community at the CDC, and at the NHF?

18 A It was a maze of uncertainty and unknown.

NHF? 19 Q You didn't report those different views to the

and I don't 20 A Oh, I think all of those views were discussed,

21 think that their communication suggests that
anybody knew the

22 answer.

23 Q And so you went on and let your children go.

24 Did you ever think that maybe they ought
to do

25 something about finding a method of eradicating the
virus like

1 everyone else did?

2 MR. GREEN: Excuse me, your Honor. The
question is

3 argumentative.

4 THE COURT: Overruled.

5 THE WITNESS: To my way of thinking, that
was in

6 process in the form of the attempts to heat treat.
And I look

7 back on that as being very -- although it was
fortuitous that

8 that was going on to get rid of a different virus,
hepatitis

9 virus, it just was very fortunate that HIV was
sensitive

10 because if that had not been going on and they had
to start

11 from scratch when HIV was discovered, it might have
been many

12 more years before safe products were developed.

13 BY MR. RING:

14 Q If it had gone on 20 years sooner, since there
was no new

15 discovery in chemicals or anything else to heat
treat, then

16 that would have been better still, wouldn't it?

17 MR. BERKMAN: Objection.

18 THE COURT: Overruled.

19 THE WITNESS: If the AIDS epidemic had
started 20

20 years earlier, it would have been a much bigger
disaster

21 than --

22 BY MR. RING:

23 Q Doctor --

24 A -- it was starting in the 1980s.

25 Q -- just go back to the question.

1 MR. BARR: Your Honor, may the witness be
allowed to
2 finish his answer?

3 THE COURT: I think he did.

4 Was there something you wanted to add?

5 THE WITNESS: Well, I would just -- I
mean, in

6 response -- you asked if this happened 20 years
earlier it

7 would have been better. I think it would have been
disastrous

8 because the technology --

9 BY MR. RING:

10 Q You misunderstand my question. I see now the
problem.

11 Doctor, I didn't ask you whether or not
that AIDS had

12 come about 20 years sooner. You were talking about
what had

13 happened with the development of heat treatment,
and I'm

14 talking about that. If they had started in heat
treatment 20

15 years sooner, that would have been better for your
children,

16 wouldn't it?

17 A It might have been if such methods could have
been

18 developed.

19

20

21

22

23

24

25

13

Laura M. Brennan, Official Reporter

1

Abildgaard - cross by Barr

1 MR. RING: I don't think I have any other questions.

2 BY MR. BARR:

3 Q Dr. Abildgaard, good afternoon.

4 Just a couple of questions.

5 Mr. Ring, when he was asking you questions, talked
6 about the house burning down. Were you just standing around
7 doing nothing, watching your patients die, and saying to
8 yourself, "Oh, so what"?

9 A Well, first of all, my patients weren't dying. My patients
10 were all well when this -- the first indication of AIDS in
11 hemophilia was developing as indicated by those first three
12 patients.

13 We happened to have been treating young children with
14 cryoprecipitate or plasma at that time rather than concentrate
15 for a totally different reason, and that was to try to defer or
16 postpone their exposure to hepatitis until they were older.

17 So that just fortuitously was in place for some of
our

18 young patients. It wasn't like we were sitting there not trying

19 to do something, but the individual treater really had to work

20 with what was available.

21 And as I indicated earlier, it was, I think, very

22 fortunate that the heat treatment process that applied to HIV

23 was coming along at that time.

24 Q And did you know back in the time --

25 And, incidentally, to perhaps state the obvious, did

LAURA M. BRENNAN, Official Reporter

1 you have to deal with all the problems that were going on with

2 information that was available at the time as opposed to
getting

3 to look back 10 years on what has been learned since then?

4 A Well, I think that is something that is hard to keep into

5 perspective in 1993 because in 1983 this was a total mystery.

6 We suspected and were concerned about the possibility

7 of a viral agent, but the picture was unfolding, and every year

8 there was a different piece of it. And that was a very

9 different situation than all of the facts that are known at
this

10 time.

11 Q All right. And I have written on a piece of paper that the

12 jury can see, and perhaps you can't, of an earlier witness,
that

13 you have a time when the HIV virus goes into the body, and we

14 didn't know it was a virus until '84, correct?

15 A Yes.

16 Q And then there is a period of seroconversion, of several

17 weeks or a couple of months after the virus infects the body.

18 Then you have an antibody, correct?

19 A Right, I think up to about six months.

20 Q Then it can be years and years and years until you actually

21 have symptoms of the disease AIDS, is that true?

22 A Yes.

23 Q Did you know any of that back in 1983?

24 A No.

25 Q And when Mr. Ring talked to you about putting out these

LAURA M. BRENNAN, Official Reporter

1 bulletins from the NHF and not telling hemophiliacs about it
2 potentially being a virus -- let me show you what is part of
3 Cutter's Exhibit N, which are all of the news notes.

4 If I might, I will stand by you, and would you read to

5 the jury what the very first patient alert dated January --
July

6 14th, 1982, says concerning viruses?

7 A It says, "One hypothesis that is being investigated by CDC
8 is that the agent may be a virus transmitted similar
to

9 the hepatitis virus by blood or blood products."

10 Q Was that to your view the prevailing scientific thought
that

11 it might be a virus, it might be something else, but maybe it
is

12 a virus?

13 A Yes.

14 Q All right. And then another one that you didn't get asked

15 about by Mr. Ring, I want to go over to May 9th, 1984.

16 Now, this is number 16, and did the Hemophilia
17 Association promptly report in an AIDS update the fact that,
18 quote:

19 "The purpose of this report is to explain and comment
20 on the recent dramatic announcement concerning LAV and

21 HTLV-III and their probable causative role in AIDS"?

22 A Yes.

23 Q Now, going back to Mr. Poole in particular, it would be

24 impossible for you to sit here and tell the jury -- let me turn

25 this just a bit --

LAURA M. BRENNAN, Official Reporter

1 We have heard testimony that he got 100,000 units each
2 year up until January of '85. You could not possibly say that
3 when he was injecting himself twice a week with Factor VIII
4 concentrate in '80, '79, '78, that on February 12th he got
5 infected, is that right?

6 A That is right.

7 Q You would have to have serum samples to get that
particular,

8 is that correct?

9 A Well, even that wouldn't allow you to know when he was
10 actually infected.

11 Q All that would tell you was the date when he had
antibodies?

12 A That is right.

13 Q But do you in medicine always and constantly have to use
14 your medical judgment, your experience, the data that is in the
15 literature, in order to reach medical opinions and conclusions?

16 A Yes.

17 Q And have you done that in this case when you tell us that,
18 in your opinion, based on your review of all of his medical
19 records, based on your review of all the medicine that he took,
20 that he was infected before 1980?

21 A Yes.

22 Q Now, Mr. Ring talked to you about this just being a
23 statistic. Dr. Abildgaard, did you find any evidence in Mr.

24 Poole's records of him having a built-in immune to HIV?

25 A No.

LAURA M. BRENNAN, Official Reporter

1 Q Any evidence that he could head off, in Mr. Ring's words,

2 head off HIV infection?

3 A No.

4 Q And he asked you some questions about Dr. Telfer not doing

5 T-cell testing right before his surgery in 1985.

6 Do you remember that?

7 A Right.

8 Q Was it the standard of practice to do T-cell testing before

9 surgery in early 1985?

10 A I don't believe that it was, no.

11 Q Now, Mr. Ring followed up with a question about, well, gee,

12 you would want to know if he was infected because the people in

13 the operating room -- there is going to be blood, there is
going

14 to be an operation -- those people would want to be protected.

15 So you wanted to know if the patient was infected.

16 Do you remember that question?

17 A Yes.

18 Q In January of 1985 when an orthopedic surgeon was going to

19 do a complete knee replacement on a hemophiliac, who prior to

20 that time had taken 900,000 units at least, at a minimum, of

21 Factor VIII concentrate, as of that time, what would be the

22 assumption of everybody in that operating room?

23 A Well, you would have to assume that he was infected for
24 their own safety.

25 Q Would that be standard, as far as you know, throughout

LAURA M. BRENNAN, Official Reporter

1 operating rooms in the United States when you are operating on
a

2 hemophiliac who was at very high risk for being infected?

3 A Yes.

4 Q Mr. Ring asked you some questions about clinical trials
that

5 you may have done with Factor VIII.

6 When you got an informed consent from the patient and

7 you were using the patient to determine the clinical efficacy
of

8 the Factor VIII, and this was years before any of this, for

9 instance, with the Baxter product, the medicine that you would

10 inject in the patient had to have already been approved as to

11 its methodology by the FDA, is that right?

12 A Yes.

13 Q In other words, there was no license to let all
hemophiliacs

14 do it, but the FDA had approved an IND, is that right?

15 A That is correct.

16 Q And that is an investigational new drug document where it
is

17 all laid out how it is going to be done, and then you are doing

18 it to see how it works clinically, is that right?

19 A Okay.

20 Q Finally, I want you to assume that after taking all of
these

21 units up to 1985, if, as you have told us, Mr. Poole was
already

22 infected, did the Cutter or the Baxter or this one vial that he

23 may have gotten of Armour do him any harm whatsoever?

24 A I don't believe so.

25 Q And in your opinion, he was already infected before he ever

LAURA M. BRENNAN, Official Reporter

1 took product made by Baxter, Armour, or Cutter, is that correct,

2 if all that he got, as the evidence in this case has been, was

3 those products in 1985?

4 A Yes, that would be my opinion.

5 MR. BARR: I have nothing further.

6 MS. GOURLEY: I don't have anything.

7 MR. BELL: Your Honor, I have nothing further.

8 However, may I pass out and publish to the jury the
9 exhibits that were shown to the doctor during his direct?

10 THE COURT: Yes.

11 MR. RING: Can I redirect on just a couple?

12 THE COURT: Sure.

13 RECROSS EXAMINATION

14 BY MR. RING:

15 Q Doctor, there is no question in this case and no one
16 disputes that Stephen Poole was infected by Factor VIII
17 concentrate. You know that?

18 A Yes.

19 Q So certainly it had to come from one or all of these
20 parties, is that right?

21 A Yes, or it could have come from cryoprecipitate.

22 Q Well, he wasn't on cryoprecipitate for what, 8 years, 10
23 years?

24 A I don't know when the last time he received cryoprecipitate

25 would have been.

LAURA M. BRENNAN, Official Reporter

1 Q Well, I think it was in May of '75.

2 A Then it is probably unlikely.

3 Q What?

4 A Then it is probably unlikely if that was the last that he

5 received.

6 Q And, Doctor, with respect to cryoprecipitate, let me show

7 you Alpha's -- it is an exhibit of the plaintiff -- 41 -- is

8 this 88?

9 MS. THOMAS: 4188.

10 MR. RING: 4188.

11 BY MR. RING:

12 Q Doctor, the page is --

13 Well, let me give it to you, if I can find it. It is

14 the last page of this exhibit.

15 MS. THOMAS: May we pass this out?

16 MR. RING: Has this been admitted yet?

17 MS. THOMAS: No.

18 MR. RING: Well, I think --

19 THE COURT: What is it you want to pass out?

20 MR. RING: It is an Alpha document.

21 MR. BELL: Well, your Honor, we have a question first.

22 I think this is probably beyond the scope.

23 MR. RING: Well, I think we are just talking about

24 cryoprecipitate. That I think is what -- if I am not wrong,

25 that is what Mr. Barr was hanging up on.

LAURA M. BRENNAN, Official Reporter

1 MR. BARR: Your Honor, I didn't mention
2 cryoprecipitate.

3 MR. RING: Well, then I did.

4 THE COURT: Well, the witness did in response to your
5 question.

6 MR. RING: Yes.

7 THE COURT: So I will allow you to pass it out.

8 BY MR. RING:

9 Q Doctor, looking at this exhibit, it is dated May 9th,
1983,

10 and the last page -- it?

11 A Doris Menache, M-e-n-a-c-h-e, American Red Cross Blood
12 Services.

13 Q Would you read what has been highlighted?

14 A Only that?

15 Q Well, maybe I can look at it first. Those two.

16 A "A decrease in the use of AHF concentrate in Factor IX

17 complex concentrates by substituting cryoprecipitate
18 and AHF and fresh frozen plasma respectively has been
19 recommended. This change is feasible and, in fact, if

20 all frozen plasma currently used to prepare AHF
21 concentrate or to be diverted to cryoprecipitate
22 production in amount of available Factor VIII would
23 double."

24 Q Would you read --

25 MR. BELL: What are you reading from?

LAURA M. BRENNAN, Official Reporter

10

Abildgaard - recross by Ring

1 MR. RING: What?

2 MR. BELL: What are you reading?

3 MR. RING: It is the last page of this exhibit. The

4 first two paragraphs is what he was reading from.

5 Do you see it?

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Abildgaard - recross by Ring

1 BY MR. RING:

2 Q. Now, Doctor, can I direct you to something on that same
3 page, the last paragraph. It's not highlighted, but would you
4 read that one?

5 A. "In addition, for the past few years, AHF concentrate
6 production has been considered the driving force in the
7 fractionation industry. If production were to be
substantially
8 reduced, the price of other plasma derivatives might be
9 substantially increased."

10 Q. Thank you. And as late as November 2, 1983, Doctor, the
11 hemophilia exchange or the Hemophilia Information Exchange
12 still reported to its chapters, "The NHF reaffirms its
13 recommendation that patients maintained the use of concentrate
14 or cryoprecipitate as prescribed by their physician"?

15 A. What was the date of that?

16 Q. November 2, 1983. Do you want to see it?

17 A. All right.

18 MR. RING: I have no other questions, Your Honor.

19 MS. THOMAS: May we publish the Alpha document?

20 MR. RING: May we publish that one document that I
21 used?

22 THE COURT: Yes.

23 MR. RING: The Alpha document.

24 MR. BERKMAN: Your Honor, again, I'd object. It's a

25 six page document. He read one paragraph. It's Alpha's

LAURA M. BRENNAN, Official Reporter

2

Abildgaard - recross by Ring

1 document to Alpha's people. And it's totally inadmissible.

2 THE COURT: Well, if there is just one page that is

3 important, let's --

4 MR. RING: There is two pages that I had him read

5 from. And it's all right with me if we take out the other

6 pages.

7 THE COURT: All right. Take the rest of it out.

8 THE WITNESS: I only read from one page. You read

9 from the other.

10 MR. RING: I'm sorry?

11 THE WITNESS: I said I only one from one page. You

12 read from the other one.

13 MR. RING: I read from the other?

14 THE WITNESS: Yes.

15 MR. RING: Well, let's just give them the last page.

16 THE COURT: I'll let the two pages go in. The only

17 reason I'm not sending the whole thing in, if the rest of it

18 doesn't pertain, there is no point in burdening the jury with

19 it.

20 MR. BERKMAN: I renew my objection on the same

21 grounds.

22 MR. RING: All right. Thank you, Your Honor.

23 THE COURT: Okay.

24 MR. BARR: Your Honor, I have a couple of follow-up
on

25 Mr. Ring's.

LAURA M. BRENNAN, Official Reporter

3 Abildgaard - recross by Barr

1 THE COURT: Don't ask leading questions, please.

2 MR. BARR: No, no. Was I? I apologize if I was.

3 MR. RING: I'm going to get you to take my course
next

4 year.

5 RECROSS-EXAMINATION

6 BY MR. BARR:

7 Q. Mr. Ring asked you a question about how Mr. Poole was

8 infected. And you indicated, and I guess because of no other

9 high risk factors, he was infected by Factor VIII
concentrates.

10 Do you remember that?

11 A. Yes.

12 Q. Mr. Ring then asked if you believe he was infected by one

13 of the four defendants in this case. Do you recall that?

14 MR. RING: I think I said "one or all."

15 BY MR. BARR:

16 Q. One or all.

17 A. All right.

18 Q. All right. First of all, do you know if he took any

19 American Red Cross Factor VIII?

20 A. I don't know what all he took, because much of the medical

21 record, there was no identification of the source. It was
just

22 referred to as Factor VIII or concentrate or AHF. So there
was

23 no way of telling much of the time what he had received.

24 Q. All right. And in telling us -- well, for instance, you
25 don't know if he took any New York Blood Center Factor VIII,

LAURA M. BRENNAN, Official Reporter

4 Abildgaard - recross by Barr

1 correct?

2 A. Correct.

3 MR. RING: Your Honor, I think this is all
misleading,

4 because the evidence is irrebuttable or hasn't been refuted
5 that he only took the Factor VIII of these four defendants.

6 MR. BERKMAN: Objection.

7 MS. GOURLEY: That's not true.

8 MR. BELL: Objection, Your Honor. That's not true.

9 THE COURT: I'm not going to comment on that. But
10 it's obvious that this witness doesn't know what Factor VIII
he

11 took. So asking him questions can only be rhetorical. I'm
12 going to sustain the objection.

13 BY MR. BARR:

14 Q. Okay. Let me ask you this --

15 THE COURT: It's a waste of time.

16 MR. BARR: I don't mean to waste your time, Your
17 Honor. May I proceed?

18 THE COURT: All right. Go ahead.

19 MR. BARR: Thank you.

20 BY MR. BARR:

21 Q. Dr. Abildgaard, in indicating that it could have been one
22 of the manufacturers of Factor VIII, do you have an opinion as
23 to whether or not Mr. Poole could have been infected by
24 Cutter's, I'm just referring now to Cutter's dry heat-treated
25 Factor VIII at 68 degrees for 72 hours?

LAURA M. BRENNAN, Official Reporter

5 Abildgaard - recross by Gourley-Berkman

1 A. That he took at what time?

2 Q. In 1985.

3 A. No.

4 Q. He could not have been in your opinion?

5 A. I don't believe so.

6 Q. All right. Thank you.

7 MR. BARR: I have nothing further.

8 MR. RING: I don't.

9 MS. GOURLEY: I have just one question.

10 RE CROSS-EXAMINATION

11 BY MS. GOURLEY:

12 Q. Dr. Abildgaard, likewise, if he took Armour concentrate in

13 January 1985, it would still be your opinion, it would be your

14 opinion that that did not infect him here, is that correct?

15 A. Yes, that would be my opinion.

16 MS. GOURLEY: Thank you.

17 MR. BERKMAN: I would be forced from my end here.

18 RE CROSS-EXAMINATION

19 BY MR. BERKMAN:

20 Q. Dr. Abildgaard, just two questions.

21 Now, Ring said --

22 MR. RING: You promise now?

23 MR. BERKMAN: I do.

24 BY MR. BERKMAN:

25 Q. Now, Mr. Ring said that on January 8, 1985, when he came

LAURA M. BRENNAN, Official Reporter

1 into the hospital, he had a white blood cell count of 5.1.

2 That's 5100, correct?

3 A. That's correct.

4 Q. Did you also see in his medical records on December 31,

5 1984, that's just one week earlier, his white blood cell count

6 was 3.7?

7 A. Yes.

8 Q. That's below normal, isn't it?

9 A. Yes.

10 Q. And then the day he was diagnosed with PCP and AIDS, on

11 March 24, 1986, his white blood cell count was 5.1 again,

12 wasn't it?

13 A. Yes.

14 Q. So that doesn't tell you anything about whether he had
AIDS

15 or not, does it?

16 A. That's right.

17 Q. Okay. Finally, I promised the jury, everybody else asked,

18 did you testify earlier and do you still agree that the Baxter

19 heat-treated product that he got in January of 1985 in the

20 hospital, which was the only Baxter product he got, had no

21 effect on him, is that correct?

22 A. That is correct.

23 MR. BERKMAN: Thank you.

24 THE COURT: All right. Thank you.

25 We'll take a brief recess.

LAURA M. BRENNAN, Official Reporter

7

1 MR. BELL: Your Honor, may the witness be excused?

2 THE COURT: Yes.

3 MR. BELL: Thank you.

4 THE COURT: That completed your testimony.

5 (Witness excused. Recess.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 (The following proceedings were had in
open court in
2 the presence and hearing of the jury:)
3 MR. BARR: Your Honor, we're going to be
reading
4 Dr. Levy's deposition.
5 MR. RING: There is a problem.
6 MS. THOMAS: We have no --
7 MR. RING: Do you want to do it --
8 THE COURT: What's the problem?
9 MS. THOMAS: With regard to the
cross-examination, we
10 would like it read in total.
11 THE COURT: How long is the direct?
12 MR. BARR: I have -- I gave it to you
yesterday, your
13 Honor. I'm designating the whole thing. What I've
done is
14 take out --
15 THE COURT: Sit down folks, please. I
thought we
16 were all set to go. I didn't realize there was a
problem.
17 MR. BARR: I've taken out colloquy of
counsel. I
18 guess there are one, two, three -- you want the --
let me see
19 which ones you want in.
20 MS. THOMAS: I have no problem with the
direct. On
21 the cross I don't mind if we take out --

have some 22 MR. BARR: Your Honor, if we're going to
23 objections in, I want them all in.
24 MS. THOMAS: Fine.
out. It's 25 MR. BARR: I have just taken them all

1 things like, "Would you a say it again." I don't
know why they

2 have to be read. It just takes up time.

3 MS. THOMAS: I differ with what he said.

4 THE COURT: If they illumination with the
question or

5 the answer --

6 MS. THOMAS: Our position is they do.

7 THE COURT: -- they're useful. If they
don't then

8 they're just a waste of time. Rather than spending
time on it,

9 read it all.

10 MR. BARR: I'm going to be Dr. Levy, my
lifelong

11 ambition.

12 MS. GELLEN: I'm going to be Mr. Barr,
and it's not

13 my lifelong ambition.

14 MR. BARR: May I give the jury a copy of
the CV?

15 MS. GELLEN: The deposition of Jay Levy,
M.D., was

16 taken on Thursday, September 13, 1990.

17 (Whereupon, the deposition of Jay Levy
was read into

18 the record.)

19 THE COURT: All right. We'll recess,
ladies and

20 gentlemen, until 2:00 P.M. on Monday.

21 (The following proceedings were had in
open court out

jury:) 22 of the presence and hearing of the

23 MS. GOURLEY: I just wanted to let you
know we did

24 file a supplemental memorandum in support of our
Rule 50 motion

25 that includes some additional transcripts cites and
also the

1 Dr. Telfer testimony that you asked for.

2 THE COURT: Yes.

3 MS. GOURLEY: I have it --

4 THE COURT: I think I --

5 THE COURT: No, I have Alpha's.

6 MS. GOURLEY: We gave it to your clerk.

I do have

7 another copy, but it doesn't have the transcript

pages

8 attached.

9 THE COURT: I have it here.

10 MR. BARR: Your Honor, I'm a bit

concerned about our

11 motion. I'm going to file something in writing.

I'll file it

12 with you Monday, but are we going to be able to

argue these

13 motions? Because I haven't filed much of anything

because mine

14 is so fact driven --

15 THE COURT: Well, yes, I'll hear you.

Frankly, I

16 hope the jury understood more about what Dr. Levy

was saying

17 than I did. That was pretty heavy stuff.

18 MR. BARR: I agree. We will talk about

it on Monday

19 when Dr. Mozen is here to discuss it.

20 THE COURT: Maybe the best thing it do is

wait until

21 he testifies.

22 So nobody is caught short, don't anybody

count on

23 being awarded a judgment as a matter of law. The
more I read,

24 the less I'm inclined to grant any of those
motions, but I

25 think that Cutter maybe is in a little different
situation than

nothing to 1 the other defendants, but this last witness did
that's not 2 clarify that situation. I'll put it this way,
complicated 3 necessarily his fault, it's just that it's a very
4 matter. All right. Good night.

5 (The trial was adjourned until 2:00 p.m.
6 on November 8, 1993.)

7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Laura M. Brennan, Official Reporter

IN THE UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF ILLINOIS
EASTERN DIVISION

PEGGY GRUCA, et al.,)
)
Plaintiffs,) NO. 86 C 7623
)
v.) Chicago, Illinois
) November 8, 1993
ALPHA THERAPEUTIC, CO., et al.,) 2:00 p.m.
)
Defendants.)

VOLUME 18-A
TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE JOHN F. GRADY

APPEARANCES:

For the Plaintiffs: LEONARD M. RING & ASSOCIATES
111 West Washington Street
Suite 1333
Chicago, IL 60602, by
MR. LEONARD M. RING
MS. DEBRA A. THOMAS

For Alpha Therapeutic
Corporation: WILDMAN, HARROLD, ALLEN & DIXON
225 West Wacker Drive
Suite 3000
Chicago, IL 60606, by
MS. ANNE GIDDINGS KIMBALL

KNAPP, PETERSON & CLARK
70 Universal City Plaza
Universal City, CA 91600, by
MR. DAVID I. BELL

BRONSON, BRONSON & MC KINNON
444 South Flower Street
25th Floor
Los Angeles, CA 90071, by
MR. EDWIN W. GREEN

1 For Armour
Pharmaceutical
2 Company: SIDLEY & AUSTIN
One First National Plaza
3 Suite 4300
Chicago, IL 60603, by
4 MS. SARA J. GOURLEY
MS. LORY A. BARSDATE
5
6 For Miles
Laboratories: JOHNSON & BELL, LTD.
7 222 North LaSalle Street
Suite 2200
8 Chicago, IL 60601, by
MS. PAMELA L. GELLEN
9
O'CONNOR, COHN, DILLON & BARR
10 151 Union Street at Sansome
Suite 551
11 San Francisco, CA 94111, by
MR. DUNCAN BARR
12
For Baxter Travenol
13 Laboratories, Inc.: ALBERT, BATES, WHITEHEAD &
MC GAUGH
14 10 South Wacker Drive
Suite 2035
15 Chicago, IL 60606, by
MR. CHARLES G. ALBERT
16
DECHERT, PRICE & RHOADS
17 4000 Bell Atlantic Tower
1717 Arch Street
18 Philadelphia, PA 19103, by
MR. RICHARD L. BERKMAN
19
20
21
22
23 COURT REPORTER: LAURA M. BRENNAN
24 219 South Dearborn Street, Room 2342
Chicago, IL 60604
25 (312) 427-4393

1

1 (The following proceedings were had in open court out of
the

2 presence and hearing of the jury:)

3 MR. BARR: Good afternoon, your Honor.

4 MR. BERKMAN: Good afternoon, your Honor.

5 THE COURT: Good afternoon.

6 MS. KIMBALL: Good afternoon, your Honor.

7 THE COURT: Everybody here?

8 THE CLERK: No, Mr. Ring and Miss Thomas.

9 THE COURT: Anybody know where they are?

10 MR. JERBICH: They are on their way.

11 MR. GREEN: There was an accident or a fire up the
12 street that has got everything blocked up, your Honor.

13 THE COURT: Okay.

14 (Brief interruption.)

15 MR. BARR: Your Honor, if I might, I am going to give
16 the Court our written document concerning the motion for
17 directed verdict.

18 THE COURT: Okay, thank you.

19 All right, let's see. What were we doing when we --

20 MR. BARR: We had finished reading the deposition of
21 Dr. Levy, and I believe that Alpha now has a witness to
present.

22 THE COURT: Okay.

23 MR. RING: Good afternoon.

24 THE COURT: Good afternoon.

25 Now, Peter Levine is the one about whom there is a

LAURA M. BRENNAN, Official Reporter

2

Weidmann - direct

1 dispute?

2 MR. ALBERT: Yes, your Honor. Baxter wants to read
the

3 deposition.

4 THE COURT: You want to read the deposition.

5 MR. BERKMAN: Portions of it.

6 THE COURT: The portions that are marked here, is that
7 it?

8 MR. ALBERT: Yes, your Honor.

9 THE COURT: All right.

10 MR. RING: That is one, your Honor, that could not be
11 finished and then he said that he was threatened, his life was
12 threatened, and he withdrew, and they were given time to get
13 someone else.

14 THE COURT: Yes.

15 (The following proceedings were had in the presence and
16 hearing of the jury:)

17 THE COURT: Good afternoon, ladies and gentlemen.

18 THE JURY: Good afternoon.

19 THE COURT: Please be seated.

20 THE COURT: All right, Alpha has another witness?

21 MR. GREEN: Yes, your Honor.

22 At this time I would like to call Dr. Weidmann.

23 Would you step up and be sworn.

24 ERNST WEIDMANN, DEFENDANT ALPHA'S WITNESS, DULY SWORN

25 DIRECT EXAMINATION

LAURA M. BRENNAN, Official Reporter

Weidmann - direct

1 BY MR. GREEN:

2 Q Dr. Weidmann, would you state your full name for the jury,
3 please?

4 A My name is Ernst Weidmann.

5 Q Where do you live, sir?

6 A I am living in Germany in a little village call Weimar.

7 Q By whom are you employed?

8 A I am employed by Hoechst AG.

9 Q Does Hoechst AG have any ownership interest in
Behringwerke?

10 A Hoechst AG is the hundred percent owner of its affiliate
11 Behringwerke.

12 THE COURT: We didn't get the name of the parent
13 company. Would you spell that for the reporter?

14 THE WITNESS: Yes. H-o-e-c-h-s-t.

15 BY MR. GREEN:

16 Q We Americans have trouble with this because we can't find
17 the R in Ernst.

18 Doctor, you are a licensed physician, is that
correct?

19 A Yes, I am a licensed physician in Germany.

20 Q I wonder if you would tell the jury a little bit about your
21 training and studies to become a physician?

22 A I started my university career studying chemistry at the
23 University of Heidelberg in 1967.

24 At the end of my education in organic chemistry, I
25 started studying medicine, and I finished my studies in 1979,

LAURA M. BRENNAN, Official Reporter

1 and I was one year something like an intern probably, in your
2 terms, doing practical work at the university hospital of
3 Heidelberg. And I finished my education and got a license for
4 practicing medicine in Germany in 1980.

5 Q Sir, do you also have a PhD?

6 A Yes. I also have a PhD in organic chemistry.

7 Q When did you complete your studies?

8 A I finished my studies including the internship in November
9 1980.

10 Q And did you then go to work?

11 A Yes. I started my work at Behringwerke in the clinical
12 research department at that time.

13 Q Now, when you first came to work -- I am sorry. I thought
14 I heard you.

15 When you first went to work at Behringwerke, what
16 position did you hold?

17 A I had the position of a project manager in clinical
18 research. That means my responsibility was the planning and
19 the performance of clinical trials at that time in the field of
20 oncology. That means cancer therapy.

21 Q And for how long a period of time did you continue to work
22 at Behringwerke?

23 A I worked in Behringwerke until end of 1988, and then I
24 transferred to Hoechst AG, and there I had the position of a
25 director of drug surveillance.

1 Q Was that a promotion for you?

2 A Yes.

3 Q During the period of time that you worked at Behringwerke,
4 you said you started as project manager in clinical research.

5 Did you hold other positions?

6 A Yes. I had the responsibility as head of the department of

7 clinical research hemostasiology -- that means blood clotting
--

8 from 1985, 1986 on.

9 Q Were you ever involved, sir, working with a heat treated
10 product of clotting factor manufactured by Behringwerke?

11 A Yes. I started to get involved in the work with clotting
12 factor concentrates from 1983 on when I took the responsibility

13 for viral safety studies of these products before being
promoted

14 to head of the clinical research department in 1986.

15 Q First of all, we are going to be talking about three
16 products that are manufactured by your company.

17 The first one is Haemate-HS, is that right?

18 A Yes.

19 Q The second is Humate, H-u-m-a-t-e?

20 A Not really. I think, if I may say so, let's sort the three

21 products a bit different and take Haemate-P as the second one
22 and then Humate-P as a third one, which would then also be the
23 right chronology of the products.

24 Q Now, sir, when you took over the reliability for viral

25 safety studies with Behringwerke, what was your task?

LAURA M. BRENNAN, Official Reporter

1 A The task was within a task force of people from production,
2 from virology, from preclinical and clinical, to validate the
3 results we had on viral safety in 1983 on this product and to
4 investigate whether further studies and evaluations both
5 preclinically and clinically were necessary.

6 Q Why was it necessary that you undertake that task?

7 A Well, you see, the product was licensed in Germany in 1981,
8 and at that time it was a first product which had heat
9 treatments -- for the future discussion I should rather say
10 pasteurization and heat treatment -- and we were on a learning
11 curve on these products.

12 There was a lot of important findings in clinical
13 medicine about hepatitis. There are different types of
14 hepatitis, and we learned especially about hepatitis non A-non
15 B, more information; and we had to assess our data, whether we
16 met all the criteria set for these disease or whether we could
17 do better studies or, let's say, improve our knowledge on these
18 data.

19 Q All right. The original viral studies that were done by
20 Behringwerke were for hepatitis what?

21 A B.

22 Q And there was no data for hepatitis C, is that correct?

23 A Well, you are using a new name now.

24 Q Non A-non B?

25 A Non A-non B. It was called non A-non B at that time, and

LAURA M. BRENNAN, Official Reporter

1 only about three years ago hepatitis C -- non A-non B was
2 renamed hepatitis C because an analytical test was developed
3 which could demonstrate the presence of the infectious agent
for

4 hepatitis non A-non B, and it is now called hepatitis C.

5 Q So your job was to check, for want of a better word, check
6 the viral safety with regard to non A-non B hepatitis?

7 A Mostly non A-non B.

8 Q Now, when the Haemate-HS was initially put on the market,
9 what did the HS stand for?

10 A The HS when it was put on the market, the HS stood for heat
11 sterilized.

12 The development code, if I may say so, actually was
13 standing for hepatitis safe. But this was not accepted by the
14 regulatory agency, the Bundes Gesundheit, which equals the FDA
15 and has to license a product before it can be sold in Germany.

16 Q So in Germany the BGA is similar to what the FDA is in the
17 United States?

18 A What the FDA is, correct.

19 Q And they would not allow you to call this hepatitis safe.

20 So you went to what term?

21 A Heat sterilized.

22 Q Do you know, sir, when the licensing for the sale of this
23 product was first approved by the FDA in the United States?

24 A The license was approved in the middle of 1986 in U.S.

25 Q Was the clinical work requirements of Germany different
than

LAURA M. BRENNAN, Official Reporter

1 the clinical work requirements of the FDA to your knowledge?

2 A We are talking about the time frame from '83 to '86, and at

3 that time there were quite different standards and forms in

4 clinical trial requirements between -- I would not only say

5 Germany but, let's say, Europe and the U.S. at that time.

6 Q Was there something that finally made a uniform

7 international requirement?

8 A Well, this has been brought forward step by step. It was
in

9 '87 the FDA accomplished an IND rewrite where the FDA gave some

10 standards how to perform international clinical trials which
can

11 be used for licensing in the U.S.

12 And right now, actually in the last two weeks ago,

13 there was an international conference on harmonization in

14 Orlando which is going on and discussing this issue of

15 recognition of clinical and legal data internationally and

16 worldwide. So this is still an open problem more or less.

17 Q Sir, was there a -- let me go back a step.

18 In 1981 Behringwerke produced both a heat treated or

19 pasteurized product and a non-heat treat product, is that

20 correct?

21 A Yes.

22 Q Was there -- strike that.

23 Do you know if there was a reason why the non-heat

24 treated product was not discontinued or stopped when a heat

25 treated product started?

LAURA M. BRENNAN, Official Reporter

1 A The understanding at that time of the medical profession
was
2 that patients exposed and being infected by hepatitis already
3 could go on with unheated products while only patients which
4 have not yet experienced any hepatitis infection might be on
the
5 new and pasteurized product.

6 Q So then, sir, if I were to tell you that Stephen Poole in
7 1981 had been taking factor concentrates almost since their
8 inception, their start on the market, and that he was taking
9 between a hundred and 150,000 units of concentrate per year,
10 under the indications in the Behringwerke product, would he
have
11 been a candidate for that product?

12 A I don't think so because to the best of our knowledge in
13 medical science, we must assume that he has been infected with
14 hepatitis due to the long treatment with concentrates before.

15 So actually according to the understanding which was
16 developed in 1981 by the German hemophilia treatment centers,
he
17 most probably would not have received the heat treated product.

18 Q Was there a reluctance to the heat treated product by
German
19 physicians?

20 A Yes. I think there was a reluctance in a twofold way. The

21 first was that some of the doctors did not really trust in the
22 power of the inactivation procedure at that time when the
23 product was new on the market.

24 The main issue was that to avoid the destruction of

the

25 biologic activity of Factor VIII, you had to add a stabilizer

LAURA M. BRENNAN, Official Reporter

1 while heating the product. And many physicians believed at that

2 time that this stabilizer would also stabilize the virus. So
3 most probably no full and valid inactivation could be reached.

4 MR. RING: Your Honor, I don't want to cut him off.

5 Could we have some foundation for this because we just took his
6 deposition and this is sort of new.

7 THE COURT: Well, why don't you ask him what his basis
8 is.

9 BY MR. GREEN:

10 Q How do you know that, Doctor?

11 A This was discussed in meetings of the hemophilia treatment
12 centers which met yearly, and, in addition, we have worked on
13 data over the time where you can see that stabilization of the
14 protein, of the Factor VIII, does at the same time also
15 stabilize the virus, and it is very important to find an
optimum

16 for stabilization of the product so that you don't have
enormous

17 losses of the yield and at the same time be able to kill the
18 virus to have a safe product.

19 Q Dr. Weidmann, was there a difference in the yield on the
20 heat treated process from the non-heat treated process?

21 A Yes. It is a bit difficult to explain, but let me try to
do

22 it that way. If you would produce in a process 100 units out
of

23 a given plasma sample unheated product and you would at the
same

24 time run the process to the heated product, you would only have

25 70 -- 50 to 70 units. So there is a loss of roughly 30 to 50

LAURA M. BRENNAN, Official Reporter

11

Weidmann - direct

1 percent.

2 But I am sorry. May I come back? You asked for the
3 reasons why the product was not exacted and --

4 Q I am sorry.

5 A I could not answer the second question because I think it
is

6 important to know about that.

7 And there was another concern which I think is even
8 more important than the question of virus inactivation, and
this

9 is if you heat a protein, there is a risk that you change the
10 structure of the protein, and the protein can react differently
11 in the human body and can give rise to antibodies.

12 And these antibodies again would neutralize any Factor
13 VIII which is given to the patient, so that you might have no
14 effective treatment for this patient while he has the antibody.

15 And this is, of course, a very dangerous situation.

16 And I might stress this point because the Dutch Red
17 Cross tried to develop a pasteurized product in recent years,
18 and they had to stop this development because they have seen
the

19 rather high number of patients with these antibodies which are
20 called inhibitors.

21 I am sorry. I thought that was important information.

22 Q And that converts a treatable patient to one that is non-
23 treatable it, is that right?

24 A Non-treatable would be too far reaching, but he is then

only

25 treatable with certain other products and he has a high risk of

LAURA M. BRENNAN, Official Reporter

1 bleeding complications.

2 Q Dr. Weidmann, during the period, the early '80s, was the
3 heat treated Behringwerke product in large supply?

4 A No, it was rather short supply because -- due to the loss
in

5 activity to produce the same amount of product, you needed
6 larger plasma volumes.

7 And, again, this was not possible to do from the very
8 beginning to make these amounts available.

9 Q When were you first able to -- from your work, when were
you

10 first able to present to the BGA data that the Behringwerke
11 treated process was effective with regard to -- let's call it
12 what you call it -- non A-non B hepatitis?

13 A We started to look into the non A-non B problem after the
14 international committee on thrombosis hemostasis had published
15 in 1984, I would say, master protocol for the investigation of
16 non A-non B hepatitis safety of blood products.

17 And it was only until 1985 when we could start the
18 clinical trial. It was finished in '86 or '87, and it was
19 published in 1987 under the authorship from Professor Minucchi.

20 I would say that from this point on we had proved, if you can
21 prove that it was a small number of patients at all, that non
22 A-non B hepatitis was not a problem for this product.

23 Q In 1984, sir, was it possible for you to demonstrate
24 clinically or any other way that the Behringwerke heat process
25 succeeded in viral inactivation with regard to the AIDS virus?

LAURA M. BRENNAN, Official Reporter

13

Weidmann - direct

1 A Not in '84 because the problem of the HIV virus was, first
2 of all, to recognize and define it and, secondly, to have it
3 available for experimentation.

4 And this was only possible at the end of 1984, and we
5 started in early 1985 the corporation with Dr. Brens from the
6 New York Blood Center investigating the inactivation of the HIV
7 virus in vitro -- that means in an experimental setting -- in
a
8 glass, reagent glass, to demonstrate inactivation of the virus.

9 And this work was finished in summer 1985.

10 Q I would like to go back because I interrupted you and then
11 you interrupted me, and I am trying to get to how much of this
12 product you were making. By looking at my notes, I missed
that.

13 Let's go back.

14 In 1981, sir, how much product was there available for
15 Behringwerke to treat type A hemophiliacs?

16 A What those type A hemophiliac requires is depending on the
17 severity of this disease. But let's assume that we would have
a
18 patient with an average yearly consumption of Factor VIII of
19 100,000 units, and then probably in 1981 we could have treated
20 some 40 to 50 patients, and we slowly could increase this
21 number, that in 1982 it would be some 80 to a hundred patients
22 and so on.

23 Q That is all there was?

24 A That is all it was.

25 Q When did you at Behringwerke first believe that AIDS was

LAURA M. BRENNAN, Official Reporter

14

Weidmann - direct

1 viral in nature?

2 A That was quite difficult. In contrast, what it looks like
3 now retrospectively, in 1984, Montagnier published a study that
4 he had identified retrovirus in nature in AIDS patients.

5 And nearly at the same time there were still
6 publications in the literature on AIDS and hemophilia which
7 claim that probably the disease we see in hemophilia has
nothing

8 to do with the AIDS which is known from homosexuals or drug
9 abusers, and the reason was that some of these investigators
had
10 the understanding that the problem in hemophiliacs was due to
a
11 disturbance of the immune status by application of foreign
12 proteins; namely, of the Factor VIII concentrate. They need to
13 substitute their own Factor VIII.

14 So I would say that evidence came up during the year
of
15 1984 that HIV could be identified or that HIV could be
16 identified as the infectious agent in hemophiliacs.

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

1

Weidmann - direct

1 Q. All right. Two last questions. First of all, Doctor,
your

2 former company, Behringwerke, started trying to develop a heat

3 process when?

4 A. The first experiments date back to 1977.

5 Q. And it was first licensed by the BGA when?

6 A. In 1981, May 1981.

7 Q. And it was not licensed by the FDA -- FDA until when?

8 A. Until 1986.

9 Q. And how long, sir, did it take from the time that you
first

10 initiated the licensing process with the FDA until that
process

11 was finished?

12 A. It's about three years. We submitted the file in 1983,
and

13 the licensing was given in 1986.

14 Q. Lastly, sir, your product which is now sold in the United

15 States licensed by Armour is called Haemate?

16 A. No. It's called Humate.

17 Q. Humate.

18 A. Humate P.

19 Q. And is that used in the treatment of Factor VIII
deficiency

20 in hemophiliacs?

21 A. No. The product is licensed for the treatment of von

22 Willebrand's disease. This is bleeding disorders, disorder

23 which is different from hemophilia, and it is caused by a

24 platelet disorder.

25 MR. GREEN: Thank you, sir.

LAURA M. BRENNAN, Official Reporter

2 Weidmann - cross by Ring

1 You may examine, counsel.

2 CROSS-EXAMINATION

3 BY MR. RING:

4 Q. Dr. Weidmann, we met for the first time at about 12:00
5 o'clock, is that right?

6 A. Yes.

7 Q. And that was at Ms. Kimball's office, is that right?

8 A. Yes.

9 Q. Now, Doctor, is this your first time in a US courtroom?

10 A. I'm sorry; could you speak up a bit?

11 Q. Is this your first time in a United States courtroom?

12 A. No.

13 Q. When did you testify before?

14 A. I testified in 1989 in Portland, Oregon.

15 Q. And was that for one of the defendants in this case?

16 A. Yes, it was for the Defendant Cutter.

17 Q. Cutter?

18 A. Yes.

19 Q. And Cutter is also the one that got you to testify in this
20 case, is that right?

21 A. Not really. I was asked both by Mr. Barr and by Mr. Bell
22 to testify in this case.

23 Q. Okay. So both Mr. Barr and Mr. Bell asked you to testify
24 in this case?

25 A. Yes.

LAURA M. BRENNAN, Official Reporter

1 Q. Mr. Barr is from Cutter, and Mr. Bell is sitting there,
2 right, for Alpha, is that right?

3 A. Yes. But I do not know whether it is my task, but I think

4 Mr. Barr isn't from Cutter. He is not working for Cutter.

5 MR. BARR: I made a tremendous impression.

6 MR. RING: Well, you better tell him, because you
7 wouldn't know it in this courtroom.

8 MR. GREEN: He sure ain't working for me.

9 MR. RING: Huh?

10 MR. GREEN: He sure ain't working for me.

11 MR. RING: I don't know.

12 BY MR. RING:

13 Q. At any rate, who is going to pay you? Is it going to be

14 split between Cutter and Alpha?

15 A. What do you mean by "paying"?

16 Q. Well, your travel. You are going to get expenses for your

17 time, aren't you?

18 A. No, not for my time. I think it's self-understood that
19 the

19 travel is paid. But I don't have any expenses for being, any

20 payments for being here for my time spent.

21 Q. All right. That's because you're all in the same
22 industry?

22 You're with a pharmaceutical company that owns Behringwerke,

23 right?

24 MR. GREEN: Object, Your Honor. I think it's

25 argumentative.

LAURA M. BRENNAN, Official Reporter

4 Weidmann - cross by Ring

1 THE COURT: Overruled.

2 BY MR. RING:

3 Q. Is that right? I don't know how to pronounce it, so don't

4 hold me. It's Hoechst --

5 A. No. That's not a problem. But I feel a bit concerned
6 about your statement that I'm talking here on behalf of the
7 pharmaceutical industry.

8 Q. I'm trying to find out, sir.

9 A. What --

10 Q. I'll withdraw the question.

11 A. Thank you.

12 Q. We don't have to belabor it.

13 Let me ask you, sir, is Behringwerke still in
14 business?

15 A. Yes.

16 Q. It's a very viable company?

17 A. Yes.

18 Q. And its products are good products?

19 A. I think so.

20 Q. All right. And the company you now work for since '89 is

21 the parent of that company?

22 A. Yes.

23 Q. And again, forgive me, would you say it once more?

24 A. Hoechst.

25 Q. Hoechst, is that it?

5

Weidmann - cross by Ring

1 A. Yes.

2 Q. Okay. And Hoechst operates in the United States and in
3 Germany?

4 A. Yes.

5 Q. And it's a big pharmaceutical company, right?

6 A. Yes.

7 Q. Now, sir, if I recall what you said this couple hours ago,
8 you had nothing to do with the development of the Behringwerke

9 pasteurization process, right?

10 A. No, because for two reasons, I was in clinical research,
11 and we have a strict separation.

12 Q. Whatever the reason, I don't question it. I just want to
13 be sure that nothing has changed since 1:00 o'clock.

14 You had nothing to do with the development of the
15 process, right?

16 A. No.

17 Q. And the first time you got anywhere near it was in the
18 middle of 1983?

19 A. Yes.

20 Q. Okay. Now, you're not a physician with a private
clientele

21 of patients, are you?

22 A. No.

23 Q. All your work is in connection with clinical studies at
24 Behringwerke?

25 A. Yes.

LAURA M. BRENNAN, Official Reporter

1 Q. That has to do with that product?

2 A. It was; sorry.

3 Q. I'm sorry?

4 A. It was. It's not anymore.

5 Q. Yeah, you're right. Okay. You left in '89?

6 A. Yes.

7 Q. Did you have anything to do with the sale of that product?

8 A. No.

9 Q. So that as far as marketing, you had nothing to do with
it,

10 right?

11 A. Not in the sense of marketing. Of course, I was sometimes

12 asked by the marketing colleagues to give explanations and
help

13 them with argumentations.

14 Q. Of course.

15 A. But it was not a marketing thing.

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

Weidmann - cross by Ring

1 Q And you would do that if someone --

2 A Yes.

3 Q -- stopped you and said, "Ernst, can I ask you this or
4 that"?

5 A Well, sometimes I gave talks.

6 Q Okay.

7 A But not really what you mean by selling or marketing.

8 Q But you, also, if I remember what you said a couple hours
9 ago, did not know anything about the manufacturing process,
10 right? I mean, nothing scientific? You got to learn a little
11 about it, but you didn't develop the process for making any of
12 the Factor VIII, did you?

13 A No, but I think maybe I was not clear some hours ago.

14 Q You are clearer walking over here from --

15 A No, let me please explain because, you know, I am not --
16 this is not my mother language. That is why.

17 Q I will give you all the time. Believe me, you are doing
18 real good. I wish I could do as well in German.

19 A What I -- I am not familiar, of course, with the scientific
20 details of the process, but we had a task force, as I
explained,

21 where we all met and of course there we exchanged a lot of
data.

22 So I learned quite -- let's say the basic understanding of the
23 process.

24 Also, I do not have the details how much of solvent or
25 water or so I need. So I would like to make that point.

LAURA M. BRENNAN, Official Reporter

1 Q Sure, okay. But I think -- well, you know more than I do,

2 so let me just try that.

3 For pasteurization, you need enough heat to kill the

4 virus, right?

5 A Yes.

6 Q And you need a -- what did you call it?

7 A Stabilizer.

8 Q Stabilizer, to protect the protein --

9 A Yes.

10 Q -- from that heat --

11 A Yes.

12 Q -- so you don't kill the protein or no more than is

13 possible?

14 A Yes.

15 Q Is that right?

16 A One could explain that, yes.

17 Q Is that simple enough?

18 A Yes.

19 Q Okay. And in heating the Factor VIII, there would be some

20 denaturing from what you learned --

21 A Yes.

22 Q -- of the protein of the Factor VIII when you are killing

23 the virus?

24 A Yes.

25 Q Okay. And that is what you were saying you would have a

LAURA M. BRENNAN, Official Reporter

3

Weidmann - cross by Ring

1 loss in yield, is that right?

2 A Yes.

3 Q These are things, of course, when they were initiating from

4 '78 to '83; it is nothing you were involved in, but you learned

5 that by being around the plant?

6 A Yes.

7 Q Right?

8 Now, to make up for that yield loss, you needed more

9 plasma?

10 A Yes.

11 Q So it was a trade-off of a virus free and cost, right?

12 A Yes.

13 Q Okay. And so if you wanted to make it virus-free, you
would

14 have to keep on using enough plasma to compensate for the

15 denaturing in the process?

16 A Correct.

17 Q Okay. Now, did you learn that Behringwerke was getting its

18 plasma from the United States?

19 A Yes, about 80 percent of the plasma was originating from
the

20 United States.

21 Q From this country?

22 A Yes.

23 Q Okay. And then when Dr. Heimberger -- and he is a very

24 famous man, isn't he?

25 A He is, yes.

LAURA M. BRENNAN, Official Reporter

1 Q Are you happy you worked under him?

2 A I didn't really work under him. It was great to have him
as

3 a colleague.

4 Q Bad question. They could say if I asked them how happy
they

5 worked for me, you would hear all kind of things, but at any

6 rate --

7 A No, he is a very special person, you are right.

8 Q Okay. And Doctor Schwinn is a very special person?

9 A Dr. Schwinn left Behringwerke shortly after I joined the
10 task force. So I cannot tell you too much.

11 Q And Dr. Schemp?

12 A Dr. Schemp isn't with Behringwerke. Maybe you mean

13 Professor Schwick.

14 Q Schwick, well, all right.

15 A I mean, --

16 Q I get my Germans mixed up.

17 But he was a fine person and a good biochemist,
right?

18 A Yes. Then you mean Professor Schwick.

19 Q All right. Now, at any rate, the company is still in
20 business, is that right?

21 A Yes.

22 Q And it is still selling the same three brands of Factor
23 VIII, is that right?

24 A If you talk about the pasteurized products.

25 Q Yes?

LAURA M. BRENNAN, Official Reporter

5

Weidmann - cross by Ring

1 A Yes.

2 Q Okay. And you said that there was also a non-pasteurized

3 product?

4 A Yes.

5 Q That Behringwerke sold?

6 A Yes.

7 Q Doctor, wasn't one of the reasons that the insurance --

8 whoever pays for insurance there -- is it a nationalized

9 insurance system in Germany? Assurance?

10 A Which insurance?

11 Q Well, whatever you have that pays for medical expenses?

12 A You are talking about house care insurance?

13 Q Yes.

14 A Okay, this is about 98 percent of all Germans are covered
by

15 social security house care plan.

16 Q Did you find out -- I know that this is not during your
time

17 because this is '78, or '81 to '83 -- did you find out that one

18 of the problems or one of the reasons for continuing with the

19 non-pasteurized product was that the health insurers would not

20 pay for the added cost?

21 A That is difficult because the -- as I told you in the

22 beginning, it was also a medical understanding that brought me

23 --

24 Q I understand that.

25 A -- don't need this, but --

LAURA M. BRENNAN, Official Reporter

1 Q Wasn't that one of the reasons --

2 MR. GREEN: Your Honor, may the witness complete his
3 answer?

4 THE COURT: Yes, I think he was not finished with his
5 answer. Go ahead.

6 BY MR. RING:

7 Q Go ahead, finish.

8 A So there is some -- there is a discussion I have to say
9 because I have not ever seen personally in writing that they
10 have turned down the payment for HS if the doctor has
argumented
11 that he needed the product for this patient for special
reasons.

12 But I know that hemophilia treatment is very expensive
13 and that there were discussions whether or not other products
14 with a lower price could be used, but personally I am not aware
15 of any documentable things.

16 Q As far as -- I am sorry. Did you finish?

17 A Yes.

18 Q You are not aware of anything in writing but you are aware
19 that it was a problem to get a heated product that cost more,
20 pasteurized that cost more, unless you could establish by the
21 doctor that it was necessary?

22 A Yes, this was fine.

23 Q Okay. Have you ever tried to get a claim paid in this
24 country from an insurance company?

25 A Fortunately I haven't to do that.

LAURA M. BRENNAN, Official Reporter

7

Weidmann - cross by Ring

1 Q Well, in any event, so these were considerations.

2 When you talked about the doctor's attitude, you don't

3 know what every doctor thought, do you?

4 A No, of course I don't know what every doctor thought, but

5 there is a yearly meeting in Germany, or at least used to be

6 until I left this field of interest, where all the hemophilia

7 treatment centers meet, and there is some kind of consensus

8 discussed on treatment schedules and so on.

9 And in this meeting there was this discussion ongoing

10 and furthermore, Professor Heimberger, when he published for
the

11 first time about this product, also made the suggestion in

12 writing that this probably should be used -- the product should

13 be used due to its limited supply in first order for patients

14 who have not experienced hepatitis yet.

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Weidmann - cross by Ring

1 Q. Okay. So that was based on a supply, not based on the
2 quality of his product, was it?

3 A. Both.

4 Q. Oh?

5 A. The doctors were a bit concerned about the changes which
6 might be made due to the heat, to the protein. I mean, I
don't

7 know whether you can really imagine what it means. Factor
VIII

8 is one of the most heat labile proteins.

9 Q. We know all about that.

10 A. Okay.

11 Q. And you've testified to that. I just want to get a few
12 things from you.

13 I think you misunderstood me. I asked you did Dr.
14 Heimbürger go around and tell them not to use it, because it
15 might have some change in the Factor VIII?

16 A. No, he did not go around.

17 Q. Okay. Now, and, Doctor, you being the representative of
18 the company didn't knock the product in front of the other
19 physicians at this annual meeting, did you?

20 A. I had a chance to be at the meeting. I did not give any
21 papers there.

22 Q. So you didn't talk at the meeting?

23 A. No.

24 Q. And I think I've asked you, you yourself do not treat

25 hemophiliacs except in clinical trials for Behringwerke?

LAURA M. BRENNAN, Official Reporter

2

Weidmann - cross by Ring

1 A. Well, I don't want to be hypocrite, but no company does
2 treat patients. So we always are theoretically --

3 Q. Okay.

4 A. -- working with protocols and with definitions and so on.

5 We don't have patients, yes.

6 Q. All right. And, Doctor, one other thing. You talked
about

7 -- now, by the way, you mentioned Haemate. Am I saying it
8 right?

9 A. Yes.

10 Q. HS?

11 A. Yes.

12 Q. And that was the registered name in Germany?

13 A. Yes.

14 Q. And that followed, that was processed under the Heimburger
15 patent?

16 A. Yes.

17 Q. In Germany?

18 A. Yes.

19 Q. And that was in 1979 when he filed it?

20 A. Yes.

21 Q. And in Germany, that becomes open or public so anyone can
22 attack it if they want to object to it?

23 A. Yes.

24 Q. Okay. And Haemate PS, that's the international name?

25 A. Haemate P.

LAURA M. BRENNAN, Official Reporter

3

Weidmann - cross by Ring

1 Q. P?

2 A. P only.

3 Q. I thought you added an S.

4 Q. No.

5 Q. I know you said that during your deposition a couple hours

6 ago.

7 A. I'm sorry.

8 Q. I thought you added an S.

9 A. If I did so, that's wrong.

10 Q. Okay.

11 A. It's P for pasteurized.

12 Q. Okay. And that is the international?

13 A. Yes.

14 Q. Okay. Is that the same process as HS, as Haemate HS?

15 A. Yes.

16 Q. Made the same way, same process as is disclosed in the

17 German patent?

18 A. Yes.

19 Q. And then the one that's being sold in this country,

20 Humate-P?

21 A. P.

22 Q. That's 1986?

23 A. Yes.

24 Q. And that's the same process?

25 A. Yes.

LAURA M. BRENNAN, Official Reporter

1 Q. Now, the Humate-P was not licensed -- and by the way, so
2 we're clear, Haemate, H-a-e-m-a-t-e, HS, that was sold in
3 Germany?

4 A. Yes.

5 Q. And Haemate, same spelling, P, that was international?
6 Does that mean it was sold in countries outside of Germany?

7 A. In countries outside of Germany, but in a rather limited
8 number, for example, in Austria and Spain, not in France.

9 Q. All right.

10 A. At that time, not in Italy, for example.

11 Q. But the difference between HS and P is merely to identify
12 where it's going?

13 A. Well, you see, I told you that HS means heat sterilized --

14 Q. Yes.

15 A. -- in German. And the marketing people saw that
16 pasteurized would be more catchy to the user outside of
17 Germany. That's why they had different names.

18 Q. But the patent is for a pasteurized product, isn't it?

19 A. Yes.

20 Q. Okay. So you were doing nothing wrong by using P for
21 pasteurized in countries outside of Germany, is that right?

22 A. I think so.

23 Q. And I take it that nothing was done by Behringwerke to
24 change the registration from HS to pasteurized in Germany?

25 A. No.

LAURA M. BRENNAN, Official Reporter

5

Weidmann - cross by Ring

1 Q. You just left it that way?

2 A. We just left it.

3 Q. Okay. And then when you got to this country, you were
4 having some trouble in trying to get it licensed by the FDA,
5 right?

6 A. I tried to explain that this was nothing actually with the
7 product. It was a different understanding of the clinical
8 trial methodology in Germany and Europe versus the FDA
9 understanding here. And there had to be certain formal things
10 be arranged and discussed.

11 Q. Did you hire some patent lawyers or -- strike that.

12 Did you hire some lawyers to try to get the FDA to
13 license the product?

14 A. No. This was all handled by our DRA, the drug regulatory
15 affairs --

16 Q. From where?

17 A. -- department.

18 Q. From?

19 A. From both Germany and our American affiliate.

20 Q. Okay. And they filed applications for the Federal Drug
21 Administration for a license?

22 A. Yes.

23 Q. Okay. And they filed it in what year?

24 A. In '83.

25 Q. '83. And by the way, the patent that Dr. Heimbürger, that

LAURA M. BRENNAN, Official Reporter

1 is, the process that he invented, that was for, it was a
2 pasteurization process for hepatitis B, wasn't it?

3 A. It started with hepatitis B, yes.

4 Q. Yeah. So that was the object, was to kill the hepatitis
B virus?
5

6 A. Yes.

7 Q. Which is a very tough virus, isn't it?

8 A. Yes.

9 Q. And when you started to test and see what effect it had on
10 non A-non B, that hadn't been identified yet, had it?

11 A. No. But there's a special problem. You do not need to
12 have the virus identified for inactivation experiments. But
13 what you need, you need to have an identifiable infectious
14 agent in a given strains let me say. And these experiments we
15 could do when we received Hutchinson pool from the NIH, which
16 was in 1984, 1985.

17 Q. But when you were using it or testing it for non A-non B
--
18 which we now call C?

19 A. Yes.

20 Q. And that's just a few years ago, isn't it?

21 A. Yes.

22 Q. But everybody knew about non A-non B. They couldn't
23 identify it or hadn't identified it, so they just gave it that
24 name, non A-non B, is that right?

25 A. That's right. One of the problems with non A-non B, and
it

LAURA M. BRENNAN, Official Reporter

1 also holds true for C as well, there might be other viruses.

2 We have identified one out of the viruses which cause the
3 disease pattern of non A-non B. Whether C is the only agent
4 responsible for that remains open at this time.

5 Q. Okay. And that is because there are always new viruses
6 being discovered, right?

7 A. Yes. But I don't really know whether we could say that
for

8 non A-non B, because we already know the disease and that it's
9 caused by very high probability by a virus. So I wouldn't say

10 that non A-non B is not an unknown virus. Also, we haven't
11 identified it yet.

12 Q. Okay. But there are viruses that come up or are
discovered

13 all the time, isn't there?

14 A. Yes.

15 Q. Okay. And we're not at the end of the world, the virus
16 world yet. There is more going to be coming, right?

17 A. Maybe.

18 Q. Do you think we've killed them all?

19 A. No. But I think it's difficult to say that more is
coming.

20 There might be more coming. But it also could be that we are
21 at the end.

22 Q. All right.

23 A. But it's better, it's better to assume that more is
coming.

24 Q. All right. Well, as a scientist, you have to look at it
25 that way, don't you?

LAURA M. BRENNAN, Official Reporter

1 A. Yes.

2 Q. And you have to be prepared for things like that, don't
3 you?

4 A. Yes.

5 Q. Okay. Now, over the last 50 years, there have been more
6 viruses that have come up?

7 A. Yes.

8 Q. And as far as viruses, even before you came to work for
9 Behringwerke, you knew that viruses were sensitive to heat?

10 A. Yes.

11 Q. All right. For example, albumin, 50 years ago they
12 discovered 60 degrees Centigrade for 10 hours would kill that
13 virus?

14 A. Yes.

15 Q. Would kill the virus in albumin?

16 A. Yes.

17 Q. And, Doctor, with respect to the FDA, you had the people
18 in
19 this country, and I don't know what, you know, what their type
20 of work was, but you had people trying to get the Behringwerke
21 process licensed in this country, is that right?

22 A. The product licensed?

23 Q. Yes. Well, you'd have to get FDA license in order to sell
24 it here?

25 A. Yes.

25 Q. And you wanted to sell it here, certainly in '83?

LAURA M. BRENNAN, Official Reporter

1 A. Yes.

2 Q. Or you wouldn't have filed for a license if you didn't
want

3 to sell it?

4 A. Yes.

5 Q. Okay. Now, you had trouble getting a license, I take it,

6 from the length of time it took you?

7 A. Is that a question? I'm sorry.

8 Q. Yeah, that's a question.

9 A. I don't know what --

10 Q. You've learned a lot in two cases.

11 A. I'm sorry?

12 Q. I'm just saying you've learned a lot about our system in

13 two cases. You know how to ask, you know, to clarify a

14 question. You've only testified in the two cases you told us

15 about?

16 A. Yes.

17 Q. This one and the one up in Oregon?

18 A. That's right.

19 Q. All right. Then, Doctor, finally, you did get a license,

20 didn't you? Behringwerke got a license to sell --

21 A. Yes.

22 Q. -- their Humate, which is the same product under --

23 A. Yes.

24 Q. -- a different name.

25 By the way, who named it Humate?

LAURA M. BRENNAN, Official Reporter

10 Weidmann - cross by Ring

1 A. I guess this was an idea from the licensing, from Armour.

2 Q. Armour?

3 A. Yes.

4 Q. When did you get involved with Armour?

5 A. Personally?

6 Q. Well, I don't mean -- well, okay. That may be a good
7 thing. Personally, did you know Armour before you -- before

8 they became involved in the sale of Humate?

9 A. No.

10 Q. All right. So at any rate, did you talk to anybody from

11 Armour when they first came into the picture?

12 A. Yes. Actually, I remember quite well we had a meeting
13 where we, I think it was in '85 or so, where we did some
14 training with the Armour people on our product.

15 Q. And your people explained to them what the product does,
16 that it was a pasteurized product?

17 A. Yes, yes.

18 Q. And from what you told them and what they saw in the
19 process that was patented, they wanted to sell the product or

20 they wanted to make the product? Which was it first?

21 A. They wanted to sell the product.

22 Q. Sell the product, okay. So they needed a license from
23 Behringwerke?

24 A. Yes.

25 Q. Did you then tell them that there is a little bit of a

LAURA M. BRENNAN, Official Reporter

11 Weidmann - cross by Ring

1 problem, that the FDA somehow doesn't seem to be moving along?

2 A. This was not my business, because I'm clinical. And this
3 was legal or licensing department.

4 Q. Okay.

5 A. So I didn't have this insight.

6 Q. So yours is clinical, which as I understand means the
7 testing?

8 A. Yes.

9 Q. Okay. Now, at any rate, you met with Armour or your --
10 were you there at the first meeting with Armour?

11 A. I don't know. I was in a meeting in '85. Whether the
12 legal department or whoever had discussions or the board
13 members, I was not higher management.

14 Q. At any rate, sir, Armour got a license, right?

15 A. Yes.

16 Q. And, now, before Armour got the license, did Behringwerke
17 have to change anything in the application or format that it

18 had filed with the FDA?

19 A. I think there were some changes. But since this was
20 handled by DRA, I'm not aware of the details.

21 Q. I think you told us between 12:00 and 1:00 that there were
22 none. Is that about as much as you know? And I know you
23 don't

23 know all about the licensing.

24 MR. BERKMAN: Objection. I don't think that's -- I

25 think he said he didn't know at his deposition.

LAURA M. BRENNAN, Official Reporter

12 Weidmann - cross by Ring

1 MR. RING: That's good enough.

2 BY MR. RING:

3 Q. That's what I'm saying, that you didn't know. So you
don't

4 know what was there, right?

5 A. Yeah. But it was handled by DRA. I don't know -- what I

6 said is that I guess there was no change. No, in fact, there

7 couldn't be a change in the technical process. But whether

8 there have been changes in the papers or so on --

9 Q. In the paper work, you don't even know?

10 A. That was done by the DRA.

11 Q. But certainly not in the process?

12 A. No.

13 Q. All right. Let me ask you then another thing. How long

14 after Armour came into the picture was there a license?

15 A. Could you explain? I don't understand what you're asking.

16 Q. Yeah. I want to know the time between Armour asking

17 Behringwerke, you know, for a license, and the FDA approving

18 it.

19 MS. GOURLEY: I'm going to object, Your Honor. I

20 think he is confusing license here. I'm not sure what kind of

21 license he is referring to at this point.

22 BY MR. RING:

23 Q. The license from the FDA to sell it.

24 A. I'm sorry, I cannot answer your question, because I don't

25 know when the discussions on licensing of the product with

LAURA M. BRENNAN, Official Reporter

13

Weidmann - cross by Ring

1 Armour started, so I cannot give you the time. I told you
that

2 I'm not aware of this.

3 Q. And I don't want you -- yeah, you did. And I don't want
to

4 get you into something you don't know.

5 But as I understand it, just to sum up, the meeting

6 that you attended with Armour was in '85. Do you know when in

7 '85? Do you know what month? Was it cold like in Germany, or

8 was it like Miami?

9 A. It was in California, and, therefore, it's difficult to
say

10 when it was.

11 Q. Okay.

12 A. But as far as I remember to the best of my knowledge, it

13 was in February.

14

15

16

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

1 Q Okay. All right. You got that one right. In
California

2 you can't tell. All right.

3 But, at any rate, in 1986 it was
licensed?

4 A Yes.

5 Q And just a couple of things -- by the way, from
what you --

6 and I know this is what you've looked at. You
weren't involved

7 in it, but did Behringwerke do any animal studies?
Did they do

8 any chimpanzee studies?

9 A I was very, very involved in these issues
because as I told

10 you, we had this task force where virologists,
technical, and

11 production people all had to be together to discuss
these

12 things.

13 Yes, I know about animal experiments. I
think I

14 mentioned that we had done the last chimp trial in
'84, '85

15 with non-A, non-B Hutchinson pool plasma.

16 Q All right. And those tests were sent on to the
FDA?

17 A I guess so. I don't know.

18 Q Well, were any trials -- other than what was
done that you

19 described -- done after Armour came into the
picture?

20 A Well, we described this non-A, non-B -- I

described this

21 non-A, non-B clinical study in previously untreated
patients.

22 This was closed only after the end of '86.

23 Q Okay. But other than that, there was no more
chimp studies

24 required, nothing more required of chimpanzee
studies?

25 A Not to my knowledge.

1 Q So other than submitting the clinical trials on
non-A,

2 non-B, there is nothing you know of that was sent
to the FDA?

3 A Well, that I can't tell because this was done
by American

4 colleagues, so I don't know what they did at what
time.

5 Q Well, that's fair enough.

6 MR. RING: I don't think I have any other
questions,

7 your Honor. Wait. I'm told there is one. I've
got to

8 listen.

9 BY MR. RING:

10 Q By the way, we are talking about Factor VIII,
aren't we?

11 A Yes.

12 Q Not Factor IX?

13 A We're talking about Factor VIII.

14 Q Yes. And Factor VIII is for persons with
hemophilia A?

15 A And Von Willibrant's disease.

16 Q And Von Willibrant's disease. I always mix
that up.

17 A It's an extra special feature of the Humate HS
or P

18 product, that it can be used in Von Willibrant's
disease.

19 Q It can be used for that?

20 A Yes.

21 Q But the patent and the process was for
hepatitis B, wasn't

22 it?

23 A Yes.

24 MR. RING: I don't think I have anything
else.

25 CROSS-EXAMINATION

2

Laura M. Brennan, Official Reporter

1 BY MR. BARR:

2 Q Good afternoon, Dr. Weidmann.

3 A Good afternoon.

4 Q When did the BGA in Germany -- when they
allowed

5 Behringwerke to say to the public that the
Behringwerke product

6 did not transmit hepatitis B, a claim? What year
was that?

7 A Well, actually that's a very difficult issue
because while

8 we were doing all these studies, the HIV problem
came to its

9 full extent, and the BGA was going for glass
labeling of the

10 Factor VIII products. And the glass labeling was
-- regardless

11 of the activation procedure you had, you had to say
that Factor

12 VIII products could transmit viral disease,
especially of

13 unknown viruses, so that there was no claim
possible at that

14 time any more that transmission of hepatitis B or
non-A, non-B

15 virus was not observed.

16 What we could do and we have done, that
is, we have

17 called the animal experiments and clinical studies.
In these

18 studies, hepatitis B was not seen -- hepatitis
non-A, non-B.

19 And this was following the trials we had done with
Professor

20 Manucci in '85 -- in '86, I guess.

21 Q 1985 or 1986?

22 A Yes.

23 Q And when were you able to make the claim that
it would not

24 transmit, based upon the trials non-A, non-B?

25 A It was roughly the same time because this was
all together

1 in one trial.

2 Q When were you able to make a claim that it
would not

3 transmit HIV based upon these trials or any trial
or any

4 experiment?

5 A I think about the same time because that was
when we had

6 the data from Dr. Prince.

7 Q Am I correct that it was in 1985 that you did
experiments

8 with Dr. Prince from the New York Blood Center to
ascertain

9 that the process Behring was using to heat its
medicine would

10 kill the new viruses that they had found that
caused AIDS?

11 A Yes.

12 Q Is that when this occurred?

13 A That was in the beginning of 1985.

14 Q Are you or were you aware that Cutter had done
its work to

15 determine that its product, that its medicine did
not transmit

16 HIV in 1984?

17 A As far as I can remember, the application of
Professor

18 Levy, but he was experimenting with miscellaneous
virus, so I

19 cannot remember when -- to see if application when
the first

20 trial of HIV virus itself was done.

21 Q Finally, are you here testifying on behalf of

the

22 pharmaceutical industry? Remember Mr. Ring asked
you some

23 questions about that.

24 A No, I'm testifying here to make clear what the
state of the

25 art in Behringwerke was at what time. This is what
I would

1 like to testify on.

witnesses 2 Q Your expenses are being paid, but unlike some

an hour to 3 that we've heard who are receiving 3- or 4- or \$500

correct? 4 testify here, you are receiving nothing, is that

5 MR. RING: We don't need a lecture.

this up. 6 MR. BARR: Your Honor, I didn't bring

rhetorical, 7 THE COURT: The "unlike" part, that's

8 that's true.

9 BY MR. BARR:

that correct? 10 Q You're not being paid anything to testify, is

11 A Except my expenses.

further. 12 MR. BARR: Thank you. I have nothing

just let me 13 MR. GREEN: I have one thing, your Honor,

14 look at my notes

15 (Brief pause.)

Honor. 16 MR. GREEN: I don't have anything, your

17 THE COURT: Do I understand that

Behringwerke never

18 obtained a license in the United States for a

product that

19 would treat hemophilia?

20 THE WITNESS: No. Behringwerke got a

license in 1986

21 for the Humate-P, but as far as I can remember,

since the

22 licensee had a product which was used for treatment
of

23 hemophilia and the amount of product was limited,
the decision

24 was to use this product for its unique indication
to Von

25 Willibrant disease, because no other heat-treated
products were

1 available at that time to be used in that
indication.

2 THE COURT: Was it also suitable,
however, for use in

3 hemophilia.

4 THE WITNESS: Yes. It's used in Germany.
It's used

5 in both indications.

6 THE COURT: Did Behringwerke apply for a
license to

7 sell it for hemophilia in the United States?

8 THE WITNESS: I'm sorry. Since this was
done by our

9 colleagues in the DRA in U.S., I'm not aware what
the

10 submission -- how the submission was formulated.

11 THE COURT: Any questions from the jury?
All right.

12 MR. RING: I --

13 MR. GREEN: Your Honor, there is no
redirect, so I

14 presume there is no recross.

15 THE COURT: I asked a question and Mr.
Barr asked

16 questions.

17 MR. RING: Do you want to ask a question?

18 MR. GREEN: Go ahead. I'll go last.

19 MR. RING: No, you go last.

20 THE COURT: Cross is last, so if you have
anything,

21 Mr. Green, you ask it and then Mr. Ring will
conclude it.

22 MR. GREEN: I have nothing.

23 THE COURT: Unless I have something I
want to ask.

24 That's different.

25 REDIRECT EXAMINATION

6

Laura M. Brennan, Official Reporter

1 BY MR. RING:

2 Q In light of the questions Judge Grady put to
you, sir, the

3 product being sold, Humate, that's the same
pasteurized

4 product. What does it say it's for on your label?

5 A "Intravenous route in the treatment of patients
with

6 classic hemophilia A."

7 Q That's Factor VIII?

8 A Yes.

9 Q All right.

10 A But the understanding we have is that it's only
--

11 Q I don't care about your understanding, this is
what the

12 label is. The understanding is what, between you
and Armour?

13 Is that what you want to get into?

14 MR. GREEN: Your Honor, I can't hear
counsel so I

15 think I'm going to object. It sounds like he's
arguing with

16 him from where I am.

17 MR. RING: I'll withdraw that question.

18 BY MR. RING:

19 Q It's a Factor VIII product for hemophilia A
patients?

20 A Yes, but as I tried to explain to his Honor,
that due to

21 the availability to the later product, it was
emphasized to use

22 it only in that indication. This is just what I
want to say.

23 Q You have an availability problem now?

24 A No. When it was in '86.

25 Q In '86. There is no problem now, is there,
with

1 availability?

2 A You see, I left the company in '89, so I'm
sorry I can't

3 answer these questions.

4 Q In '89 was there a shortage of plasma to make
this product?

5 A There was a shortage compared to the market
demand, yes.

6 Q So the market demand was greater than what you
could

7 supply?

8 A Yes.

9 Q That's a good thing, isn't it?

10 A For a company, yes.

11 MR. RING: Thank you. I have no other
questions.

12 THE COURT: There was one more thing I'm
not sure I

13 understood going way back to your original
examination.

14 You said that one of the risks -- one of
the two

15 problems with the product in Germany was the
possibility that

16 the patient would develop antibodies to the
protein.

17 THE WITNESS: Yes.

18 THE COURT: And thereby become more
difficult to

19 treat.

20 THE WITNESS: Yes.

21 THE COURT: Was that not taken care of
before the

22 product was licensed; in other words, did the
German government

23 license a product that had that problem?

24 THE WITNESS: Well, the problem is, you
see, it's a

25 human protein, and the only experiments you could
do is in

protein. By 1 animals. And then for an animal, it's a foreign
this animal 2 definition, if you give it to an animal repeatedly,
there's a 3 will have to develop antibodies against it, so
these -- 4 limited chance, but you do not know how to evaluate
probably 5 this chance. There's a limited chance that you
could see 6 could -- if there would be a risk that you probably
animal with a 7 that the antibodies would arise earlier in an
than with 8 product which is prone to this inhibitor formation
9 the other product which is not prone to that.

more likely 10 THE COURT: Was the heat-treated product
varieties of 11 to give rise to an inhibitor than all of the
12 none-heat-treated products on the market?

assumption on 13 THE WITNESS: It was a suspicion or an
changes in the 14 the basis of the heat treatment and the possible
was not 15 protein. It was an assumption, but this assumption
an 16 totally wrong. I think what we have seen, which is
Cross 17 unfortunate event in the Netherlands, where the Red
development 18 developed such a product and had to stop the
19 because there was an increase in inhibitors in

these patients.

20 THE COURT: All right. Thank you. Okay.
Thank you,

21 Doctor. You may be excused

22 (Witness excused.)

23 MR. GREEN: We have no further witnesses
for the day,

24 your Honor.

25 MR. BARR: Your Honor, I guess I'm going
to be

Mozen - direct by Barr

1 calling the next witness, but I have to set up the
projector.

2 THE COURT: How long will that take?

3 MR. BARR: One minute. I think.

4 MS. GOURLEY: Your Honor, do you know how
long we're

5 going to be going today?

6 THE COURT: Well, 5:30. Since we have
got such a

7 short day -- I don't like to keep the jury after
5:30. If you

8 would like to stay until 6:00 -- what do you think?
5:30?

9 MR. BARR: Thank you, your Honor.

10 THE COURT: Yes.

11 MR. BARR: I'll call Dr. Milton Mozen.

12 Your Honor, may I provide Dr. Mozen's CV
to the

13 jurors?

14 THE COURT: Yes.

15 MILTON MOZEN, DEFENDANTS' WITNESS, DULY
SWORN

16 DIRECT EXAMINATION

17 BY MR. BARR:

18 Q Dr. Mozen, you are a Ph.D., is that correct?

19 A Yes.

20 Q And you received that in 1955 from the
University of

21 Wisconsin, is that right?

22 A That's correct.

23 THE COURT: Will you state your name for

the record

24 because the reporter doesn't have that.

25 THE WITNESS: My name is Milton Mozen,
M-o-z-e-n.

10

Laura M. Brennan, Official Reporter

1 BY MR. BARR:

2 Q Will you tell us, if you will, where you were
employed
3 after receiving your Ph.D.?

4 A After I received my Ph.D., I worked for two
years on a
5 post-doctoral appointment at what was then called
Western
6 Reserve University. It's now Case Western Reserve.
I spent
7 two years there and then took a job with Abbott
Laboratories in
8 North Chicago, Illinois where I was employed for
ten years.

9 And following that I moved to Berkeley,
California
10 where I was employed and am employed by what was
then called
11 Cutter Laboratories, now referred to as
Miles-Cutter.

12 Q Dr. Mozen, tell us, if you will, have you since
1967 been
13 involved in research and development activities in
any
14 particular area?

15 A Yes. Since joining Cutter-Miles in 1967, my
research
16 activities have been almost primarily and solely
involved with
17 the development of products from human plasma --
human plasma
18 derivatives and the improvement of existing
products,
19 developing new processes.

20 Q Have you been involved in some way with a plasma derivative

21 that we've heard about in this courtroom on occasion called

22 albumin?

23 A Yes, to a limited extent that product was already licensed

24 at the time I joined the company, but like all of our products

25 there is an ongoing program of the product improvement, and we

Mozen - direct by Barr

1 had some projects to improve albumin, also.

2 Q Dr. Mozen, have you known, since you did your
work at --

3 first of all, at Toledo and later at Purdue
University and

4 later at the University of Wisconsin, that viruses
and albumin

5 could be killed by heat?

6 A Well, it's certainly well-known since World War
II that

7 hepatitis can be transmitted in serum. And it's
what I really

8 learned after joining Cutter that hepatitis, which
was a

9 problem when albumin was developed in the '40s, was
eradicated

10 by a pasteurization process that was developed
wherein certain

11 unique stabilizers, unique to albumin, were
included in the

12 solution and then the albumin could be heated at 60
degrees

13 centigrade, which is approximately 154, I think,
Fahrenheit for

14 about ten hours. And this rendered the product
empirical.

15 There were no studies that could be done
at the time,

16 but empirically, that is, through extended and
years of use,

17 they found that it did not transmit hepatitis.

18 Q Hearing it in this courtroom is not something
new for you,

19 is that correct?

20 A I'm sorry. I missed that.

21 Q Hearing that viruses can be killed by heat in
albumin is

22 not something new for you to have heard in this
courtroom?

23 A No, we've been through that, yes.

24 Q Dr. Mozen, at some point in time did you begin
to hear

25 reports that a new disease might possibly be
transmitted, other

1 than hepatitis, in plasma derivatives?

2 A Yes.

3 Q And can you tell us the events surrounding that
information

4 coming to your attention and about approximately
when it was?

5 A Well, I think as the jury has heard a few
times, beginning

6 in '82 and into '83 the disease, which was named
AIDS, the

7 acquired immune deficiency syndrome to have been
first

8 described in 1981 in gay men, particularly, and
drug users.

9 This disease, which became more prevalent
in the

10 population, was begun to be seen in hemophiliacs
starting --

11 well, in retrospect, we know in '82. We didn't
know that then,

12 but say '82, '83. And the possibility of that
being a

13 blood-borne disease or a plasma-derivative-borne
disease was

14 one of the propositions which people were looking
at.

15 Q Did it cause you concern because of the work
that you were

16 doing or had done at Cutter about the possibility
that plasma

17 derivatives might transmit this new disease or be
associated

18 with it?

19 A Yes, it did.

20 Q Were there meetings held at Cutter where
various groups got

21 together to discuss this potential problem?

22 A Well, the problem had to be discussed in the
light of what

23 information was coming to us, which, of course, was
very sparse

24 in those days; but as information filtered out from
the CDC or

25 the FDA, and also the National Hemophilia
Foundation, these

1 memos or communications were, of course, discussed
early. And
2 we attempted to see whether there was any relevance
to what we
3 were doing, whether there was anything we could do,
and we
4 attempted very stringently to stay on top of what
was
5 happening.

6 Q While you were in research and development of
plasma
7 derivatives, were there other departments, if you
recall, at
8 Cutter that dealt with other aspects of plasma
derivatives that
9 were not research and development?

10 A Yes. Really plasma derivatives constituted
essentially the
11 main industry of our company, that is, all of our
efforts were
12 directed in those -- involving those derivatives,
so we had a
13 clinical research department that was constantly
involved.
14 There was a microbiology department, a physiology
and
15 experimental therapy department that conducted the
necessary
16 animal tests.

17 There was a microbiology department that
worked on
18 the microbiological and viral studies, of course,
regulatory
19 affairs, which was involved with all of our
interaction with

20 the FDA or other regulatory agencies. In general,
all of these

21 departments interacted together to achieve the
objectives that

22 we had set.

23 Q And while you were not in any of these
particular numerous

24 other departments that you've named, from your
position at

25 research and development, did you regularly meet
with either

order to 1 the heads or other people in these departments in

about this new 2 ascertain what they were doing and finding out

3 disease?

4 A Yes.

going on in 5 Q Did you keep yourselves apprised of what was

6 other departments?

7 A Yes, always.

all, but you 8 Q And, Dr. Mozen, I'm not going to go through it

were you not? 9 were here when Dr. McAuley testified from Alpha,

10 A Yes, I was.

of 1982, 11 Q Dr. McAuley testified about a time at the end

from plasma at 12 early 1983, when high-risk donors were excluded

13 Alpha, do you recall that?

14 A Yes, I do.

to attempt 15 Q Did Cutter enter into generally such a program

beginning of 16 to eliminate high-risk donors at or about the

17 1983?

18 A Yes, we did.

Exhibit BB 19 Q Let me hand you documents that I will mark as

signs that 20 and Exhibit CC and ask you if BB is an example of

beginning 21 were placed in the plasma collection centers at the

Cutter 22 of 1983 where plasma was collected for use in
 23 coagulation product?
 24 A Yes. It's my understanding that this is the
information 25 that was presented at our plasmapheresis centers.

15

Laura M. Brennan, Official Reporter

1 Q I'm handing you what has been marked as Exhibit
CC.

2 Is this a document that was given to a
plasma donor

3 at or about the beginning of 1983 each and every
time they came

4 in to donate and that they were required to sign
their

5 signature to before they were allowed to donate?

6 A Yes.

7 Q And it indicates at the bottom "I certify that
I am not a

8 member of any at-risk group described above."

9 And would you tell the ladies and
gentlemen who the

10 at-risk groups were that the donor had to certify
he was not a

11 member of before he could donate?

12 A This particular document that had to be signed
by the

13 donors had to certify that they were not a member
of four

14 groups, namely, male homosexuals, intravenous drug
users,

15 residents or visitors to Haiti within the last five
years.

16 MR. BARR: Your Honor, may I pass these
to the jury?

17 Please. Thank you.

18 BY MR. BARR:

19 Q Dr. Mozen, since 1983, when these donors -- or
when people

20 were asked to self-exclude and not donate, have
there been

discussed 21 papers published in the medical literature that
22 whether or not the exclusion of these donors by
entities that
23 collected blood or plasma, whether those measures
were
24 effective in eliminating those donors that might be
at high
25 risk?

1 A There have been several papers published by
organizations
2 that have used the self-exclusion program. And the
conclusion
3 from these publications was that the self-exclusion
program was
4 extremely effective in removing somewhere up to 90
percent of
5 donors who were at risk.
6 Q And referring now -- and I won't dwell on it
also, but
7 referring to prison plasma, when did Cutter stop
accepting
8 prison plasma for use in coagulation products,
Factor VIII or
9 Factor IX?
10 A It seems it was -- I can't recall the exact
date. It was
11 back in 1983 as I recall.
12 Q Were you present during Mr. Hink's testimony
when he
13 testified it was the first quarter of 1983?
14 A Yes, I was here.
15 Q Who was Mr. Hink?
16 A Mr. Hink at that time was what's called
director of plasma
17 procurement. His responsibility was to oversee all
of the
18 plasmapheresis centers that Cutter owned and those
that we
19 contracted with to obtain plasma.
20 Q Dr. Mozen, as head of the research and
development from the

21 first quarter of 1983 would any prison plasma, any
plasma that

22 came from prisoners, have been excluded from the
manufacture of

23 Factor VIII or Factor IX?

24 A It's my understanding it would be, yes.

25 Q Now, tell the jury, if you will, what is a
hyperimmunized

1 donor.

VIII that we 2 A You've heard mostly about the product Factor

group of 3 manufacture from plasma, but another very important

you know 4 products are called the immune globulins. Some of

fraction of 5 them as the gamma globulins. These represent the

antibodies, really 6 human plasma that contain a great number of

assortment of 7 an uncountable number. We know there's a large

8 these antibodies.

specific 9 Sometimes one wants to focus in on a

antibody or 10 antibody, for example, tetanus antibody or rabies

whooping cough. 11 mumps antibody or pertussis antibody, that's

high-titer 12 What is done to obtain these -- what we call --

high level of 13 antibodies is we have to find a donor who has a

say a donor 14 these antibodies. They are immunized so that if

rabies and 15 receives a rabies vaccine, he's immunized against

16 then develops an antibody against rabies.

immunized 17 Now, if we prepare from a pool of these

gamma 18 rabies donors -- if we make our immunoglobulin or

immunoglobulin 19 globulin product from that pool, then we have an

that if 20 product with a high titer of rabies antibody. So

21 somebody is at risk, they have been bitten by an
animal or in

22 some way have been in contact where rabies is
suspected, then

23 they could get an injection of this -- what's
called

24 hyperimmune gamma globulin in order to serve as a
prophylaxis

25 to ward off against rabies.

1 The same thing is true with tetanus and
with other

2 viral diseases.

3 Q Is a hyperimmunized donor the same as a high
titer donor?

4 We have heard that expression also.

5 A Yes, by and large. Sometimes a donor may have
a high titer

6 from some natural exposure, and if the titer as
it's measured

7 in our laboratory is high, they have a lot of the
antibody,

8 then they can be included in that particular pool
or they will

9 have been immunized in order to generate the high
titer.

10 Q Would there be a purpose in using a
hyperimmunized donor in

11 prison to make high titer plasma products that have
nothing to

12 do with Factor VIII or IX?

13 A Yes, because once the donor has received this
series of

14 injections, the vaccine, for example, many of you
know that

15 rabies takes a whole series of shots in order to
raise the

16 antibody level, well, that's a valuable antibody
for making the

17 medicine to -- as I described.

18 And, you know, in prison we have a
captive

19 population. Once they have been immunized, we know
that they

20 can donate over a period of time and continue to
yield the high

21 titer rabies, for example, or high titer tetanus;
whereas, in

22 the normal population, donors often disappear and
they're not

23 as stable a cohort of donors.

24 Q Now, you've been present throughout this trial,
is that

25 correct?

Mozen - direct by Barr

1 A Yes.

2 Q Heard the testimony of all the witnesses?

3 A Yes, I have.

4 Q Dr. Mozen you've heard testimony about there
being

5 "viruses" in plasma derivatives, is that right?

6 A Yes, I did.

7 Q Do plasma derivatives transmit viruses?

8 A Well, there's been a lot of misunderstanding,
and I would

9 like to speak to this point.

10 When we talk about viruses in blood and
blood

11 components, that is red cells, white cells,
platelets, these

12 same viruses do not occur in plasma derivatives.

13 In fact, for example, when I joined the
company in

14 1967, there was only one disease that was known to
be

15 transmitted by Factor VIII, and that was hepatitis.
In fact,

16 that was rare because there are so many viruses
that might be

17 present in blood that are not transmitted in
derivatives, for

18 example, EBV, the Epstein-Barr virus. There's
cytomegalic

19 virus, which has been CMV, something like syphilis,
something

20 like malaria.

21 All of these can be found in blood, but
they are

22 never found in the plasma derivatives. So what we
were talking

23 about until AIDS was only hepatitis.

24 Q And when you say plasma derivatives, is that
identical to

25 saying Factor VIII for purposes --

Mozen - direct by Barr

1 A Yes, of course.

2 Q Does Factor VIII transmit CMV or chicken pox or
mumps or

3 polio or any number of other viruses that might be
transmitted

4 by whole blood products?

5 A No. Of course, that's what makes hepatitis so
unique

6 because it's unique to be transmitted in a product
like Factor

7 VIII, whereas all of the other viruses that one
finds in the

8 blood could be transmitted through blood are not
found in the

9 Factor VIII concentrates.

10 Q Why is it -- based upon your experience, Dr.
Mozen, your

11 training, and your review of some 25 years of
working in this

12 field, why is it that the hepatitis virus, up until
AIDS, was

13 unique in being transmitted in Factor VIII?

14 A There's really three reasons why one does not
see these

15 viruses in products like Factor VIII. Number one
-- and,

16 again, I don't know if it was clear to everyone
here, but

17 viruses cannot replicate or do not reproduce by
themselves.

18 The only way a virus can reproduce is
when it's in a

19 cell. So when a virus invades the body, it must
first place

20 itself within a cell, become integrated into a
cell, and then

21 it takes over the mechanism of the cell so that the
cell makes

22 the new virus. If you had a solution of plasma,
for example,

23 which is cell-free, remove all the cells, the virus
can no

24 longer promulgate. So although there may be virus
there, it

25 has no ability to replicate and very often dies.
This is true

Mozen - direct by Barr

1 for CMV, this is true for PBV. That's one reason.

2 Another reason that in the process of
making these

3 plasma derivatives -- I'm going to discuss this
more later --

4 we go through a number of chemical additions and
treatments and

5 precipitations, we go through acid, we go through
alcohol. And

6 many viruses -- most viruses do not survive the
rigors of this

7 kind of treatment, and, therefore, they don't end
up in the

8 final product.

9 And thirdly, when you make a pool of
plasma that

10 comes from thousands of donors, you have an
assortment of

11 antibodies in there that is so complete --
completely relative

12 to the population, that if there was a donor that
had a virus

13 infection that got into the pool, it would probably
-- and we

14 know this to be, there would be antibodies from
another donor

15 in this pool that would neutralize that virus.

16 So you really have the three reasons.

17 Q Dr. Mozen, at my request, have you brought to
court some

18 slides that demonstrate how Factor VIII is made
from the time

19 the plasma is collected throughout the entire
process until

20 it's available for use by the hemophiliac?

21 A Yes, I did.

22 MR. BARR: Your Honor, I would like to
show those and

23 ask him to describe it to the jury.

24 THE COURT: Is this the Cutter process?

25 THE WITNESS: Yes. Yes.

22

Laura M. Brennan, Official Reporter

Mozen - direct by Barr

1 And I thought that the visuals would --
as they say,

2 a picture is worth a thousand words. A lot of the
things we've

3 been talking about, I think, by the jury seeing
them would be

4 very useful.

5 MR. BARR: Okay.

6 THE WITNESS: Do you want me to do that?

7 MR. BARR: Sure. If you would like me to
come down

8 here, maybe you could explain that.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Laura M. Brennan, Official Reporter

1

Mozen - direct

1 (Brief interruption.)

2 THE WITNESS: This is basically how things were done
in

3 the time period relevant to this trial. Things have changed.

4 This is simply a picture of a plasmapheresis center with the
5 donors in the kind of reclining couches that they sit in when
6 they are donating plasma.

7 Now, the plasma is drawn in a -- the blood is -- a
8 whole unit of blood is drawn in a bag, and I am sure many of
you
9 who have donated blood have seen these before. And this simply
10 shows the bag of blood on a scale which measures how much can
be
11 taken in the donation.

12 Then it is placed -- the bag is placed in this -- it
13 is called a centrifuge, which rotates very rapidly, you might
14 compare it to your spin dryer on your washer that shoots the
15 water out, and this causes the cells to sediment to the bottom
16 of the bag so you get something that looks like this.

17 The bottom are the red cells and the white cells and
18 some platelets. And what you see above it is the straw yellow

19 clear plasma. Remember, I mentioned earlier that this plasma
is

20 now cell free. There are no cells in that plasma. It is a
21 solution of protein.

22 Now, what happens is that bag is placed in this press

23 which squeezes the bag and expresses the plasma out through one

24 of those tubes into the bottle you see on the right of each

25 press. And then the cells are reinfused into the patient --

LAURA M. BRENNAN, Official Reporter

1 excuse me -- into the donor. So what is happening is what you
2 are seeing there is the bottom layer, the red cell layer, and
to
3 the right of that is some saline because these cells are now
4 kind of thick. So the saline and the cells come together in a
Y
5 and they go back into the same needle that the cells were drawn
6 out of in the first place so you don't have to make a separate
7 venapuncture into the donor.

8 Meanwhile the plasma is collected, as you see here,
in
9 these -- this is now the pooling bags. They in turn are placed
10 in this blast freezer where they are frozen very, very quickly
11 to a temperature approximately minus 20, minus 30 centigrade,
12 which is below zero Fahrenheit. And it is important that they
13 be frozen quickly and very cold to preserve the Factor VIII
14 because Factor VIII is so easily lost, its activity.

15 Now, those bags are transported to our factory in a
16 freezer truck. And what you see there are those frozen bags.
17 And what they have to do to get the plasma out of the bag is
18 they actually make it even colder. They dip those bags into
19 liquid nitrogen, which is approximately 300 degrees below zero.
20 And that makes the plastic so brittle that it just shatters

away

21 and then you have a block of frozen plasma.

22 Blocks of frozen plasma are transferred to a large
23 tank, perhaps something like this tank, which they are allowed
24 to thaw. And they thaw at a temperature only slightly above
25 freezing. So freezing, say, is 32 degrees or 30 degrees when
it

LAURA M. BRENNAN, Official Reporter

1 has salt in it. They are thawed at perhaps 34, 35 degrees. So
2 that takes a long time. And while that happens, there is a
3 residue that does not go back into solution, and that is what
we
4 call cryoprecipitate.

5 So you now have this liquid on top and the
6 cryoprecipitate on the bottom. And the cryoprecipitate is now
7 removed by a centrifuge. But unlike the one I showed you, this
8 is a continuous centrifuge so that the solution can be run in
9 the bottom and out the top. And you're looking there at four
10 centrifuges, and this is run in a room that is below zero in
11 temperature.

12 And the suspension of cryoprecipitate in plasma is run
13 through the bowl spinning at high speed. The solid material
14 packs inside the bowl and the liquid comes out and is used for
15 further processing to other plasma derivatives.

16 BY MR. BARR:

17 Q Dr. Mozen, so we get some idea, how tall are those
18 centrifuges?

19 A They are about four and a half feet.

20 Q Okay, thank you.

21 A I have another picture here of the bowl after -- this is
the

22 bowl that -- and you can see the solid material being removed

23 from the bowl. And the brownish color you see there is a
teflon

24 wrap, which is a liner in the bowl to allow the cryoprecipitate

25 to be removed easily.

LAURA M. BRENNAN, Official Reporter

1 Now what happens is that cryoprecipitate, which is
now
2 in this plastic bag, is emptied into another tank in which it
is
3 dissolved, and it goes through a process for removing
4 fibrinogen, and other none Factor VIII components. And then it
5 is ultimately precipitated; that is, we add something to it to
6 cause the Factor VIII to come down.

7 And then it is centrifuged again, and I have gotten a
8 little ahead of myself here, but it is centrifuged again. It
is
9 put into solution. It goes through a sterile filtration.
10 Sterile filtration is to remove any bacterial type
11 microorganism. And then filled into these little vials. So
12 what you are seeing here is a filling machine. The vials are
13 going through and they will be filled with the Factor VIII
14 liquid, the sterile Factor VIII liquid.

15 This is just another view of a filling machine with,
16 in this case, plasma protein being filled in those bottles.
And
17 then these bottles, Factor VIII containing solution, are frozen
18 and placed in this freeze-dryer. And the bottles are in that
19 tray, they are placed in the freeze-dryer and they are
subjected
20 to very, very high vacuum. And under those conditions the

21 moisture is removed and dry powder remains behind.

22 Now, when we made -- we went from our Koate to our
23 Koate HT that was a dry-heated product, we had to reformulate.

24 And when the bottles came out of this freeze-dryer, they were
25 then transferred to an oven where they were heated at 68
degrees

LAURA M. BRENNAN, Official Reporter

1 centigrade for 72 hours, three days.

2 I think that is the end of the dog and pony show.

3 Q Okay.

4 Dr. Mozen, from Exhibit F, we know that the Cutter
5 heat-treated Koate that was used by -- taken by Mr. Poole had
6 lot numbers 50N043 and lot number -- the second number was
7 50N037. And did you ascertain when, such as here, the plasma

8 for those two lots were drawn?

9 A Yes. I reviewed some documents which indicated that all
10 that plasma was drawn in 1984.

11 Q In 1984.

12 And all of the donor screening you have talked about
13 would have been in place for at least a year, is that correct?

14 A That is correct.

15 Q And the expiration date on one of the lots is November
16 1986.

17 And what is the life of Koate HT once it is pooled and put into
18 the bottle?

19 A It has what we call a two-year expiration date.

20 Q So does that indicate if the expiration date is 11-86 that
21 one of those lots was pooled in November of '84; in other
22 words,

23 two years from that date, working back?

24 A Approximately, yes.

25 Q Okay. And if the other expiration date, according to

24 Exhibit F, is January of '87, and you work back two years, it

25 would have been pooled in January of '85 approximately?

LAURA M. BRENNAN, Official Reporter

1 A Right.

2 Q Okay. If the plasma for Mr. Poole was drawn in 1984 and
3 pooled in that year, available for use by hemophiliacs, would
it

4 have contained any prison plasma?

5 A No, it would not.

6 Q Now, Dr. Mozen, I am going to ask you to discuss with us
the

7 experiments that you did with Dr. Jay Levy on the Cutter

8 product. And the first thing I am going to ask you to do is

9 limit yourself to Koate, the non-heated Koate. This is not the

10 product Stephen Poole got, all right.

11 Can you tell us what experiments you conducted with

12 Dr. Levy concerning the AIDS virus and why you did them on the

13 non-heated Koate?

14 A Yes, I will back up a little bit. Sometime in 1983, Dr.

15 Levy called our laboratory. Dr. Levy is a world-noted

16 retrovirologist who had been working in the field and was very

17 interested in AIDS and the etiology or the cause of AIDS. I

18 think you heard this in his deposition which was read
yesterday.

19 And Dr. Levy called and we spoke on the telephone and

20 he spoke to me of a dilemma he was having which was the

21 following: He was beginning -- this was in '83 -- beginning to

22 think that perhaps AIDS was, in fact, a viral-transmitted

23 disease. In fact he was working on trying to isolate virus
from

24 homosexual men in San Francisco who were already diagnosed with

25 AIDS. But the dilemma he was having was why hemophiliacs, who

LAURA M. BRENNAN, Official Reporter

1 were apparently not at risk, that also there were reports of
2 AIDS in this population.

3 And the thing that bothered him was that he thought
4 that if AIDS were caused by a virus, it very well may be a
5 retrovirus, which up until that time there had only been one
6 retrovirus previously described in humans. And Dr. Levy, from
7 his experience, felt that a retrovirus would not survive the
8 process which leads to Factor VIII, the process which I showed
9 you there; that is, if there are retrovirus in the plasma and
it
10 went through the cryoprecipitate removal, the fibrinogen
11 removal, the absorption with aluminum hydroxide which is
another
12 step, the precipitation of the Factor VIII, the freeze-drying,
13 all of these steps are deleterious to virus, and Dr. Levy felt
14 that a retrovirus shouldn't survive. So he could not
understand
15 why the hemophiliacs were getting AIDS.

16 So he -- and of course, there was no AIDS virus at
17 this point. This is in 1983. He said but I have in my lab a
18 retrovirus that we grow in mice. It is called a mouse C
19 retrovirus. And he said it would be interesting to me to see
if
20 that mouse C retrovirus, if we add it to plasma, will it in
fact
21 survive those fractionation steps? And I said, yes, I think

22 that would be very interesting and it would also be interesting

23 to me to see if it did survive, whether we could then put it

24 through our heat process and wipe it out.

25 So we put together an experimental protocol wherein we

LAURA M. BRENNAN, Official Reporter

1 used plasma, regular production type plasma, and Dr. Levy added
2 to it his mouse C retrovirus. And he could add to it a known
3 amount that he could count; for example, I think in this case
4 something like 10 million particles per milliliter. A
5 milliliter is like a thirtieth of an ounce. So he could dump
a
6 lot of retrovirus in there.

7 Then what we did, we added the retrovirus to the
8 plasma, and then we prepared cryoprecipitate and we determined
9 how much of this virus went into the cryoprecipitate, how much
10 was in the part, what we call the supernate, the liquid part
11 above it. We took that cryoprecipitate and we went through the
12 next step of fractionation and we determined how much virus
went
13 in this step and how much virus went into the other track. We
14 carried it all the way through to the final freeze-dried
product
15 that I told you about.

16 And at that point we determined that of all the virus
17 we put in, somewhere between 90 and 99 percent did not survive
18 the fractionation.

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

Mozen - direct

1 Q. All right. Now, that's the mouse retrovirus, correct?

2 A. So in this non-heated, even though it had not been heated,

3 we already determined that 90 to 99 percent could not survive

4 the fractionation process.

5 Q. Now, why or -- strike that.

6 Had you ever conducted this same kind of experiment

7 before 1983 with the hepatitis virus, in other words, taking

8 hepatitis B virus and doing the exact same thing to see how

9 much hepatitis B virus you could kill?

10 A. That's an impossible experiment. I was telling you before

11 that hepatitis is a unique virus, and it's also very unique in

12 the fact that nobody to this day has devised a way that you
can

13 grow hepatitis virus in a cell culture to do the kind of

14 experiments like we're doing.

15 With HIV or the mouse C and subsequently HIV and
every

16 other virus that I know of, you can grow cells in culture like

17 in a flask or on a petri dish, you grow the cell. You can

18 infect them with the virus, and then you count the virus. So

19 if the virus are dead, they won't grow. If they're alive,

20 they'll grow, and you count them by their effect on the cell.

21 Hepatitis does not -- nobody as I say even to this

day

22 has succeeded in culturing hepatitis virus. So there is no
way

23 to do it. I might add though that when the hepatitis surface

24 antigen was discovered in 1968 and 1969, we were the first

25 laboratory to run exactly that kind of study where we

LAURA M. BRENNAN, Official Reporter

1 determined where that hepatitis antigen went during a
2 fractionation. But we could not equate that with infectivity.

3 Q. So back in the late sixties, such an experiment was tried
4 and found to be impossible?

5 A. It was impossible insofar as infectivity, correct.

6 Q. Now, did you at some point in time with Dr. Levy ever do
7 the same experiment with non-heated Koate and instead of using
8 the mouse retrovirus use the AIDS virus?

9 A. Yes. As soon as the AIDS virus was isolated in 1984, we
10 immediately got together with Dr. Levy, again, because he had
11 now, it was pointed out he was one of the three people in the
12 world that independently isolated an AIDS virus, which he
13 called then ARV standing for AIDS-related virus. So it really
14 had three names which ultimately all became known as HIV.

15 So as soon as Dr. Levy had this virus available, then
16 we wanted to do exactly the same experiment, because even
17 though he felt the mouse C was a good, a good surrogate, if
you
18 will, for HIV, the proof of the pudding obviously was in doing
19 the HIV itself.

20 THE COURT: Let's take a 10 minute break at this
21 point.

22 MR. BARR: Yes, Your Honor.

23 (Recess. Jury in.)

24 MR. BARR: May I proceed, Your Honor?

25 THE COURT: Yes.

LAURA M. BRENNAN, Official Reporter

1 BY MR. BARR:

2 Q. Dr. Mozen, the question that I had asked you when we broke

3 was: Did you do this same experiment with the
non-heat-treated

4 Factor VIII from Cutter, Koate, with the AIDS virus?

5 A. Yes.

6 Q. And would you tell us what you did and what the result
was?

7 A. Well, basically we did the same thing. We took some
pooled

8 plasma, and now instead of adding this mouse C retrovirus, Dr.

9 Levy provided us some HIV. And it was a known amount that he

10 had counted the number of virus particles in there, the number

11 of infectious virus particles. We added that to the plasma.

12 We carried it through the fractionation, the number of steps

13 that I described, and at each step we determined where the

14 virus went and how much and carried it through to the final

15 freeze-dried product.

16 Q. And what survived just the fractionation process?

17 A. Well, the results were very similar. We did the
experiment

18 several times, and it was in the order of 90 to 99 percent of

19 the virus was destroyed. So let's say about 10 percent to 1

20 percent survived the fractionation part.

21 Q. Now, assume for a moment that you have in the unheated

22 Factor VIII -- now, this is not the one Stephen Poole got --
23 but in the unheated Factor VIII, if you had one or two viral
24 particles that survived the process, could they ever grow or
25 replicate to make more virus in the pool or in the final

LAURA M. BRENNAN, Official Reporter

1 product?

2 A. No, not at all. As I explained earlier, there has to be
3 cell material, something that the cell can infect in order to
4 grow. And these products are completely cell free.

5 Q. Now I'd like to ask you about Koate.

6 THE COURT: Before you leave that, so I understand,
is

7 there a difference between infecting and replicating?

8 THE WITNESS: Well, when a virus infects a cell, is
9 introduced into a cell, that's called infection. And then
that

10 single virion that's in the cell can be replicated by the
cell,

11 the cell will reproduce it, so that that one particle within
12 the cell can become hundreds of particles.

13 THE COURT: What I'm wondering is there are no cells
14 in the concentrates, you say?

15 THE WITNESS: No cells at all.

16 THE COURT: But there might be cells in the recipient
17 of the concentrate.

18 THE WITNESS: Correct.

19 THE COURT: Now, what about the virus that's in the
20 concentrate? Could that infect the recipient of the
21 concentrate?

22 THE WITNESS: If there is virus --

23 THE COURT: Maybe that's going to be down the road.

24 THE WITNESS: Yeah. But if there is virus, if there

25 is viable infectious virus capable of infection in the

LAURA M. BRENNAN, Official Reporter

1 concentrate, and it is injected into a person, it can infect
2 that individual, yes.

3 THE COURT: All right.

4 BY MR. BARR:

5 Q. Let's go back to a question then. If someone got
6 non-heat-treated Factor VIII, and if there was HIV virus in
the
7 pool, and it then went into a person's body, would it just
8 infect one cell in that person's body?

9 A. No. It would infect multiple cells and would also be
10 replicated, reproduced by those cells.

11 Q. And because I'm going to get to in a moment the Cutter
12 product and Mr. Poole, if someone like Mr. Poole were infected
13 with the AIDS virus --

14 A. Yes.

15 Q. -- five or six years before he ever got any Cutter
product,

16 even if, even if the Cutter product had AIDS virus in it,
could

17 it harm Mr. Poole?

18 A. Not in my opinion.

19 Q. And why is that?

20 A. Because the virus that he already, the viral burden that
21 Mr. Poole would already have in his body, that in the plasma,
22 that in the cells. But particularly we know now just in the
23 last year or two that most of the virus are sequestered in the

24 cells of the lymph, the lymph nodes, there are an enormous
25 number of virus in the cells of the lymph nodes, hundreds of

LAURA M. BRENNAN, Official Reporter

1 millions. So the small additional viral, viral burden that
2 might be added from a product would be like a cup of water in
3 the ocean really. The viral burden that they already have
4 would be so overwhelming compared to anything they could get
5 from concentrate.

6 Q. Well, let's assume, for instance, there's been testimony
7 that Mr. Poole may have gotten one vial, one single vial of
8 Armour product in 1985. If he was already infected,
9 scientifically, do you know of anything that would indicate
10 that that one vial of Armour product could harm him?

11 A. No. In my opinion, once he is infected, he cannot be
12 reinfected.

13 Q. Okay. Now, I want to go back to your experiments with Dr.
14 Levy and Koate heat-treated.

15 A. Yeah.

16 Q. We're now talking about the heat-treated. And this is in
17 the dry state?

18 A. Yes.

19 Q. Would you tell the jury what experiment you did with Dr.
20 Levy with the heat-treated dry Factor VIII?

21 A. Well, as I mentioned, once we got through the
22 fractionation, we had already eliminated 90 to 99 percent of
23 the virus. So now we wanted to determine what would be the

24 effect of heat on the residual. But rather than just use the

25 residual, we added more virus. In other words, we went
through

LAURA M. BRENNAN, Official Reporter

1 the fractionation to the point where we're going to heat it,
2 and now there's so little virus left that it's difficult to
3 measure. So we added more virus, as much as we could.

4 And then we subjected the material, we first had to
5 freeze-dry it, and then subject those vials to heat, in this
6 case, 68 degrees Centigrade, and we did that for periods of
7 time. So we would sample after like 12 hours, 24 hours, 36
8 hours, 48 hours, 72 hours, which is three days. And we then
or
9 Dr. Levy in this case counted how many virus remained at those
10 various time points.

11

12

13

14

15

16

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

1

1 Q. Did there get to be a certain point when you
were
2 heating the Factor VIII at 68 degrees centigrade when
all
3 AIDS virus disappeared or was killed?
4 A. Yes.
5 Q. What hours -- how many hours at 68 degrees
centigrade
6 did you find that?
7 A. Well, sometime after 36 -- somewhere I think it
was
8 between 36 and 48 hours because we didn't have a --
those
9 were the two sample points.
10 Q. Why did you continue on to make a final medicine
that
11 ultimately Mr. Poole received that was 62 degrees
centigrade
12 at 72 hours if all the AIDS virus was killed at 48 or
40
13 hours?
14 A. Well, it was an empirical decision. We had
arrived at
15 those conditions of 68 degrees, 72 hours before we
had any
16 AIDS virus to test. So, we basically were using
conditions
17 that we could deal with on an empirical basis. We
had no
18 real viral data to support it, certainly not any
relevant.
19 By "relevant" I mean HIV.
20 Q. Now, Dr. Mozen, what did you and Dr. Levy do to

21 determine that the amount of virus you were dealing
with was
22 either less than or equal to or more than you would
find in
23 the actual plasma pool that you would be using when
this was
24 given to people?
25 A. Well, at the time we did that experiment in late
'84 the

LAURA M. BRENNAN, Official Reporter

1 number of infectious virus in an infected individual
was not
2 known. Nobody had developed the know-how to count
the virus
3 in an infected individual.

4 So, we really didn't know at that time how
many
5 virus particles, infectious viral particles, would be
present
6 in one of our donors or donors that might be
infected.

7 Subsequent to that, this information has become
available to
8 us in a publication. I don't recall. In '68 or '69
9 scientists were able to study the plasma --

10 Q. Excuse me. You said '68.

11 A. Excuse me. '88 or '89 they were able to study
the
12 plasma of people with AIDS or pre-AIDS, which we
called ARC
13 -- that means AIDS-related complex -- or even people
that
14 were asymptomatic, which could be determined once the
HIV
15 antibody test became available.

16 Q. I am still unclear. How were you able to make
certain?

17 For instance, let me ask it this way. How many
donors would
18 you assume were in this pool when you were doing this
test,
19 not in the experiment, but actually the assumption
for the
20 pool?

21 A. You will have to run that by me again.

22 Q. Sure. Sure.

23 If you have got 10,000 people in a pool,
when you

24 are doing the test how do you know that there is not
going to

25 be so many infected people that there will be so much
virus

LAURA M. BRENNAN, Official Reporter

that? 1 that your test is not valid? How were you able to do

that 2 A. Of course we didn't know that then. We know now

excess of 3 the amount of virus we added is actually much in

4 anything that could have been in the pool.

1984? 5 Q. Is that because of data that was published after

6 A. Yes.

be? 7 THE COURT: What did the data turn out to

want to 8 THE WITNESS: Well, I have those data if we

9 go over them.

10 BY MR. BARR:

11 Q. Is that Dr. Hoe's paper?

12 A. That's Dr. Hoe.

chance. 13 Q. I prepared a chart from Dr. Hoe's paper just by

14 Is this the chart from Dr. Hoe's paper that you were
15 referring to?

16 A. Yes.

Honor? 17 MR. BARR: May I stand by the witness, your

18 THE COURT: Sure.

19 BY MR. BARR:

you 20 Q. Using this chart and maybe this blue marker, can

with 21 explain how you went about ascertaining that you were

22 this experiment able to kill all of the virus that

would be

23 in the pool?

24 A. What this is -- we are just focusing on this.
What this

25 is is a count of the HIV infectious particles in a
number of

LAURA M. BRENNAN, Official Reporter

there is 1 AIDS people, AIDS infected people. As you can see,
a 2 a tremendous spread. But let's just take them one at
3 time.

the 4 This group here, which is all -- this is
nothing 5 control group uninfected, so basically there is
one 6 there. So, you see they are all tightly bunched in
7 place.

8 Now, these are HIV positive people who are
virtue 9 asymptomatic. They were found to be HIV positive by
10 of the antibody test.

five 11 What he found was that the range was from
average was 12 infectious particles to 100 per milliliter. The
30. 13 30. So, you are seeing numbers here from five up to

patient. 14 This is the spread. Each dot represents another

"ARC" 15 You get out here to people who have ARC.

the 16 means AIDS-related complex. They are further into

an 17 disease. There the range was from 25 to 50,000 with
18 average of 3200, 3200 virus particles per milliliter.

with frank 19 By the time you get out here to a person

20 AIDS, who has diagnosed with AIDS, you see a range of

from

21 five to 50,000 with an average of 3500.

22 Now, what this says is the maximum seen in
any one

23 individual was 50,000 virus particles per milliliter
of

24 plasma.

25 Now, that 50,000 is the maximum that these
people

LAURA M. BRENNAN, Official Reporter

1 found in any of their patients. We found that the
2 experiments we did would inactivate all the viruses
if there
3 was not more than a million particles per milliliter.
So,
4 50,000 is the maximum that was found, and we found in
our
5 experiments that as long as there was not more than
a
6 million, it was all inactive. The only reason we had
to stop
7 at a million is because that was all we could put in.

8 Q. Is that a million per milliliter?

9 A. Per milliliter.

10 Q. Let me ask you this question. Let's assume --
and I
11 realize this is a silly assumption -- but assume that
you had
12 10,000 donors in a pool and assume that all 10,000 of
them
13 were infected with HIV and assume further that, as
Dr. Hoe
14 pointed out, the absolute maximum found in any human
being
15 would be 50,000 parts per milliliter, all right. Do
you have
16 that in mind?

17 A. Yes.

18 Q. Did your experiment indicate that the heat
treated
19 process by Cutter at 68 degrees for 72 hours would
kill all
20 that virus?

21 A. Yes, because this is a concentration. So that
if you
22 had 10,000 donors and every one of them was infected
and
23 every one of them was infected to 50,000 virus
particles per
24 milliliter, the concentration in that pool is still
50,000
25 per milliliter. Our experiment indicated that the
amount

LAURA M. BRENNAN, Official Reporter

1 actually destroyed or inactivated far exceeded that
number.

2 Q. Up to a million per milliliter?

3 A. At least a million, yes.

4 Q. In your opinion, Dr. Mozen, based upon
everything that

5 you read up to the present time -- and by that I mean
up to

6 1993 -- is there any difference in the ability of a
7 pasteurized or wet heated process to kill the AIDS
virus when

8 compared to the Cutter process at 68 degrees for 72
hours?

9 A. There is no evidence that there is any
difference.

10 Q. The dry Cutter process works as well as, for
instance,

11 the Behringwerke process?

12 A. Yes, it does with respect to HIV.

13 Q. Now, as to the Behringwerke process when did you
become

14 aware of it?

15 A. Oh, I would estimate about 1980.

16 Q. Can you tell the jury why you did not
immediately ask

17 your management to go to Behringwerke and ask for
their

18 license so that you could make that product here in
the

19 United States?

20 A. Well, basically it was because we were already
well into

21 our own program which we began about 1978. We were

22 sufficiently far along in our program that I was
convinced
23 that there is no way we could start from square one
with a
24 new process and not be more delayed than carrying on
with our
25 own process.

LAURA M. BRENNAN, Official Reporter

Drug 1 Q. Did you ever obtain a license from the Food and
 2 Administration to make the wet pasteurized process?
 3 A. Yes, we did.
process? 4 Q. Was it marketed, sold before or after the dry
the dry 5 A. The one that was marketed and sold, it was after
 6 process, yes.
 7 Q. Why was the dry process marketed first?
described 8 A. Well, we were working on what you have heard
Factor 9 here as a wet process, which to me means heating the
protective 10 VIII in solution; that is, finding the appropriate
to be 11 agents or stabilizing agents to allow the Factor VIII
been 12 heated without destroying all the activity. We had
 13 working on that since the end of 1978.
at -- 14 When we got into the '80s we began to look
trying 15 the most difficult thing of all these experiments is
want it 16 to prove that what you are doing is doing what you
 17 to; namely, killing hepatitis.
you can 18 So, as I mentioned earlier, the only way
to test 19 really tell whether you have inactivated hepatitis is
don't want 20 it in chimpanzees. These are long experiments. I

21 to go into it because you have heard this before.
22 But we were well into that program in the
beginning
23 of 1983 or the first quarter of '83 when there was
more
24 suspicion that AIDS may be a transmissible problem.
Simply
25 on a hope and a prayer we thought that if AIDS in
fact were

LAURA M. BRENNAN, Official Reporter

1 transmissible and if this transmissible agent might
be
2 susceptible to heat and if the kind of heat that goes
in dry
3 heat would be -- would do that job, we could move
faster into
4 getting a product licensed.
5 We knew that because conferences,
discussions with
6 the FDA indicated they also now were quite concerned,
and
7 they were not requiring as much validation of the
hepatitis
8 as they had earlier with respect to a heated process.
9 Q. Because of the hope and prayer that this heat
treated
10 process might kill the AIDS virus, were several years
of
11 prelicensing work waived by the FDA so that this
product
12 could be used by hemophiliacs?
13 A. Yes. By "waived" I think what we are talking
about is
14 mainly the chimpanzee studies to validate an
inactivation of
15 hepatitis.
16 Because since there was no AIDS virus known
in
17 1983, the only rationale for heating was hepatitis.
And if
18 you go to heat and claim you inactivated hepatitis,
then you
19 have to be able to prove that. Those kinds of
experiments

20 take a good couple years to do.

21 In discussions with the FDA they indicated

to us 22 that if we showed some effect on what they call model

23 viruses, nonrelevant viruses, that at least show that

you 24 were inactivating something, that they would waive

the 25 requirement to demonstrate hepatitis inactivation.

LAURA M. BRENNAN, Official Reporter

1 Q. Dr. Mozen, six or seven years ago did you
receive a
2 report that a physician in Italy thought that perhaps
one of
3 his patients might have been infected with AIDS from
the
4 Cutter heat treated 68 degree for 72 hour product?
5 A. Yes, we did.
6 Q. Would you tell me and the jury what you did when
you
7 received that information?
8 MR. RING: Judge, this is the area that you
ruled
9 on a number of times.
10 THE COURT: You make me feel like I haven't
got a
11 good memory because I don't remember.
12 MR. RING: Well, I am talking about the
affidavit.
13 THE COURT: Oh, yes.
14 MR. BARR: Your Honor, I am not discussing
an
15 affidavit. I am asking what this witness did before
this
16 lawsuit was ever filed when the report was --
17 THE COURT: All right. As long as you
don't get
18 into something I have already excluded.
19 BY MR. BARR:
20 Q. What did you do?
21 A. We had received a report at our laboratory that
there

might 22 was a possibility that this Koate HT, or actually it
was 23 have been the Factor IX product, but a product that
 24 heated at 68 degrees for 72 hours may have caused a
 25 seroconversion in a hemophiliac.

LAURA M. BRENNAN, Official Reporter

1 We were so astonished by this report since
our data
2 seemed so clear that this couldn't happen that one of
our
3 clinical investigators and myself, we immediately got
on an
4 airplane and flew to Italy and interviewed the
physicians who
5 had made that report, and basically concluded that
this --

6 MR. RING: Judge, I object.

7 THE COURT: Sustained.

8 MR. RING: Can the jury just be asked to
9 disregard?

10 THE COURT: Yes. Disregard the interview
with the
11 physician.

12 MR. BARR: May I proceed, your Honor?

13 THE COURT: Yes.

14 BY MR. BARR:

15 Q. Dr. Mozen, don't tell us about any conversations
you had
16 with anyone. Don't tell us about any interview. Did
you go
17 to Italy and investigate whether or not there was any
other
18 source of infection for this single person than this
product
19 heated for this temperature at this period of time?
20 A. Yes.

21 Q. Did you reach a conclusion having made your
22 investigation that the source of infection was other

than the

23 Cutter Factor VIII or not?

24 MR. RING: There, again, Judge by inference

--

25 THE COURT: Yes, it has to be hearsay from
what I

LAURA M. BRENNAN, Official Reporter

11

1 have heard, and the objection is sustained. Move on
to
2 something else.
3 BY MR. BARR:
4 Q. Dr. Mozen --
5 MR. BARR: If your Honor will allow me to
make an
6 offer of proof.
7 THE COURT: Later.
8 BY MR. BARR:
9 Q. Dr. Mozen, when you received this one report
from Italy
10 did you remove this product from the market?
11 A. No, we did not.
12 Q. Were you satisfied in your own mind it should
not be
13 taken off the market because it did not pose any
danger to
14 any hemophiliac?
15 A. Yes.
16 Q. Dr. Mozen, as you sit here today, do you believe
that
17 scientifically it would have been impossible for the
Cutter
18 heat treated Factor VIII that Mr. Poole took in 1985
to have
19 infected him with AIDS?
20 A. That's my opinion.
21 Q. Do you have an opinion to a degree of medical
certainty
22 that if he was uninfected when he took it --

23 MR. BARR: Strike that.

24 BY MR. BARR:

25 Q. That if he was infected when he took the Factor

VIII

LAURA M. BRENNAN, Official Reporter

1 that it could have caused him no harm whatsoever?

2 A. I believe it could have caused him no harm.

3 MR. BARR: I have nothing further.

4 CROSS-EXAMINATION

5 BY MS. THOMAS:

6 Q. Dr. Mozen, are you a virologist?

7 A. No.

8 Q. Are you a medical doctor?

9 A. No.

10 Q. I take it you don't have any special non-medical
11 expertise in infectious disease?

12 A. I am not sure what you mean by "non-medical
expertise."

13 Q. Do you have any expertise in infectious disease?

14 A. Well, I gained a lot of expertise in the
transmission of

15 AIDS in plasma products, yes.

16 Q. By analyzing Cutter's product?

17 A. By working in this field over the last number of
years.

18 Q. In working with Factor VIII concentrate
specifically you

19 have developed some of the steps that were used in
the

20 manufacturing of the product; is that correct?

21 A. Yes.

22 Q. There is a -- there are a number of filtering
steps that

23 the cryoprecipitate is put through; is that right?

24 A. Well, no, that's not really correct. As I

showed on the

25 slide, the cryoprecipitate is removed by centrifugation and

LAURA M. BRENNAN, Official Reporter

1 then undergoes further processing. One step to --
there is
2 an absorption and there is another step of fibrinogen
3 removal, another step of precipitation, sterile
filtration.
4 I am not sure I get your question.
5 Q. Okay. Are there any filtering steps in the
6 manufacturing process of Factor VIII concentrate?
7 A. Oh, yes.
8 Q. Okay. That's all I want to know.
9 Those filters are made so they trap
bacteria so you
10 don't have bacteria in the final product; is that
right?
11 A. That's one of the filtering steps, yes.
12 Q. Okay. Now, is there anything that could escape
the
13 filtering that Cutter was using in 1981, '82, '83?
14 A. Well, obviously the Factor VIII will pass
through the
15 filter.
16 Q. Obviously. And some fibrinogen will pass
through the
17 filter, right?
18 A. Could, yes.
19 Q. Also some albumin would pass through the filter?
20 A. Oh, yes.
21 Q. What about viruses?
22 A. Viruses are filterable.
23 Q. Okay. Because they are very small?

24 A. I am not sure what viruses would be there, but
viruses
25 are considered filterable.

LAURA M. BRENNAN, Official Reporter

1 THE COURT: What does "filterable" mean?
They are
2 caught or they go through?
3 THE WITNESS: They can pass. A filter that
will
4 remove a bacteria.
5 BY MS. THOMAS:
6 Q. So, during 1981, 1982, 1983, clear up until '84,
'85, I
7 presume, viruses could pass through the filtering
process?
8 A. Yes, if they are viruses. As I mentioned, by
definition
9 a virus is defined as a filterable substance.
10 Q. Okay. You knew that based on your experience in
working
11 with Factor VIII over the years. You knew that in
1979,
12 didn't you?
13 A. The viruses were filterable?
14 Q. Yes.
15 A. I knew hepatitis was filterable by presumption,
yes.
16 Q. Okay. In 1982 nothing had changed, had it? I
mean
17 viruses can still pass through the filter, correct?
18 A. And in 1993 they can pass through the filters,
yes.
19 Q. Okay. Given that, it seemed pretty clear to you
in 1982
20 that the causative agent of AIDS would most likely be
a
21 virus, wouldn't it?

22 A. No, it was not at all.

23

24

25

LAURA M. BRENNAN, Official Reporter

Mozen - cross by Thomas

Stephen 1 Q You talked a little bit about the product that

product -- 2 Poole took. I believe Mr. Barr asked you when the

collected; do 3 the plasma for his blood products would have been

4 you recall that testimony?

5 A Yes, I do.

went through 6 Q You said that for one of the lots you actually

came from 7 all of the records and determined where that plasma

testimony 8 and when it was collected. Did I understand your

9 correctly?

documents which 10 A No, you didn't. I said I reviewed certain

collected and 11 indicated to me that -- the time when it was

12 basically where the plasma came from, yes.

13 Q Did you look at the donor sheets?

14 A No.

where the 15 Q Did you look at the labels telling you from

16 plasma was shipped to Cutter?

where all of 17 A No, I looked at a summary sheet that indicated

18 the plasma originated.

Factor VIII? 19 Q For -- now, how many lots go into a batch of

a "lot." 20 A Well, you'll have to tell me what you mean by

21 Q Generally at Cutter you have two or three --
maybe I've got

22 it backwards. Do you have two or three batches
that go into a

23 final lot, or is it the other way around?

24 A Well, I have to start back at the pool.

25 Q Okay.

1 A I showed you we have a pool of plasma.

2 Q Yes.

3 A And from a pool of plasma we obtain
cryoprecipitate.

4 Q Right.

5 A And that cryoprecipitate goes to Factor VIII.
Now, there

6 may be cryoprecipitate or steps along the way from
three pools

7 that goes into a lot of Factor VIII.

8 Q How many donors would be represented in each of
those three

9 pools on the average?

10 A Well, the pool size is about 3,000 meters.

11 Q You might have a donor who is in there more
than once.

12 A That's correct.

13 Q Okay. Those pools represented collections from
Cutter's

14 privately owned plasma center?

15 A Yes. Again, the time frame, as I recall,
Cutter has

16 centers -- had centers that they own, as you put
it, privately

17 owned, and we also had contract centers.

18 Q You had contract centers?

19 A Right.

20 Q You were obligated to buy so much plasma from
these

21 contract centers, correct?

22 A I don't know that we were obligated. We did
purchase from

standards 23 them, yes. They had to adhere to the same rigorous

procedures, as 24 and follow our so-called SOP, standard operating

25 our own centers.

1 Q During 1983 and '84 Cutter was collecting
what's been

2 called recovered plasma, is that correct?

3 A Yes.

4 Q And that's from blood banks such as Irwin
Memorial and

5 other places?

6 A As I understand "recovered plasma," it's -- to
answer your

7 question, yes, but let me say how I understand
recovered plasma

8 it is a -- when a unit of blood is collected and
put into the

9 refrigerator, it outdates. The outdating has
changed over the

10 years. It used to be 21 days, 28 days, and 35
days.

11 Once it outdates, that means it can't be
transfused

12 as whole blood and then the plasma is drawn off and
it can go

13 into fractionation, but not for coagulation
products. The

14 reason for that is the coagulation factors, Factor
VIII or IX,

15 does not survive that 21 or 28 or 35 day hold of
the whole

16 blood.

17 Q Well, we have already seen documents in this
courtroom.

18 I'm not sure if -- I know you've been here for most
of the

19 trial.

20 Mr. Hink testified and told us about some

documents

21 that were shown to the jury that Cutter had
collected recovered

22 plasma throughout 1983, I believe, and that this
was, in fact,

23 included in the Factor VIII pools.

24 MR. GREEN: I'm going to object, your
Honor,

25 misstates the evidence.

1 THE COURT: What's your recollection of
it?

2 MR. BARR: That is not what he said. It
was not used

3 in Factor VIII, just what this witness said.

4 THE COURT: Well, I certainly can't
decide. I don't

5 remember.

6 Is that your recollection, Miss Thomas?

7 MS. THOMAS: Yes, it is.

8 THE COURT: Well, the jury has to rely on
its own

9 recollection.

10 MS. THOMAS: We can always refer back to
the

11 documents.

12 BY MS. THOMAS:

13 Q The shelf life of Factor VIII is two years, is
that right?

14 A That's right.

15 Q Getting the product -- the raw produce from the
various

16 locations around the country to the manufacturing
facility,

17 through the manufacturing runs, into a final
product can take

18 up to 16 months I believe we've heard, is that
correct?

19 A That's not my recollection.

20 Q Were you involved in plasma manufacturing, sir?

21 A No.

22 Q Okay. I believe others who have been here have

testified,

23 so we would defer to their testimony in that
regard, would you

24 agree?

25 A Yes.

Mozen - cross by Thomas

1 Q Okay. And if the product Stephen Poole had
taken were

2 shipped to him in 1985, it could very easily have
been made

3 from plasma collected in 1981, 1982, or 1983,
couldn't it have?

4 A Not in my opinion.

5 Q Well, that's your opinion.

6 A Yes, from what I know of the operation,
although I wasn't

7 directly involved, I certainly spent some time in
manufacturing

8 when I was introducing new processing there.

9 Q Were you involved at all, sir, in Cutter's
decision -- I

10 believe it was made in late 1983 -- to start
testing incoming

11 plasma with the hepatitis B core antibody test?

12 A No, I was not involved in that decision.

13 Q Were you aware that Cutter was doing that --
had

14 implemented that?

15 A Yes.

16 Q Do you know, Dr. Mozen, what happened to the
plasma after

17 Cutter stopped segregating it as hepatitis B core
antibody

18 plasma?

19 A Could you give me a time frame?

20 Q About the time that Cutter came out with its
dry

21 heat-treatment process and started marketing
product under the

22 dry heat treatment?

23 A I really don't get your question. Can you --

24 Q Okay. Cutter had been collecting plasma as
usual, but

25 screening it using the hepatitis B core antibody
test for a

1 number of months?

2 A That's right.

3 Q At some point they stopped screening the plasma
using the

4 hepatitis B core antibody test, didn't they?

5 A Yes.

6 Q They haven't thrown that plasma away, have
they?

7 A No, not to my knowledge.

8 Q It was simply segregated, wasn't it?

9 A I'm not real sure.

10 Q Well, maybe I have something there.

11 I'm going to show you what's been marked
Exhibit No.

12 4241. This is a memo to Mr. Hink and Mr. Turner.

13 Who is Mr. Turner, do you know?

14 A In that time frame Mr. Turner was the top man
in

15 manufacturing.

16 Q Okay. Who is J. Ryan?

17 A Jack Ryan, I think, at that time was president
of this

18 division.

19 Q Could you read to us the second paragraph of
that memo?

20 A Yes. This is the first time I've seen this
memo.

21 Q Could you read us the second paragraph.

22 A Would you like me to read this?

23 Q Yes, please.

24 A It says, "Effective immediately you should
discontinue all

25 hepatitis B core screening and all segregation of
plasma based

1 on this test."

2 Did you have a question?

3 Q Can we take that means the plasma was returned
to the

4 pools, Dr. Mozen, in October of 1984?

5 A Well, again, it's an assumption. I really
don't know. I

6 wasn't involved in that aspect.

7 Q You talked a little bit about HIV infection and
the level

8 of HIV virus found in persons who are infected.

9 I believe Mr. Barr asked you what the
highest levels

10 of virus are we might expect to find in a person
who is

11 infected?

12 A Yes.

13 Q Okay. When a person is initially infected, he
will have

14 the virus throughout his blood supply, is that
correct, when

15 one is initially exposed to the HIV virus and
becomes infected?

16 A I think I indicated earlier that when one
becomes infected

17 the infection is in the cells, probably the first
one, the

18 so-called CF4 cells, which are lymphocytes, part of
the white

19 cells, and the virus, HIV, will infect those cells.

20 Q Okay. I'm talking about the initial exposure.
If you were

21 to take -- if you were to take a culture of a

person's blood

22 who has recently been exposed to HIV, you would
find the virus

23 free flowing in the blood, wouldn't you?

24 A No, I don't think so.

25 Q Okay. At the end of the infection you would
find the virus

1 free employing in the blood once the person has
progressed to

2 full-blown AIDS, correct?

3 A I think the data I showed in that blowup is
exactly the

4 answer to your question. At these various points
after

5 infection, that's the counts that one would see, so
initially

6 it would be very low -- well, initially and after

7 seroconversion when antibody forms, it becomes even
lower. And

8 eventually, 8 or 9 or 10 years, as the individual
progresses to

9 full-blown AIDS, then the concentration increases
in the

10 circulation, but there is a high concentration in
the lymph

11 nodes as indicated.

12 Q In other words, the virus hides out, and it's
sequestered

13 away in the lymph nodes. It's hiding in cells, and
it's very

14 slowly replicating, is that correct?

15 A It could be replicating rapidly actually. It's
replicating

16 in the CD4 cells, a certain lymphocyte, and then
these become

17 sequestered in the lymph nodes. Lymph nodes are
the sort of

18 like the body's garbage disposal. They grab up
these cells and

19 hang on to them.

20 Q When someone progresses to full-blown AIDS, the

virus is

21 going to break free from the lymph nodes and
circulate through

22 the body, correct?

23 A Yes, yes. Correct.

24 Q And at no other time is a person as highly
infectious as at

25 that time, isn't that correct?

1 A With full-blown AIDS.

2 Q Exactly.

3 A Yes. We had data on that in our chart as you noticed.

4 Q And the article that you were relying on with regard to the

5 amount of virus we might find in a person infected with HIV was

6 written by Hoe in 1989, was it?

7 A It was late '80s. I don't recall the exact -- it's

8 relatively new information, yes.

9 Q Would you agree that our ability to quantify the HIV virus

10 has vastly improved in the last couple of years?

11 A By last couple of years you mean like '92, '93?

12 Q Yes, sir.

13 A Oh, yes, yes.

14 Q And back when you and Jay Levy were running these

15 experiments to quantify the amount of virus in Factor VIII, the

16 quantifying of the virus was pretty primitive, wasn't it?

17 A No, it was quite good.

18 Q It was a very hard virus to quantify wasn't it?

19 A Yes, but Dr. Levy had the tools and the ability to do it.

20 And I think he did a very good job with it.

21 Q Doctor, wouldn't you agree that most scientists working in

22 this field as of 1984, 1985 found one of the

biggest problems

23 in studying the AIDS virus was that it was
difficult to

24 measure, to quantify, and to grow?

25 A I think you're confusing that with isolating it
from a

1 human being and quantifying as opposed to having a
culture

2 which you can grow in tissue culture like Dr. Levy
was doing

3 and quantifying that. There was no difficulty in
doing that.

4 He had a very, very sensitive
radioimmunoassay that

5 allowed him to measure an enzyme with great
precision which

6 measures replication of the virus. This was in a
tissue

7 culture.

8 What you're referring to, I believe, is
the problem

9 in quantifying it in an infected individual, very
different

10 situation.

11 Q I believe Dr. Levy's 1984 article told us --
and he may

12 have told us in his deposition that the -- for
example, the

13 Mouse-C retrovirus was much easier to quantify than
the AIDS

14 virus, which is one reason that he chose to work
with the mouse

15 C retrovirus instead.

16 A The mouse-C retrovirus, of course, was
available to us

17 prior to the AIDS virus. What Dr. Levy was
referring to was,

18 first of all, that the mouse-C virus could be grown
to a much

19 higher concentration.

20 The other thing he was referring to was
the fact that

21 to quantify the HIV virus required a longer period
of

22 incubation in the cells to be certain that you had
grown out

23 all of the viruses. I think he was referring to
the length of

24 time rather than the precision.

25 Q Well, let's talk a little bit about that
experiment. There

1 were two, one with the mouse-C retrovirus and one
with HIV at a

2 later date?

3 A Two series of experiments.

4 Q Okay. Time-wise how far apart were they done
from one

5 another?

6 A I would say the mouse-C experiments were done
probably in

7 the fall of '83, I would say, and the HIV
experiments were done

8 mid-'84, perhaps, August September '84.

9 Q Now, you did not use a full-scale manufacturing
run to

10 conduct these experiments, did you?

11 A Miss Thomas, you cannot dump HIV into 3,000
liters of

12 plasma.

13 Q You were working to --

14 A You would contaminate the entire planet. It's
totally

15 undoable situation.

16 Q Could you have done that with the mouse-C
retrovirus?

17 A No.

18 Q Okay.

19 A You would need to grow virus for the next five
years to get

20 enough to contaminate 3,000 liters of plasma. And
then you --

21 I mean, the answer is, no.

22 Q Your equipment is very, very big?

23 A In production, yes.

dry 24 Q And you were returning these experiments on the

25 heat-treated process, correct?

11

Laura M. Brennan, Official Reporter

1 A Yes.

2 Q And I assume the ovens are very, very big?

3 A Which ovens?

4 Q How do you heat your Factor VIII concentrates?

5 A You mean in production?

6 Q In production.

7 A Yes. We have ovens comparable to the size of
that freeze

8 dryer that I showed you, and the bottles are put
into there.

9 These ovens go through a process that's called
validation.

10 Validation means --

11 Q Could you first tell us how large these ovens
are, please.

12 A You'll just have to go by that picture. I
don't think I

13 can give you the dimensions. They're big.

14 Q How many vials --

15 A Maybe we put 3,000 vials in there, 4,000.

16 Q 3,000? Okay. And you had, what, three or four
or five

17 vials based on your experiment that you ran with
Jay Levy?

18 A You mean in our experimentals?

19 Q Yes.

20 A I would say 20 or 30.

21 Q Did you put these 20 or 30 vials into the 3,000
vial oven?

22 A No. I don't know why we would want to do that.

23 Q What did you put them into?

24 A We also had a validated oven. A validated oven
means one

25 in which the -- you put thermocouples in it. A
thermocouple is

on an 1 a device that allows you to record the temperature
2 external recorder.

3 These thermocouples were placed in
certain bottles to

4 validate that the temperature was, in fact, what we
said it

5 was, which is the same thing we do in the large
ovens. As far

6 as we were concerned there was no certainly no
difference. 68

7 degrees is 68 degrees, as long as you know that
it's being

8 measured properly.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

13

Laura M. Brennan, Official Reporter

1 Mozen - cross by Thomas

1 Q. Just like our ovens in our kitchens, 300 degrees is always

2 300 degrees?

3 A. No, no, not at all. I don't know any ovens in your
kitchen

4 that you validate with a recording thermocouple.

5 Q. How large was the oven you used for your experiment?

6 A. Well, I would say it was maybe two feet by one and a half

7 feet, something like that.

8 Q. About the size of a little microwave?

9 A. Approximately, yeah.

10 Q. Okay. Now, other than the Cutter people involved in that

11 process and Jay Levy, who measured the virus, was there any

12 outside agency or outside team that validated what you and Jay

13 Levy had done?

14 A. Well, essentially, yes. We repeated that experiment not
in

15 the --

16 Q. Well, no, no, no, not the one, not a repeat, but the one

17 we're actually talking about.

18 A. I guess I didn't understand that.

19 Q. When you added the HIV to your tenth of a liter of plasma,

20 and you baked these 4 or 5 or 15 or 20 vials in a
21 microwave-sized oven, that particular experiment.

22 A. And your question was?

23 Q. Was there any outside agency or group of persons that
24 validated this other than Cutter employees?

25 A. What do you mean by "validated"? Do you mean somebody
come

LAURA M. BRENNAN, Official Reporter

1 in and look over my shoulder?

2 Q. Sure. Participated in some sense.

3 A. No.

4 Q. So it was just you, some Cutter employees, and Jay Levy
5 involved in this?

6 A. Yes.

7 Q. Okay. And Jay Levy was not involved in the actual
8 processing of the material. His job was solely limited to
9 adding the virus and quantifying it after the process, is that

10 right?

11 A. Yes. He was an expert in growing virus, quantifying
12 viruses. We, in turn, were expert in fractionating plasma.
13 So

13 we had a nice division of labor. He did what he was expert
14 in,

14 and we did what we were expert in.

15 Q. Okay. And you relied on his calculations, and he relied
16 on

16 you to operate the oven?

17 A. Right.

18 Q. Okay. And then you scaled up this dry-heat process into

19 the ovens that accommodate 3,000 bottles, is that correct?

20 A. Yes.

21 Q. And your assumption was that if X number of virus
22 particles

22 were killed in the little experiment, then X number of

23 particles would be killed if you took that to the large scale

24 production, is that correct?

25 A. No, I wouldn't call it an assumption at all. I would call

LAURA M. BRENNAN, Official Reporter

1 it something that we were able to scientifically validate.

2 Q. Based on how much virus you killed in the little
3 experiment?

4 A. Well, I think I've tried to explain earlier that when you
5 have a concentration per milliliter that that concentration
per
6 milliliter is the same whether you're dealing with 10
7 milliliters or 1,000 milliliters or 10,000 milliliters, the
8 concentration remains the same. So that it's really not a
9 function of size in this respect. As long as we knew, which
we
10 obviously did, that the parameters, the characteristics of
11 these ovens were comparable, that the temperature within the
12 bottle is what we measured was comparable, there were really
no
13 assumptions to be made that I'm aware of.

14 Q. And one of the most important or, rather, the several most
15 important things in determining whether a dry heat-treat
16 process is coming close to doing what it should do is the
time,
17 the temperature, correct?

18 A. Well, I think any viral or microbiological kill is a
19 function of time and temperature.

20 Q. Okay.

21 A. Whether we're talking about bacteria, we're talking about
22 virus, we're talking about fungus, that's a known fact.

23 Q. Well, whether or not you're using stabilizers, correct?

24 A. Stabilizers are very important, yes.

25 Q. Okay. And did Cutter use stabilizers?

LAURA M. BRENNAN, Official Reporter

4

Mozen - cross by Thomas

1 A. Yes.

2 Q. And these stabilizers were to preserve the Factor VIII,
3 correct?

4 A. Yes.

5 Q. And the process of lyophilization alone is a stabilizer,
6 isn't it? I mean, that's why you get a better yield when you
7 dry heat, isn't that true?

8 A. Well, not exactly. When you lyophilize or freeze-dry, you
9 have a Factor VIII which is more stable than it is in
solution,
10 that's correct. And certain microorganisms can survive
11 freeze-drying. We learned that the HIV loses what we call two
12 logs, 100 particles per milliliter, just through the process
of
13 freeze-drying.

14 Q. But it can survive for years freeze-dried, can't it, can't
15 it, Doctor?

16 A. When you say "it," we're talking about proportions. If
you
17 have a certain amount, say you had 10,000 viral particles
prior
18 to freeze-drying, and 90 percent of them or 99 percent of them
19 would not survive the freeze-drying, those, that one percent
20 that survived, may remain viable for years. At least that's
21 true for hepatitis. I don't know about HIV.

22 Q. Jay Levy has written about that, hasn't he?

23 A. He has written about what?

24 Q. Do you keep up with Jay Levy's articles?

25 A. Yeah, sure.

LAURA M. BRENNAN, Official Reporter

1 Q. Okay. He's written, hasn't he, Dr. Mozen, that HIV virus

2 can live for years in lyophilized Factor VIII?

3 A. I thought I explained it. Do you want me to go over this

4 again?

5 Q. Well, I'm just asking you if that's what Dr. Levy has, if

6 he's come to the same conclusion?

7 A. What we're saying is during lyophilization, 90 or 99

8 percent of it doesn't survive. So you can't really say that

9 this is a stabilizing process. However, what we're saying is

10 that 1 percent or 10 percent that does survive may, depending

11 on what virus we're talking about, may survive for many years

12 in the freeze-dried state. However, I don't believe those
data

13 are available specifically for HIV, and for hepatitis, it's

14 solely by inference. Nobody has measured it.

15 Q. Okay. So in Cutter's dry heat-treat process, we have two

16 stabilizing factors at work: one, the lyophilization and, two,

17 whatever additional stabilizer Cutter has added to the
product,

18 correct?

19 A. You mean stabilizing Factor VIII activity?

20 Q. Yes.

21 A. Yes, uh-huh.

22 Q. Okay. And these stabilizer also, and this is I think well

23 known in the literature, stabilize viruses, don't they?

24 A. They can, yes.

25 Q. Okay.

LAURA M. BRENNAN, Official Reporter

1 A. That has made our -- that's why our problem of developing

2 heat treat was so difficult.

3 Q. And I presume that you added your stabilizers in your

4 experiment that you did with Jay Levy?

5 A. Oh, exactly the same.

6 Q. Now, you talked a bit about superinfection. I believe Mr.

7 Barr had a few questions for you about whether Cutter's
product

8 could have infected Stephen Poole. And I assume, Dr. Mozen,

9 you're basing your answer on the fact that Cutter had a dried

10 heat-treat process you believed would have killed any level of

11 HIV, is that correct?

12 A. That's one consideration, yes.

13 Q. Okay. It's also well reported in the literature that
there

14 are different strains of HIV virus, isn't that true?

15 A. Yes, again, depending how one defines strains. There are

16 virus that show a difference in genetic composition but

17 nonetheless are still referred to as HIV-I. But yes,

18 essentially, you're correct.

19 Q. Okay. In fact, the same virus that infects person A can

20 change and mutate over time and become more virulent, more

21 fierce?

22 A. You mean within person A?

23 Q. Yes.

24 A. That's been reported.

25 Q. Okay. In fact, you could cultivate virus from person A at

LAURA M. BRENNAN, Official Reporter

7

Mozen - cross by Thomas

1 a point in time, find a virus, HIV, six months later, take
2 another cultivation, and you might find a different strain of
3 virus, isn't that correct?

4 A. There could be some differences. Again, as I said, this
5 definition of "strain" sometimes gets a little confused when
6 you talk about real differences. There is a definition for it
7 in terms of genetic divergence.

8 Q. Okay. It may all be the same virus, but it changes. It
9 can disguise itself. It becomes more virulent. Wouldn't you
10 agree with that?

11 A. That's correct.

12 Q. Okay. And a person can, if a person is infected, let's
13 assume Stephen Poole received a lot, and 40 donors were
14 carrying HIV, and they were at different stages of infection,
15 some of those donors might have a more virulent virus than
16 others, wouldn't they, based on what we know?

17 A. I couldn't speculate on it. That's too highly
speculative.

18 I wouldn't even offer an opinion.

19 Q. But in principle, you agree that depending on the time of
20 infection, the virus that is transmitted from one person to
21 another will not always be identical?

22 A. Yes.

23 Q. It could be a more virulent virus?

24 A. Well, when they say more virulent, I think the kind of
25 experiments you are referring to really are within that same

LAURA M. BRENNAN, Official Reporter

1 individual. I don't know of any experiments that say that
this

2 is more virulent in some other individual. In other words,
you

3 referred to a person who is infected, and the virus is

4 cultured, and then some years down the line that virus is

5 cultured again and deemed to be more virulent. They only know

6 that it's more virulent in that individual, which takes into

7 account that individual's entire immune response to that
virus.

8 I don't believe anybody can say that that virus is

9 more virulent in someone else, because nobody can do that

10 experiment. Nobody is going to take that virus and inject it

11 into somebody else. So I couldn't accept that as being even
in

12 principle something I would buy into.

13 Q. Now, just to go back one moment into your experiment with

14 Jay Levy, if you're culturing the same, if you have an HIV

15 virus, and you're culturing it, let's assume that it's a
fairly

16 passive virus, if you will.

17 A. Pardon?

18 Q. Let's say it's not one of the virulent viruses.

19 A. Well, okay. Let's say that.

20 Q. Okay. And if you're stimulating it to grow and
cultivating

21 it, it's going to replicate itself, isn't it?

22 A. No. I think I explained earlier virus don't replicate

23 itself. They replicate by virtue of taking over the cellular
24 machinery, the cellular synthetic capability of the cell that
25 they infect. So they basically infect the cell and say to the

LAURA M. BRENNAN, Official Reporter

1 cell: Stop what you're doing and start making more of me.

2 This is what a virus does.

3 Q. Okay. Me, the cell that it is, the cell that you have
4 sitting there in the dish?

5 A. Make more of me being the virus.

6 Q. Right.

7 A. The virus tells the cell: Stop doing what you're doing
8 and

8 make more of me, me being the virus. The virus cannot
9 replicate by itself.

10 Q. It needs a human cell?

11 A. Yes.

12 Q. A cell?

13 A. A cell.

14 Q. Okay. Now, back to the superinfection theory that we were

15 discussing, you would agree that Stephen Poole could have been

16 exposed to several contaminated lots of Factor VIII

17 concentrate, wouldn't you?

18 MR. BARR: Excuse me, Your Honor, may we know what

19 year?

20 BY THE WITNESS:

21 A. When?

22 BY MS. THOMAS:

23 Q. Let's say in 1984.

24 A. Not if he was receiving heated product. I wouldn't
25 acknowledge that, no. But I wasn't here when all of the

LAURA M. BRENNAN, Official Reporter

1 history of his product usage was shown. So I really don't
know

2 what he received in '84.

3 If you asked me if he received a Cutter heat-treated
4 product, I would not acknowledge that he could be exposed.

5 Q. Okay. Well, we know your opinion about the Cutter
6 heat-treated product.

7 A. Right.

8 Q. My question to you is: Assuming he, Stephen Poole, had
9 been exposed to several contaminated lots of Factor VIII
10 concentrate, okay?

11 A. You mean non-heated?

12 Q. Okay. Non-heated.

13 A. Okay.

14 Q. He could well have been exposed to different strains of
15 HIV, isn't that true?

16 A. Are you saying when he got these three lots or whatever,
17 that he was already infected with HIV or not infected?

18 Q. Well, let's assume he was not infected, and over a course
19 of a few weeks he had three different lots.

20 A. You're saying I should assume he was not infected.

21 Q. Uh-huh.

22 A. And he received three contaminated batches of Factor VIII?

23 Q. Uh-huh.

24 A. Okay. And what is the question now?

25 Q. Okay. It's quite likely if those batches were

contaminated

LAURA M. BRENNAN, Official Reporter

11 Mozen - cross by Thomas

1 he could have been exposed to different strains of HIV?

2 A. You asked me to assume that they were contaminated.

3 Q. Uh-huh.

4 A. And with that assumption, he could have been exposed to
5 different strains, yes.

6 Q. Okay. And six months down the road, he could receive
7 another contaminated lot and be exposed to yet another strain
8 of HIV, isn't that correct?

9 A. Is he, six months down the line, is he now infected?

10 Q. Well, let's assume he was infected by one of those three,
11 but he hasn't yet seroconverted.

12 A. He could be, he could be exposed, but he wouldn't be
13 infected.

14 Q. Okay. I think we've already established that some persons
15 are exposed to contaminated lots of Factor VIII and for
16 whatever reason do not become infected, correct?

17 A. I believe that occurred, yes.

18 Q. Okay. Now, would you agree that it's possible that one
19 exposed and infected to a strain of HIV can have a
superimposed
20 infection?

21 A. I don't agree with that.

22 MR. BARR: I'm sorry, I couldn't hear, Your Honor.

23 BY THE WITNESS:

24 A. I would not agree with that.

25 MR. BARR: Thank you.

LAURA M. BRENNAN, Official Reporter

1 BY MS. THOMAS:

2 Q. And that's your opinion?

3 A. Well, it's my opinion based on a lot of experimental
4 literature.

5 (Discussion off the record.)

6 BY MS. THOMAS:

7 Q. Okay. Now, Cutter had been working on a pasteurized
8 product for some time, hadn't they --

9 A. Yes.

10 Q. -- in 1982?

11 A. I think I said we actually began that work in 1978.

12 Q. '78?

13 A. Yes.

14 Q. And in, is it March or April of 1983, you suddenly
switched

15 your R&D to the dry heat treatment, is that correct?

16 A. Well, I wouldn't say that "suddenly" is fair. As I
17 explained to Mr. Barr and to the jury I hope, we had been well
18 under way with this pasteurized process when the accumulating
19 data that suggested a possible association of concentrates and
20 the transmission of AIDS came about that we began to look at
21 the dry heat. So for several months we were actually
22 developing both simultaneously, perhaps even longer than that.

23

24

LAURA M. BRENNAN, Official Reporter

in your 1 Q. Were you working on the dry heat at all in 1982

2 Cutter labs?

3 A. No, we were not.

4 Q. Did your picking up on the dry heat process have

5 anything to do with Baxter getting a license in

January of 6 1983?

7 A. No, it didn't.

8 Q. Just coincidence?

9 A. No, not at all. I can tell you exactly what

happened.

10 When I had heard either late '82 or late '83 that --

I mean 11 early '83 -- about Baxter in a dry heated process and

we were 12 working on hepatitis, my personal opinion was dry

heat 13 wouldn't do anything for hepatitis B.

14 Q. You put that in writing, didn't you?

15 A. Yes, I did.

16 So, my interest was to continue with the

17 pasteurized product.

18 Q. But you were persuaded to take a second look at

the dry 19 heat treat process, weren't you?

20 A. Because of what I will now call it a potential

AIDS 21 crisis. When I was working solely on hepatitis that

was not 22 the case.

23 But as I mentioned in earlier testimony,
that with

24 our hope without a heck of a lot of data, that we
were

25 dealing with a virus. And if the possible virus were

LAURA M. BRENNAN, Official Reporter

that 1 susceptible to heat and the dry state, we could move
could the 2 much faster into a product onto the market than we
technical 3 pasteurized product that we were having a lot of
4 problems with.

dry heat 5 Q. You moved amazingly fast into the market with a
6 treated product, didn't you?

earlier; 7 A. With all of the assistance that I referred to
requiring 8 namely, the FDA giving us rapid review and not
9 certain preclinical studies that we had formerly been
product that 10 required to do for a hepatitis-free product or a
11 was alleged to be hepatitis-free.

process 12 Q. Is it your opinion that Cutter's dry heat treat
13 doesn't transmit any form of hepatitis?

14 A. No, it's not my opinion.

15 Q. So, it does transmit hepatitis?

equally 16 A. It transmits hepatitis. My opinion is that it's

understand is the 17 affected to pasteurization for HIV, which I

18 subject of this lawsuit.

rate of 19 Q. Right. If HIV is not present in the pools at a

milliliter, 20 or in a quantity of 1 million virus particles per

21 correct?

22 A. Run that by me again. I missed what you said.

23 Q. That's the most you can tell us, isn't it, that
based on

24 your experiment with Jay Levy where you heated the 15
or 20

25 vials in the microwave-sized oven -- well, why don't
you tell

LAURA M. BRENNAN, Official Reporter

1 me?

2 A. In 1985.

3 Q. Yes, yes.

4 A. That was our conclusion. That was the basis for
our

5 conclusion that this process successfully and totally
removes

6 HIV.

7 Q. How much HIV?

8 MR. BARR: Excuse me, your Honor. May the
witness

9 be allowed to finish?

10 MS. THOMAS: I am sorry.

11 BY THE WITNESS:

12 A. All of it, but --

13 BY MS. THOMAS:

14 Q. But what is all of it? Please, I would like to
--

15 A. Well, as I recall, we concluded that if the
16 concentration is no greater than 10 to the sixth,
which is

17 one million HIV infectious particles per milliliter,
then we

18 had assurance that it was all inactivated. But since
that --

19 Q. All that you added to it?

20 A. Which was approximately a million, right.

21 Q. Uh-huh.

22 A. If we had a billion, I would have added a
billion. We

23 didn't have that much. Now we found out that that

million

24 was still maybe 20 times more than has ever been
recorded in

25 the most infectious individual.

LAURA M. BRENNAN, Official Reporter

1 Q. You are sure about that, Dr. Mozen?

2 A. Well, I can only be -- I didn't do that
experiment

3 myself. I have -- I am quoting literature.

4 Q. Dr. Hoe?

5 A. Dr. Hoe is a noted virologist and researcher in
AIDS
6 research.

7 Q. Dr. Hoe in 1989?

8 A. He is still a noted researcher.

9 Q. I am sure he is, but that's the article that you
are
10 quoting to us, right?

11 A. Yes, because, as I mentioned earlier, there was
nothing
12 else available initially. This question has come up
in this

13 trial a number of times. How many particles of
infectious

14 viruses is in an infected donor's plasma? That
question was

15 asked many times. The answer wasn't there until Dr.
Hoe's

16 article in 1989.

17 Q. Okay. Since then other people have been able to
18 quantify how much virus a person has circulating in
this

19 plasma once he has come down with frank AIDS and the
virus

20 has left the lymph system and spread throughout his
body. A

21 lot of people are doing that now.

22 A. I think there have been other articles since
then.

23 Q. The number is getting higher, isn't it?

24 A. No, I don't think so. They are substantially
different.

25 Q. Now I understand your view on infections with
different

LAURA M. BRENNAN, Official Reporter

1 strings of HIV. Now, you are not a virologist,
right?

2 A. That's correct.

3 Q. I presume you don't have an opinion on the
impact of a

4 hepatitis virus on a person already infected with
HIV?

5 A. Well, I don't know that I don't have an opinion.

6 Q. But that's just not your area?

7 A. I am not a virologist, right.

8 Q. Okay. Now, did you invite some of the other
defendants

9 to participate in this experiment with Jay Levy?

10 A. Invite in what form?

11 Q. Oh, say, "Come on, bring your dry heated product
and we

12 will see how all of ours do together, how they
measure up"?

13 A. No, we didn't do that.

14 Q. Did Jay Levy, do you know, offer to test the
other

15 defendants' products? I believe he is on record as
having

16 said he did.

17 A. I believe he testified that he had contacted
others, but

18 I don't know anything of the detail other than what
was read

19 here.

20 Q. So, you weren't involved in that at all?

21 A. Not with other manufacturers, no.

22 Q. But, according to this experiment that you

performed, at

23 times less than 36 hours at a temperature of 68
degrees there

24 would still be detectable levels of HIV in the
concentrate;

25 is that correct?

LAURA M. BRENNAN, Official Reporter

But I 1 A. Detectable of the enormous amount that we added.
2 don't want you to confuse that into thinking that
that's the 3 level that might be there from an infected donor. I
have to 4 emphasize that we had added an enormous amount of
virus. So, 5 there was some detectable. Had we put in less, there
6 probably would have been less.

7 THE COURT: What would account for the heat
not 8 killing all of the virus? Presumably the temperature
is the 9 same for each virus.

10 THE WITNESS: Right. But it's a matter of
11 quantity. In other words, if you have 10 million
virus, they 12 just don't all go at the same time.

13 It's a good question. I don't know exactly
the 14 answer other than that's the way it is. For example,
the 15 same thing with bacteria when you sterilize
something. You

16 put it in the what we call pressure cooker,
autoclave, and if 17 the load is big enough, it takes longer time.

18 THE COURT: That would indicate that there
is 19 differential heat reaching the various individuals.

20 THE WITNESS: Well, that could be too.

21 THE COURT: So, the more virus, the more

variation

22 in the amount of heat reaching --

23 THE WITNESS: The longer it takes until
it's all

24 inactivated, yes.

25 BY MS. THOMAS:

LAURA M. BRENNAN, Official Reporter

1 Q. Is this dry heat we are talking about?

2 A. Well, the principle I was just mentioning holds
for dry
3 or wet.

4 Q. You don't use a steam bath? Cutter did not use
a steam
5 bath in its dry heat treat method, did it?

6 A. I am not sure I know what you mean.

7 Q. Did you use steam?

8 A. Steam?

9 Q. Vapor.

10 A. On the product? The product is in a bottle and
it's
11 sealed.

12 Q. So, it was totally dry. No moisture involved in
this
13 heat at all?

14 A. Well, even a freeze-dried product has .4 percent
15 moisture or half percent moisture, so it's not
totally dry,
16 but essentially dry for sake of this discussion.

17 THE COURT: It's 5:30. Do you want to
continue
18 this tomorrow?

19 MS. THOMAS: Yes.

20 THE COURT: All right. You can stand down.

21 Let me -- before we leave, is it Mrs.
Hellman who

22 has a problem starting around the 22nd?

23 JUROR HELLMAN: Not the 22nd. The 1st of
December.

24 THE COURT: The 1st of December, okay. So,
we are
25 in the clear until then. Well, I am very hopeful.
You

LAURA M. BRENNAN, Official Reporter

hopeful 1 notice I don't say certain, but I am certainly very

2 we will be finished before that time.

3 All right. Now, not before 10:00 o'clock

4 tomorrow. 10:00 o'clock.

witnesses that 5 MS. GOURLEY: Your Honor, I have two

we can 6 will only be here tomorrow morning. Is there any way

7 start at 9:30?

8 THE COURT: At what time?

9 MS. GOURLEY: At 9:30.

going to 10 THE COURT: Oh, no. I have got -- I am

11 have a courtroom full of people here from 9:00 to
10:00.

12 MS. GOURLEY: Thank you, your Honor.

13 THE COURT: Okay.

14 (An adjournment was taken at 5:00 p.m. until
10:00 a.m.

15 of the following day, November 9, 1993.)

16

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

IN THE UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF ILLINOIS
EASTERN DIVISION

PEGGY GRUCA, et al.,)
)
 Plaintiffs,) NO. 86 C 7623
)
 v.) Chicago, Illinois
) November 9, 1993
 ALPHA THERAPEUTIC, CO., et al.,) 10:30 a.m.
)
 Defendants.)

VOLUME 19-A
TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE JOHN F. GRADY

APPEARANCES:

For the Plaintiffs: LEONARD M. RING & ASSOCIATES
111 West Washington Street
Suite 1333
Chicago, IL 60602, by
MR. LEONARD M. RING
MS. DEBRA A. THOMAS

For Alpha Therapeutic Corporation: WILDMAN, HARROLD, ALLEN & DIXON
225 West Wacker Drive
Suite 3000
Chicago, IL 60606, by
MS. ANNE GIDDINGS KIMBALL

KNAPP, PETERSON & CLARK
70 Universal City Plaza
Universal City, CA 91600, by
MR. DAVID I. BELL

BRONSON, BRONSON & MC KINNON
444 South Flower Street
25th Floor
Los Angeles, CA 90071, by
MR. EDWIN W. GREEN

1 For Armour
Pharmaceutical
2 Company: SIDLEY & AUSTIN
One First National Plaza
3 Suite 4300
Chicago, IL 60603, by
4 MS. SARA J. GOURLEY
MS. LORY A. BARSDATE
5
6 For Miles
Laboratories: JOHNSON & BELL, LTD.
7 222 North LaSalle Street
Suite 2200
8 Chicago, IL 60601, by
MS. PAMELA L. GELLEN
9
O'CONNOR, COHN, DILLON & BARR
10 151 Union Street at Sansome
Suite 551
11 San Francisco, CA 94111, by
MR. DUNCAN BARR
12
For Baxter Travenol
13 Laboratories, Inc.: ALBERT, BATES, WHITEHEAD &
MC GAUGH
14 10 South Wacker Drive
Suite 2035
15 Chicago, IL 60606, by
MR. CHARLES G. ALBERT
16
DECHERT, PRICE & RHOADS
17 4000 Bell Atlantic Tower
1717 Arch Street
18 Philadelphia, PA 19103, by
MR. RICHARD L. BERKMAN
19
20
21
22
23 COURT REPORTER: LAURA M. BRENNAN
24 219 South Dearborn Street, Room 2342
Chicago, IL 60604
25 (312) 427-4393

1 (Proceedings in open court. Jury out.)

2 THE CLERK: Case 86 C 7623, Gruca versus Alpha; case
3 on trial.

4 THE COURT: Okay.

5 MS. GOURLEY: A few of us are here.

6 THE COURT: Has anybody seen anybody else out there?

7 MS. GOURLEY: I think they are.

8 MR. BERKMAN: Your Honor, can I suggest at the
9 afternoon break we get five minutes so we can talk scheduling
10 before the jury goes home?

11 THE COURT: Right.

12 MR. BERKMAN: Because I have some ideas that may be
13 helpful.

14 THE COURT: Okay. Let's take just a couple minutes
15 here, and then we'll get going.

16 MS. GOURLEY: Your Honor, I want to remind you that
17 we
18 have a couple of witnesses we're calling out of turn this
19 morning because of availability problems.

19 THE COURT: That's fine.

20 (Recess.)

21 MR. GREEN: Your Honor, my colleagues told me I
22 forgot

22 to rest yesterday. So we would rest subject to any --

23 THE COURT: Oh, good news.

24 MR. GREEN: -- subject to the admission of some
25 documents.

1 THE COURT: We're one-quarter of the way through the
2 defense now.

3 You know, I said to the jury yesterday I thought we
4 might not make it by the 1st of December. There is no way
5 we're going to make it by the 1st of December.

6 MR. BERKMAN: Your Honor, I think we're going to end
7 on Monday the defense case.

8 THE COURT: Really?

9 MR. BERKMAN: Yes, sir. That's why I wanted to
10 discuss that.

11 THE COURT: Oh, that's wonderful news.

12 MR. BARR: We'd like to talk scheduling with you if
13 we'd have a minute sometime.

14 THE COURT: Okay.

15 MR. BARR: Maybe a couple minutes on the record if we
16 could, too.

17 THE COURT: Sure.

18 (Jury in.)

19 THE COURT: Good morning, ladies and gentlemen.

20 I think we're going to have some witnesses from
Armour

21 now.

22 MS. GOURLEY: Armour calls William Magruder.

23 MR. RING: We're still on the cross. We're still on
24 the cross of --

25 THE COURT: Yes, but we were going to call these

Magruder - direct

1 witnesses out of order, because they're leaving town or
2 something like that.

3 MS. GOURLEY: Their availability, yes.

4 THE COURT: So we're interrupting the
5 cross-examination of the last witness.

6 MR. RING: Yes, sure.

7 (Witness duly sworn.)

8 WILLIAM R. MAGRUDER, DEFENDANT ARMOUR'S WITNESS, SWORN

9 DIRECT EXAMINATION

10 BY MS. GOURLEY:

11 Q. Would you please state your name for the record and spell
12 it for the court reporter?

13 A. William R. Magruder, M-a-g-r-u-d-e-r.

14 Q. Where do you work, Mr. Magruder?

15 A. I work for Premier Health Alliance in Westchester,
16 Illinois.

17 Q. And before you were employed by Premier Health Alliance,
18 where did you work?

19 A. I worked for Michael Reese Hospital in Chicago.

20 Q. What was your position with Michael Reese?

21 A. When I left, I was director of pharmacy.

22 Q. And how long have you been director of pharmacy?

23 A. Since May of 1986.

24 Q. And what was your position before you were director of the
25 pharmacy?

Magruder - direct

1 A. I was associate director of pharmacy.

2 Q. And is that the position that you held in January 1985?

3 A. Yes, it is.

4 Q. As director of the pharmacy at Michael Reese and before
5 that as the associate director of the pharmacy, were you
6 familiar with Michael Reese's practices regarding Factor VIII?

7 A. Yes, I was.

8 Q. Are you familiar, were you familiar with Michael Reese's
9 practices with respect to the purchase of Factor VIII?

10 A. Yes, ma'am.

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1

Magruder - direct

1 Q And also with respect to the dispensing of Factor VIII when

2 a patient was in the hospital and required that medicine?

3 A Yes, I was.

4 Q Before we talk about that, I would like to find out a
little

5 bit about your background.

6 Could you describe for the jury, please, what your
7 education is beginning with college?

8 A I have a bachelor's degree in pharmacy from the University

9 of Illinois, which I received in 1970, and a master's degree in

10 business administration from Rosary College, which I received
in

11 1980.

12 Q Are you a licensed pharmacist?

13 A Yes, I am.

14 Q In what state?

15 A In the State of Illinois.

16 Q And when did you receive your pharmacist's license?

17 A September of 1970.

18 Q Now, I served you with a subpoena to appear here today,
19 didn't I?

20 A Yes, you did.

21 Q We talked on the phone a couple of times and arranged a
22 schedule because of some conflicts you had in your appearing
23 here today pursuant to that subpoena, is that right?

24 A That is correct.

25 Q I tendered you a check for your witness fee and mileage, is

LAURA M. BRENNAN, Official Reporter

1 that right?

2 A Yes, you did.

3 Q Now, did Michael Reese maintain records of its purchases of
4 Factor VIII concentrates?

5 A Yes, we did.

6 Q What kind of records were kept?

7 A We kept copies of the purchase orders for that product.

8 Q Would you take a look, please, and I believe I gave you
9 before Court this morning, so you could have a chance to look

10 through it, a copy of Armour Exhibit Number 47.

11 Do you have that with you?

12 A Yes, I do.

13 Q And is that a copy of Michael Reese's purchase orders from
14 directed to Armour Pharmaceutical, Cutter Laboratories, Baxter
15 Travenol, Hyland, and Alpha Therapeutic from 1983 up until the
16 time the subpoena was complied with?

17 A Yes, it is.

18 Q Did you have a chance to look through that this morning?

19 A Yes, I did.

20 Q Now, could you tell us what kind of information is
disclosed

21 on the purchase orders that Michael Reese keeps?

22 A The name of the manufacturer from which we purchased the
23 product, the amount of product that we purchased both in vials

24 and in unit value per vial and, in some cases, an indication of
25 the amount actually received.

LAURA M. BRENNAN, Official Reporter

3

Magruder - direct

1 Q Okay.

2 MS. GOURLEY: Your Honor, I would move admission of
3 Armour Exhibit 47, which is the purchase orders.

4 THE COURT: It is received.

5 BY MS. GOURLEY:

6 Q Now, by --

7 You said it discloses the name of the vendor, is that
8 what you said?

9 A Yes, the name of the manufacturer of the product.

10 Q What do you mean by unit value?

11 A Factor VIII is a biological product that when it is
12 manufactured, it is impossible to tell until it is assayed what
13 the activity units will be. So it is a measure of the
14 biological activity of the product.

15 Q Okay. And when you purchased Factor VIII concentrate while
16 you were the associate director of the pharmacy at Michael
17 Reese, did you request a certain unit level from the seller?
18 A We would discuss with the seller at the time we were
placing
19 the purchase order what unit value they had in stock at the
20 time.

21 It depended based on the production of the product and
22 the assay as to what value they would have. We would discuss
23 with them what they had that would meet our needs and then
agree

24 on a number of vials and a unit value to be shipped.

25 THE COURT: What do you mean by an assay and who does

LAURA M. BRENNAN, Official Reporter

4

Magruder - direct

1 it?

2 THE WITNESS: It is done by the pharmaceutical company

3 at the time of production, and it is a scientific test to

4 determine the activity of the Factor VIII in causing blood

5 clotting.

6 BY MS. GOURLEY:

7 Q That is assay, a-s-s-a-y, right?

8 A Yes.

9 Q And that means essentially test?

10 A Yes, it is a test that they are required to do by the Food

11 and Drug Administration in order to label the product

12 appropriately.

13 Q So if I understand you correctly, you call and you find out

14 what unit values the seller has available, and then you order

15 based on your needs a particular unit value?

16 A Yes. We also need to know what the unit value is in order

17 to determine what the total cost of the purchase order will be.

18 Q And that is all reflected on the purchase orders which are

19 Exhibit 47?

20 A Yes, it is.

21 Q Okay. Now, is there any other purpose for which the

22 purchase orders are prepared at Michael Reese?

23 A For internal records to determine where we spend our money.

24 Q Lit me show you a couple of pages out of Exhibit 47, first
25 some pages I have marked as Armour Trial Exhibit Number 47 A.

LAURA M. BRENNAN, Official Reporter

5

Magruder - direct

1 Could you tell the jury what those pages are?

2 A These are purchase orders from Hyland Therapeutics for
3 Hemofil T.

4 Q And could you tell us, please, what unit value is reflected
5 as having been purchased by Michael Reese Hospital?

6 A 800 -- or 989 unit vials on December the 18th of '84; 1,380
7 unit vials on December the 26th; 1,080 unit vials on January
the
8 7th; and 1,080 unit vials on January the 14th, '85.

9 MS. GOURLEY: Your Honor, may I distribute these to
the
10 jury?

11 THE COURT: Yes.

12 (Brief interruption.)

13 BY MS. GOURLEY:

14 Q Now, in connection with your review of Exhibit 47, did you
15 also have an opportunity to look at the purchase orders
directed

16 to Armour Pharmaceutical from Michael Reese?

17 A Yes, I did.

18 Q And did you determine when the last purchase of Armour
19 concentrate was made by Michael Reese from 1984 through the end
20 of January 1985?

21 A Yes, I did.

22 Q When was that?

23 A On July the 19th of 1984.

24 Q Let me show you what I have marked as Armour Exhibit 47 B

25 and ask you if that is a copy of the purchase order reflecting

LAURA M. BRENNAN, Official Reporter

1 Michael Reese's last purchase of Armour concentrate during that

2 time period?

3 A That is correct.

4 Q And could you tell the jury, please, how many vials were

5 purchased and what the unit value was?

6 A 50 vials of 965 units per vial.

7 MS. GOURLEY: Your Honor, may I distribute to the
jury?

8 THE COURT: Yes.

9 (Brief interruption.)

10 BY MS. GOURLEY:

11 Q Now, I would like to talk a little bit about Michael

12 Reese's inventory and use of Factor VIII concentrates.

13 How, if at all, did you monitor the use of Factor VIII

14 concentrates while you were in the pharmacy of Michael Reese?

15 A During the time I was in the pharmacy, we had a computer

16 program that would produce a list on a daily basis of patients

17 in the hospital that were on Factor VIII.

18 That report was reviewed by myself and two other

19 individuals within the pharmacy to determine who we had inhouse

20 on Factor VIII and what, if any, supplies of Factor VIII we

21 would need to meet the needs of those patients for the next few

22 days.

23 Q How much Factor VIII did you try to maintain in inventory
at

24 any given time?

25 A Somewhere between a hundred and 150 vials.

LAURA M. BRENNAN, Official Reporter

1

Magruder - direct

1 Q. On average, if you can tell us, how long would a hundred
2 vials of Factor VIII last?

3 A. It's very difficult to say. It depends on the number of
4 patients in house and the amount of Factor VIII that's being
5 prescribed for them. It could last anywhere from a couple
days

6 to a month, probably no more than two.

7 Q. Is there also a hemophilia treatment center at Michael
8 Reese Hospital?

9 A. Yes.

10 Q. And in January 1985 and during 1984, did the patients at
11 the hemophilia treatment center also receive their Factor VIII
12 concentrates from the Michael Reese pharmacy?

13 A. Yes, they did.

14 Q. Where was the supply of Factor VIII stored in the pharmacy
15 at Michael Reese?

16 A. It was stored in a walk-in refrigerator in the pharmacy.

17 Q. Did you have one refrigerator or more than one?

18 A. Just one.

19 Q. And all of the Factor VIII was in that single
refrigerator,

20 is that right?

21 A. Yes, it was.

22 Q. And that was true in January 1985?

23 A. Yes.

24 Q. Okay. Now, let's turn for a moment to when Factor VIII is

25 needed for a patient on a ward in the hospital. How did the

LAURA M. BRENNAN, Official Reporter

1 pharmacy learn that a hospital patient needed Factor VIII?

2 A. The patient's physician would write an order in the
medical

3 record. A copy of that order would be forwarded to the

4 pharmacy. We would then dispense a 24-hour supply of Factor

5 VIII based on the physician's order and then check everyday to

6 see if that order was continued and provide another amount to

7 last another 24 hours.

8 Q. So you sent product, Factor VIII, to be used for a
hospital

9 patient one day at a time, is that right?

10 A. Yes, ma'am.

11 Q. Okay. And that same procedure was in place in January

12 1985, is that right?

13 A. Yes, it was.

14 Q. Okay. Now, you said the hospital supply of Factor VIII
was

15 kept in a refrigerator in the pharmacy. Was Factor VIII ever

16 stored on the wards of the hospital?

17 A. Only when it was ordered for a particular patient and only

18 in 24-hour supply.

19 Q. Okay. So if I have this correctly, on a day when a
patient

20 needs Factor VIII, an order comes down, and the Factor VIII is

21 shipped from the pharmacy for that day for that patient, is

22 that right?

23 A. That is correct.

24 Q. And if he needs a second day supply, you go through the
25 same thing all over again, right?

LAURA M. BRENNAN, Official Reporter

1 A. Yes, ma'am.

2 Q. Okay. Now, let's talk about the procedures within the
3 pharmacy for controlling your inventory of Factor VIII. Did
4 you have any special procedures in place with respect to what
5 Factor VIII you used first or last?

6 A. Yes. We would rotate our stock on a regular basis. When
7 new stock would come in, the old stock would be pulled to the
8 front of the shelf. The new stock would be put in the back.

9 And we would continually use the oldest stock first leaving
the
10 new stock to be used later.

11 Q. First in, first out?

12 A. Yes, ma'am.

13 Q. Okay. Did there come a time when the Michael Reese
14 pharmacy switched to the exclusive use of heat-treated Factor
15 VIII concentrates?

16 A. Yes.

17 Q. When was that?

18 A. That would have been in June of 1984.

19 Q. Okay. How did that come about?

20 A. Through a discussion with our hematology, oncology
21 department within the hospital.

22 Q. Now, I believe you said that, you told us earlier that
your

23 last purchase of Armour was in July of 1984?

24 A. Yes.

25 Q. Can you tell from this whether that was heat-treated or
not

LAURA M. BRENNAN, Official Reporter

1 heat-treated?

2 A. At this point I would have to say based on the price of
the

3 product it was a non-heat-treated product.

4 Q. So if we looked at all of the purchase orders which are
5 contained in Armour Trial Exhibit No. 47 and determined when

6 there were no longer any more purchase orders for

7 non-heat-treated Factor VIII, that would tell us for sure when

8 Michael Reese switched to the exclusive use of heat-treated

9 Factor VIII, is that right?

10 A. Yes.

11 Q. Now, is it possible that Factor VIII could be left at a
12 nurses station and kept there?

13 A. We normally checked stock on the nursing station everyday
14 based on the report we got from the computer system, and any
15 product left on a nursing unit for a patient who had the order

16 discontinued would be removed and brought back to the
pharmacy.

17 Q. Okay. Now, in connection with -- I believe you told us
18 that the most, in your estimation, the longest period of time

19 you would have a particular stock of Factor VIII would be
about

20 two months, is that right?

21 A. That's correct.

22 Q. So in connection with Stephen Poole's hospitalization in

23 January 1985, is it your belief that if we looked at the
24 purchase orders in December 1984 and January 1985, that would
25 tell us what Factor VIII product he received?

LAURA M. BRENNAN, Official Reporter

1

Magruder - direct

1 A Yes.

2 Q Have you reviewed Michael Reese's purchase orders for
3 December 1984 and January 1985?

4 A Yes, I have.

5 Q And after this case was filed, in fact, did you receive a
6 subpoena from the plaintiffs in this case asking you to
identify

7 the manufacturer of the Factor VIII product received by Stephen

8 Poole?

9 A Yes, I did.

10 Q Did you make a review of your records at that time?

11 A Yes, I did.

12 Q And based on your review of the records, what did you find?

13 A I found that the product purchased during that period of
14 time was the Hyland product.

15 Q And did you -- let me show you what has been marked as
16 Armour Exhibit Number 40.

17 Let me ask you first is that your signature that
18 appears on that document?

19 A Yes, it is.

20 Q Did you write this letter in February 1987?

21 A Yes, I did.

22 Q And could you please read for the jury the first full
23 sentence of the second paragraph?

24 A "During the period of January 8th, 1995, to January 26th"

--

25 Q I am sorry, '85?

LAURA M. BRENNAN, Official Reporter

2

Magruder - direct

1 A That is what it says.

2 Q I am sorry. I thought you said '95?

3 A "'85 to January 26th, 1985, Michael Reese Pharmacy supplied

4 Mr. Poole with Factor VIII manufactured by Hyland
Therapeutics."

5 MS. GOURLEY: Your Honor, I would move admission of

6 Exhibit 40.

7 THE COURT: What is 40?

8 MS. GOURLEY: It is a letter he wrote identifying the

9 manufacturer of the product received by Stephen Poole.

10 THE COURT: Is there any objection?

11 (No response.)

12 THE COURT: All right, it is received.

13 MS. GOURLEY: May I distribute it to the jury?

14 THE COURT: Yes.

15 (Brief interruption.)

16 MS. GOURLEY: In fact, I have a large version.

17 BY MS. GOURLEY:

18 Q And did you send this letter?

19 A Yes, I did.

20 Q Is it your belief today, knowing what you know about the
21 practices of the Michael Reese Pharmacy at the time you were
22 associate director of that pharmacy, that Mr. Poole received
23 heat treated Factor VIII concentrate manufactured by Hyland/
24 Baxter during his hospitalization in January 1985?

25 A Yes, it is.

LAURA M. BRENNAN, Official Reporter

1 Q Now, does Michael Reese purchase medicine from Armour other

2 than Factor VIII concentrate?

3 A Yes, we do.

4 Q And, for example, Albuminar?

5 A Yes.

6 Q MVI?

7 A Yes.

8 Q Do those products arrive at Michael Reese in boxes?

9 A Yes, they do.

10 Q And do those boxes identify Armour on them? Do they say

11 Armour on them anywhere?

12 A The actual package that the medicine is in, yes.

13 Q Are those packages the kinds of things that also may be
sent

14 to a nurse's station?

15 A Yes.

16 Q Let me show you what has been marked as Armour Exhibit 50

--

17 53.

18 Do you recognize that box to be a box of Armour Factor

19 VIII concentrate?

20 A Yes, I do.

21 Q Do you recognize this box to be a box of Armour normal
serum

22 albumin?

23 A Yes.

24 Q And those are both the kinds of things that if they were

25 ordered by a physician would be sent to a nurse's station, is

LAURA M. BRENNAN, Official Reporter

1 that right?

2 A That is correct.

3 Q And does Armour's name appear on those boxes anywhere?

4 A Yes.

5 Q Can you point out to the jury where it appears?

6 A It is on the bottom of the box.

7 Q And also on that one.

8 Thank you.

9 One last question. Do you believe based on your
10 knowledge of the pharmacy and its practices that as set forth
11 in

12 your letter in 1987, is it still your belief today that Mr.

13 Poole received Factor VIII concentrates manufactured by Hyland

14 during his hospitalization?

15 A Yes, it is.

16 Q And none from Armour?

17 A No.

18 MS. GOURLEY: Thank you. I have no further questions.

19 CROSS EXAMINATION

20 BY MS. THOMAS:

21 Q Mr. Magruder, who is Charles Lev?

22 A Charles Lev is or was the Director of Pharmacy at Michael

23 Reese Hospital prior to my taking that position.

24 Q Was Charles Lev the Director of Pharmacy in January of
1985?

25 A Yes, he was.

25 Q Okay. So he had the job that you eventually took over?

LAURA M. BRENNAN, Official Reporter

1 A Yes.

2 Q Now, the purchase orders that you reviewed, you said, I
3 believe, that they contained the name of the vendor such as
4 Armour or Baxter, the amount of the units, and in some cases it
5 would show the amount that Michael Reese actually received from
6 the vendor, correct?

7 A Yes, on some of the documents in this pile there was some
8 indication of the number received.

9 Q Okay, but the purchase orders that you received don't tell
10 you what the hospital actually got for the most part?

11 A Not these copies, no.

12 Q Okay. And that's not what you reviewed? You didn't review
13 any records showing what the hospital actually received from
14 anybody, only what they ordered?

15 A If there was any discrepancy in what was ordered and what
16 was received, it would be noted on all copies of the purchase
17 order.

18 Q Well, I want to know what you reviewed.

19 A I reviewed copies of the purchase orders.

20 Q Okay. And those go back to 1984?

21 A They go back, I think, prior to 1984.

22 Q 1983?

23 A This first one from Armour is 3/6 of '84.

24 Q Okay. And back then you were Assistant Director of
Pharmacy

25 in '83?

LAURA M. BRENNAN, Official Reporter

1 A Associate Director.

2 Q Associate.

3 Now, Charles Lev, when he was director, had primary
4 responsibility for making the purchases of Factor VIII at
5 Michael Reese Hospital, right?

6 A He had total responsibility for the operation of the
7 pharmacy as Director of the pharmacy.

8 Q And on occasion Michael Reese Hospital made spot purchases
9 of Factor VIII concentrate, didn't it?

10 A From time to time.

11 Q In fact, there was a bidding system, wasn't there?

12 A We participated in a group purchasing organization that
13 would bid product, yes.

14 Q That would be a bid setting the -- determining what price

15 Michael Reese would pay for the concentrate over a certain
16 period of time from a certain manufacturer, is that essentially

17 correct?

18 A Yes.

19 Q But Michael Reese was not prevented from buying product
20 outside of the bid, was it?

21 A No.

22 Q And Charlie Lev in 1983 and 1984 did that if he could get
a
23 better price for Michael Reese Hospital for Factor VIII, didn't
24 he?

25 A He may have.

LAURA M. BRENNAN, Official Reporter

7

Magruder - cross by Thomas

1 Q He may have from time to time made spot purchases at a
2 significantly lower price, correct?

3 A Yes.

4 Q And those would not be reflected in these purchase orders
5 that you reviewed?

6 A Yes, they would.

7 Q I believe, sir, you testified that they would not have
been.

8 MS. GOURLEY: Objection, your Honor. That's not his
9 testimony.

10 THE COURT: Today?

11 THE WITNESS: If Michael Reese purchased the product,
12 there would be a purchase order or the bill would never get
13 paid. Charlie didn't steal a product. He purchased it.

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Q Of course not, but the purchase orders that you
reviewed --

2 A Were the records of all purchases made by
Michael Reese

3 Hospital.

4 Q I beg to differ with you, sir.

5 When we talked about Charlie Lev, who was
the

6 director of pharmacy, he made spot purchases very
quickly.

7 These were done --

8 A Ask Mr. Lev.

9 Q These were done by telephone outside of the
contract that

10 you had -- that you had negotiated in this bidding
system,

11 isn't that correct? He could pick up the phone, he
could call

12 Armour, he could make a spot purchase like that?

13 A All of these purchase orders were done over the
phone.

14 Q But they were reflected by the documents you
reviewed,

15 correct?

16 A I don't understand your question. These are
the documents

17 I reviewed. All of these purchases were made over
the phone.

18 A purchase order was typed pursuant to the phone
conversation,

19 as I earlier just testified, and they were
documentation so

20 that we could provide it to our accounting

department so the

21 bill could get paid and so the receiving department
could

22 receive merchandise.

23 It was impossible for us to type up a
purchase order

24 prior to calling the manufacturer because we didn't
know what

25 the unit value was that they had in stock.

1 Q What would account for a drop in price of
Factor VIII that

2 would make Michael Reese go outside of the bid?

3 A It could be a number of things. The
manufacturer could

4 have product that was short dated that they wanted
to move.

5 Any number of things.

6 Q And in 1984 there was no policy at Michael
Reese that only

7 heat-treated Factor VIII would be purchased, is
that correct?

8 A At this point I don't know if there was a
particular

9 policy. I do know that we began purchasing
heat-treated Factor

10 VIII in July of 1984.

11 Q Do you know when the policy went into effect
that Michael

12 Reese Hospital would no longer purchase unheated
Factor VIII

13 concentrate?

14 A I don't know that there was a particular policy
by the

15 hematology department to that effect.

16 Q There was a difference in price between
unheated Factor

17 VIII and heated Factor VIII?

18 A Yes.

19 Q A significant difference in price at least in
1984?

20 A Yes.

21 Q Now, you mentioned that the program the

pharmacy had with

22 the various wards throughout Michael Reese Hospital
was that at

23 least with regard to Factor VIII a patient would
come in, a

24 doctor's order would be written indicating how many
units that

25 patient would receive on a daily basis, and then
the pharmacy

1 would be so notified directly?

2 A That's correct.

3 Q Now, is it your testimony that each and every
day the

4 pharmacy then sent up a day's worth of Factor VIII
until that

5 order was withdrawn?

6 A Yes.

7 Q And if the system were working absolutely
perfectly, the

8 nurse or somebody on the ward where the hemophilia
patient is

9 would then return the Factor VIII that night that
had not been

10 used if there were any, is that correct?

11 A No. The pharmacy would check the following
morning to see

12 if there were any product not used, determine why
it wasn't

13 used, if there was more needed, and provide the
difference.

14 Q How many patients on the average did Michael
Reese Hospital

15 have in 1984?

16 A On a daily basis probably somewhere in the
neighborhood of

17 520.

18 Q So in order for this daily exchange of Factor
VIII

19 concentrate this system you just told us about
would have to be

20 working perfectly?

21 A I don't quite understand the term "perfectly."

It worked.

22 We provided Factor VIII to patients on a daily basis.

23 Q I don't think there is any dispute about that.
What I'm

24 asking you is if the system worked perfectly, you would be

25 receiving whatever wasn't used on the ward, it would be

1 returned to the pharmacy?

2 A That's correct. We would bring it back to the
pharmacy.

3 MS. THOMAS: May I approach the witness,
your Honor?

4 THE COURT: Yes.

5 BY MS. THOMAS:

6 Q Do you know what albumin is used for?

7 A Yes.

8 Q What, sir?

9 A Protein replacement or fluid replacement,
depending on the

10 situation that the patient is in.

11 Q Is it usually used for treatment of shock?

12 A It can be.

13 Q Is that its normal use generally?

14 A In some cases, yes. It may be used to treat
shock. In

15 other cases, it may be used to treat edema.

16 Q This is the albumin box?

17 A Yes.

18 Q This is the Factor VIII box, correct?

19 A Correct.

20 MS. THOMAS: I have no further questions.

21 BY MS. GROULEY:

22 Q Just so it's clear, with respect to any
possible spot

23 purchases that Miss Thomas was referring to, am I
correct, that

24 all of those purchases would be reflected in

purchase orders

25 cut by Michael Reese and included in Exhibit 47, is
that right?

1 A That's correct.

2 Q Okay. That's true regardless of what the price
of the
3 product was?

4 A Correct.

5 MS. GOURLEY: That's all I have.

6 MS. THOMAS: One last question.

7 BY MS. THOMAS:

8 Q You don't know, Mr. McGruder, for a fact what
Charlie Lev
9 ordered outside of the bid, do you?

10 A I only know what is in this list of purchase
orders that

11 was ordered by Michael Reese. Whether it was
ordered by myself

12 or Mr. Lev, I can't remember, but this is what
Michael Reese

13 ordered.

14 Q You can't say for a fact that Mr. Lev never
ordered outside

15 of the bid unheated Factor VIII from Armour in 1984
after July?

16 MS. GOURLEY: Object to the form.

17 THE WITNESS: Based on what's in this
document, there

18 is no record of Michael Reese every purchasing
unheated

19 treatment Factor VIII after July, I believe, of
1984.

20 BY MS. THOMAS:

21 Q Based on the records that Michael Reese
Hospital produced?

22 A Yes.

23 MS. THOMAS: No further questions.

24 THE COURT: Any questions from the jury?
All right.

25 Thank you.

Kessler - direct by Gourley

1 (Witness excused.)

2 MS. GOURLEY: Armour calls Dr. Harold
Kessler.

3 MS. GOURLEY: I distribute Dr. Kessler's
CV to the

4 jury, your Honor?

5 THE COURT: Yes

6 (Witness sworn.)

7 HAROLD A. KESSLER, DEFENDANTS' WITNESS, DULY
SWORN

8 DIRECT EXAMINATION

9 BY MS. GOURLEY:

10 Q Would you please state your name and spell your
last name.

11 A Harold A. Kessler, K-e-s-s-l-e-r.

12 Q You are a medical doctor, correct?

13 A Yes, I am.

14 Q Where do you practice medicine?

15 A Rush Presbyterian-St. Luke's Medical Center.

16 Q What is your position at rush?

17 A I'm the associate director of the section of
infectious

18 diseases.

19 Q Do you have staff privileges at other hospitals
other than

20 Rush?

21 A Cook County Hospital.

22 Q Would you review briefly please for the jury
your

23 professional educational background including
medical school?

24 A I attended medical school at Rush Medical
College in

25 Chicago between 1971 and 1974. I then did my
training in

Kessler - direct by Gourley

1 internal medicine at Presbyterian-St. Luke's
Hospital in
2 Chicago between 1974, 1977.
3 Following that I was the chief medical
resident in
4 1977 and '78 at Presbyterian-St. Luke's Hospital,
and in
5 addition I did a fellowship in infectious diseases
at
6 Presbyterian-St. Luke's Hospital between 1977 and
1979.
7 Following that, I went to the London
School of
8 Hygiene and Tropical Medicine to do a research
fellowship in
9 virology for 18 months and subsequently returned to
join the
10 staff at Rush Presbyterian-St. Luke's Medical
Center in January
11 of 1981.

12

13

14

15

16

17

18

19

20

21

22

23

24

25

7

Laura M. Brennan, Official Reporter

1 Kessler - direct

1 Q. Are you certified in any specialty practice areas, Dr.
2 Kessler?

3 A. I'm certified by the American Board of Internal Medicine
4 and Internal Medicine and by the American Board of Medical
5 Subspecialists in Infectious Diseases.

6 Q. Would you just --

7 MR. GREEN: Doctor, could you speak up or use the
8 microphone? I'm having a little trouble hearing you.

9 THE WITNESS: Sorry.

10 BY MS. GOURLEY:

11 Q. Would you describe for the jury, please, what is required
12 for you to become board certified in those two areas?

13 A. To become board certified in internal medicine, you must
14 successfully complete a residency program, which is a minimum
15 of three years, following which you have to sit for a two-day
16 written examination.

17 To be eligible for certification in infectious
18 diseases, you must complete a two-year fellowship following

19 your internal medicine training in infectious diseases and sit

20 for a one-day examination.

21 Q. And are you a fellow in the American College of
Physicians?

22 A. Yes, I am.

23 Q. Would you describe that for the jury, please?

24 A. The American College of Physicians is an organization of

25 internists in the United States. To become a fellow, you have

LAURA M. BRENNAN, Official Reporter

1 to achieve certain academic requirements, predominantly in the
2 area of having published literature in the general medical
3 literature and having been out of your training for a certain
4 number of years. I believe the requirement is five
5 publications, at least five publications to be nominated for
6 fellowship in the college.

7 Q. Are you also a fellow in the Infectious Disease Society of
8 America?

9 A. Yes.

10 Q. Could you describe that for the jury, please?

11 A. Again, a similar society, Infectious Disease Specialists
12 in the United States, and in order to attain fellowship status,
13 you must be out of your training for a defined period of time,
14 be nominated to become a fellow by an existing fellow, and
15 have demonstrated achievement in the area of academic infectious
16 diseases or in the area of research in infectious diseases,
17 again, through predominantly your publications.

18 Q. Are you currently involved in any activities at Rush with
19 respect to AIDS or HIV infection?

20 A. Although I practice general infectious diseases, my
21 activities predominantly outside of routine hospital
22 responsibilities revolve around the treatment of people with

23 HIV infection and AIDS.

24 In terms of my out-patient responsibilities,

25 approximately 95 percent of my time is spent in the care and

LAURA M. BRENNAN, Official Reporter

1 treatment of people with HIV infection.

2 In addition, I am the principal investigator for the

3 National Institutes of Health AIDS Clinical Trials Unit at

4 Rush-Presbyterian-St. Luke's Medical Center. The AIDS
Clinical

5 Trials Group is an organization of the National Institutes of

6 Health which is designed to evaluate and develop new therapies

7 for people with HIV infection. So at Rush we conduct a great

8 deal of research on potential new treatments for both HIV and

9 the opportunistic complications of infection with HIV.

10 Q. And you personally treat patients with HIV infection --

11 A. Yes.

12 Q. -- on both an in-patient and out-patients basis, is that

13 right?

14 A. Yes, I do.

15 Q. And have you published any articles relating to HIV and

16 AIDS?

17 A. Yes, I have.

18 Q. Do you know how many?

19 A. It's in excess of 40 or 50.

20 Q. And they're reflected on your curriculum vitae, which
we've

21 passed out to the jury?

22 A. Yes.

23 Q. Are there any additional articles?

24 A. There is an article that was just accepted for publication
25 this week in the, last week actually, the Journal of the

LAURA M. BRENNAN, Official Reporter

1 American Medical Association. And this article has to do with
2 immune responses of health care workers who have had
accidental
3 needle stick exposures to patients with HIV infection.

4 In this paper we demonstrated that following a single
5 needle stick exposure by a health care worker who was
otherwise
6 not infected with HIV, their immune systems are stimulated to
7 respond to HIV in the absence of the health care worker
8 becoming infected.

9 Q. In connection with your professional activities, have you
10 participated as a reviewer of articles which have been
11 submitted for publication by other physicians?

12 A. Yes, I have.

13 Q. Would you please identify a few of the professional
14 journals for which you served as a reviewer?

15 A. I just have to find the page.

16 Q. Are you looking at your CV?

17 A. On my CV. Do you have a page number for me? Here it is,

18 page 11. Archives of Dermatology, Journal of Medical
Virology,

19 Journal of the American Medical Association, Archives of
20 Internal Medicine, Journal of Infectious Diseases, Hepatology,
21 Annals of Internal Medicine, New England Journal of Medicine,
22 the American Journal of Medicine.

23 Q. And you are a virologist with expertise in viruses
24 including HIV, is that right?
25 A. Yes.

LAURA M. BRENNAN, Official Reporter

1 Q. And just so we're clear on all of this, you're not here as

2 an expert in plasma collection or blood banking, are you?

3 A. Correct.

4 Q. And you are not an expert in the processing of Factor VIII

5 concentrates, is that right?

6 A. Correct.

7 Q. And you don't intend to offer any opinions in those areas.

8 You're here as a virologist who treats AIDS patients, is that

9 right?

10 A. Correct.

11 Q. Okay. Now, you are appearing here today as an expert

12 witness on behalf of Armour, and as such, you will be

13 compensated for your time testifying, is that right?

14 A. Yes.

15 Q. Would you tell the jury what you charge in that regard?

16 A. \$350 per hour for courtroom testimony.

17 Q. Now, in connection with this litigation, my office
provided

18 you with some medical records concerning Stephen Poole. Do
you

19 recall that?

20 A. Yes, I do.

21 Q. Did you review those records?

22 A. Yes, I did.

23 Q. Did you also review the deposition of Dr. Telfer, who was

24 Mr. Poole's treating physician?

25 A. Yes.

LAURA M. BRENNAN, Official Reporter

1 Q. And how about excerpts from the deposition of Mr. Poole's
2 widow?

3 A. Yes.

4 Q. And excerpts from the depositions of some of the
5 plaintiff's experts, is that right?

6 A. Yes.

7 Q. And based upon your experience and expertise with HIV
8 infection and with AIDS and upon your review of Stephen
Poole's

9 records in this case, do you have an opinion based on a
10 reasonable degree of medical certainty as to when Stephen
Poole

11 was infected with HIV?

12 A. Yes.

13 Q. And what is that opinion?

14 A. My opinion is it is most likely that he became infected
15 sometime between 1978 and 1982.

16 Q. Would you explain to the jury, please, in general terms
17 what the bases for that opinion are?

18 A. This is based upon the clinical course of Mr. Poole's
19 infection with HIV specifically relating to his development of
20 an AIDS defining illness in 1986. It's related to his
T-helper

21 lymphocyte counts in 1986. It's also related to my knowledge

22 and understanding of the natural history of HIV infection

23 leading ultimately to the development of AIDS. And it's also

24 based upon my knowledge of the epidemiology of the HIV
epidemic

25 in the United States specifically as it affected the

LAURA M. BRENNAN, Official Reporter

1 hemophiliac population.

2 Q. Let's start first with the T-cell count. To explain
3 further to the jury the basis for your opinion, could you
4 briefly explain the significance of Mr. Poole's T-cell count
in

5 March of 1986, first, what it was and how that affects your
6 opinion?

7 A. First I'd like to explain what a T-cell is. I think
that's

8 important. T-cells refer to a group of lymphocytes.

9 Lymphocytes are a type of white blood cell in all humans, and
10 they are a very important white blood cell, particularly in
11 relationship to our immune system. We know further that there
12 are different types of lymphocytes. There are T-lymphocytes,
13 and there are B-lymphocytes. For the purposes of discussing
14 HIV infection, it's the T-lymphocytes which are critical to
our
15 understanding of this infection and the disease that it
16 subsequently, subsequently causes.

17 One important type of a lymphocyte is called a
18 T-helper lymphocyte. This is a very critical cell in our
19 immune system as judged by its name. It essentially helps the
20 function of other components of our immune system to function
21 normally and to respond to challenges by infectious agents,
22 infectious agents or other types of stimulation.

23 The normal T-helper lymphocyte count is generally in
24 the range of 800 to 1200 cells per cubic millimeter of blood.
25 In patients with AIDS, generally those counts are below 200

LAURA M. BRENNAN, Official Reporter

1 because of the progressive destruction of these T-helper
2 lymphocytes by the virus we call HIV or the human
3 immunodeficiency virus.

4 We know that this process of destruction of the
5 T-helper lymphocytes does not occur rapidly. This is a very
6 slow process which essentially takes years to develop. So
7 there is a progressive gradual decline in the numbers of
8 T-helper lymphocytes in a person who is infected with HIV, and
9 this progressive decline can take place over 10 or more years.

10 When an individual drops down below 200 to 250
11 T-helper lymphocytes, they have fairly severe suppression of
12 their immune system, and they begin to become susceptible to
13 these unusual infections that define a person as having AIDS.

14 In general, based upon many studies following
15 thousands of patients infected with HIV, both hemophiliacs and
16 non-hemophiliacs, we know that the decline in the T-helper
17 lymphocyte cell population in an individual infected with HIV
18 occurs at a rate somewhere between 50 and 100 T-helper cells
19 per year. So based upon our knowledge of the natural history
20 of how the virus destroys the T-helper cells over time, we can
21 develop an idea as to how long an individual has been infected

22 by HIV.

23

24

25

LAURA M. BRENNAN, Official Reporter

1

Kessler - direct

1 Q Dr. Kessler, by March of 1986, the jury has heard that Mr.

2 Poole had an AIDS related opportunistic infection.

3 Would that opportunistic infection itself have caused

4 his T-cells to drop?

5 A No. The opportunistic infection was a result of the low

6 T-cell count which made him susceptible to this unusual

7 infection.

8 The reason we call them opportunistic infections is

9 that normally these types of bacteria or protozoa or

10 microorganisms can't infect people. They don't cause disease.

11 They would only cause disease in a setting of immune

12 suppression.

13 So hence they need the opportunity, the opportunity

14 being a patient who doesn't have a normal immune system. Hence

15 we refer to them as opportunistic infections.

16 Q Doctor, there has also been some testimony in this case
that

17 Stephen Poole's laboratory results for a blood count, a
complete

18 blood count, done in January 1985 were normal.

19 Can you explain to the jury what relationship, if any,

20 there is between those normal lab results and the kind of
T-cell

21 counts that you have been talking about?

22 A There is really no specific relationship between a routine

23 complete blood count and a T-helper lymphocyte count. They are

24 done --

25 They, first of all, designate entirely different
pieces

LAURA M. BRENNAN, Official Reporter

1 of information. They are done entirely different -- in
entirely

2 different ways.

3 So there is no specific relationship between a normal
4 complete blood count and an abnormal T-helper lymphocyte count.

5 Q So a normal complete blood count in January 1985 doesn't
say

6 that he was not infected, is that right?

7 A Correct.

8 Q Now, turning for a moment to one of the other bases for
your

9 opinion, the epidemiology of AIDS in severe hemophiliacs, could
10 you first tell the jury what epidemiology is?

11 A Epidemiology is basically the study of the history of a
12 disease, and what we do is -- in order to understand the
13 disease, particularly in diseases where we may not know exactly
14 what is causing it, we begin to study who it is affecting. We
15 try to determine how it is affecting these individuals. We try
16 to determine how it is being spread from one individual to
17 another, so that we are sure we have as good an understanding
as
18 possible of the disease process.

19 So then we can start to try to make some assumptions
or

20 try to understand what is causing it. And that, in fact, was

21 done with AIDS. And we actually understood the epidemiology of

22 the disease before we knew what caused the disease; and
23 essentially then the discovery of the virus fit in with our
24 understanding of who was getting the disease and how we thought
25 it was being spread.

LAURA M. BRENNAN, Official Reporter

3

Kessler - direct

1 Q Would you explain to the jury how the epidemiology of AIDS
2 in severe hemophiliacs supports your opinion?

3 A Well, first of all, we know that AIDS can be spread by
4 contaminated blood or blood products, and we knew that before
we
5 knew what caused disease.

6 In addition -- repeat the question because I just lost
7 my train of thought.

8 Q How the epidemiology of AIDS supports -- with respect to
9 hemophiliacs -- supports your opinion as to when Mr. Poole was
10 infected?

11 A Once the virus was finally discovered in 1983 and
12 subsequently confirmed to be the cause of AIDS in 1984, a blood
13 test was subsequently developed to detect antibodies to this
14 particular virus, and that remains the major way in which we
15 diagnose infection with this virus.

16 Once the blood test was available, we then had the
17 ability to go back and test blood samples from different groups
18 of patients which had been stored for other reasons over
periods
19 of time.

20 And that is how -- you might have seen in the
21 newspapers over time where they say, well, the earliest
recorded
22 case of AIDS was 1959 and documented in England or that there

23 was a case of AIDS documented in the United States in 1969.
24 That is because there were people who died of unusual illnesses
25 which were unrecognized at that time, and physicians or places

LAURA M. BRENNAN, Official Reporter

4

Kessler - direct

1 like the Centers for Disease Control would save serum samples
so
2 that at a future time as our knowledge increased we could go
3 back and try to figure out what was happening with this unknown
4 disease at that time.

5 And that has been done with groups of patients who
have
6 been susceptible to HIV infection. And one group has been
7 hemophiliacs.

8 So stored serum samples from hemophiliacs were tested

9 for the presence of antibodies to HIV, and it was found that
the
10 earliest infection in hemophiliacs at least in the United
States
11 was documented back somewhere in 1978. And then you could then

12 follow over time the percentage of hemophiliacs who became
13 infected by year, by looking at the stored samples in 1978,
'79,
14 '80, and by looking at groups of patients, you could determine

15 the percentage of hemophiliacs by year who developed antibodies

16 to HIV. And by doing that, you get an idea as to when the
17 hemophiliacs were becoming infected with HIV.

18 So by developing that type of data over time, as has

19 been done in a number of studies, you can see that the majority

20 of people with severe hemophilia became infected with HIV in
the

21 range between 1981 and 1983.

22 Q Dr. Kessler, I have here what I have marked as Armour

23 Exhibit 1-A, which is a figure out of an article by Geddart.

24 Are you familiar with that article?

25 A Yes, I am.

LAURA M. BRENNAN, Official Reporter

1 Q And with the publication?

2 A Yes.

3 Q That was an article that was published in the New England
4 Journal, is that right?

5 A Correct.

6 Q Would this assist you in explaining --

7 A I think so.

8 Q -- to the jury?

9 Let me put it up over here.

10 Could you describe for the jury, please, what that
11 figure shows?

12 A This is a graph with the years along the horizontal axis
13 here, and on the vertical axis here, this is essentially the
14 percentage, the proportion of individuals who were testing
15 positive for HIV.

16 And what these lines represent, this was a study that
17 this Geddart group had done looking at over a thousand
18 hemophiliacs in whom they had stored serum samples. What they
19 wanted to determine was the year in which the hemophiliacs were
20 becoming infected by HIV.

21 Now, the first thing to note here is that people with
22 severe hemophilia A, that by 1988, the beginning of 1989, about
23 78 to 80 percent of these individuals had become infected by
24 HIV.

When you look at this graph -- there's two graphs

LAURA M. BRENNAN, Official Reporter

1 here. The important graph is this graph on the top for this N
2 equals 328. These were individuals who had had serum samples
3 stored over time and who their initial serum samples were
4 negative for HIV but that over time they were able to document
5 in what period of time those individuals acquired their HIV
6 infection.

7 So it is a very accurate reflection as to when the HIV
8 infection actually was contracted by these individuals with
9 hemophilia.

10 And if you look at the figure, you see that the
11 majority of individuals had already been infected again by the
12 end of 1983. If you draw a line up to here, you would have
13 about 70 percent of the hemophiliacs have already been infected
14 by the end of 1983. And if you look at the end of 1982, which
15 is this line right here, and go up, you see that over 50
percent
16 by the end of 1982 already appear to have been infected by HIV.

17 So, again, by looking at these types of data with
these
18 stored serum samples, looking for evidence of infection in the
19 stored serum samples that have gone back to 1978, we were able
20 to develop a picture as to what -- how the epidemic of HIV was

21 spreading and when it was spreading most intensely in patients

22 with hemophilia.

23 Q Doctor, can you tell us based on that chart, as of January

24 1st, 1985, what proportion of the severe hemophilia A patients

25 had already been infected?

LAURA M. BRENNAN, Official Reporter

1 A January 1st of 1985, it would be this line here; and if you
2 draw a vertical line straight up, it would be near the maximal,
3 somewhere around 75 percent.

4 Q And that is where the line goes horizontal?

5 A Correct.

6 Q What does that mean?

7 A Well, it means that as of this time there was a plateau in
8 this particular patient population, so that it doesn't appear
9 that there were more people becoming infected after this period
10 of time, at least in this sample of patients and with these
11 stored serum samples.

12 Q Right, thank you.

13 You also mentioned that the natural history of AIDS
14 was a basis for your opinion as to when Mr. Poole was infected.

15 Would you explain that to the jury, please?

16 A The natural history refers to the time course between when
17 an individual becomes infected with the virus and when they
18 develop AIDS. And essentially we are talking here about the
19 incubation period of AIDS.

20 I already talked about the natural history a little
21 bit in terms of how HIV destroys the T-cells very slowly over
22 time. We know that based upon not only the T-cells but also

23 looking at other parameters, particularly the virus in the
body,

24 we know that once an individual is infected or exposed to the

25 virus and infected that we can find the virus in that
individual

LAURA M. BRENNAN, Official Reporter

Kessler - direct

1 in a very short period of time, usually within two to four
2 weeks. The virus is replicating, duplicating itself in the
3 infected individual.

4 But we also know that the median incubation period,
5 meaning that the time it takes for 50 percent of people who are
6 infected with this virus to develop AIDS -- so if we started
out

7 with a hundred people and they all got infected with HIV at the
8 same time, 50 percent of them would have gone on to develop
AIDS

9 after 11 years. So this is a very long, slow process. This
10 virus does not rapidly destroy the immune system, but it occurs
11 over a protracted period of time with the median incubation
12 period being about 11 years.

13 So, again, based upon the natural history of the
14 disease, we know that things tend not to happen quickly. So
15 somebody is not going to get infected with HIV on one day and
16 then two months later or three months later generally develop
17 AIDS. It is generally a long slow process.

18 Q Now, I believe you said you reviewed the deposition of Mrs.
19 Gruca, formerly Mrs. Poole, that Stephen Poole began to be
20 fatigued and lose weight in September and October 1985. Do you
21 recall that?

22 A Yes, I do.

23 Q Is that consistent with the date of infection, 1982 or
24 before, based on the things you have been telling the jury
about
25 T-cells and the natural history of the disease?

LAURA M. BRENNAN, Official Reporter

1 A Yes, it is.

2 Q Dr. Kessler, are you aware of any reported cases in which
a

3 severe hemophiliac progressed from initial HIV infection to
4 full-blown AIDS in 14 months?

5 A No, I am not.

6 Q In your review of the medical records from Stephen Poole's
7 hospitalization in January 1985, did you see any note of any
8 symptoms suggestive of HIV infection at that time?

9 A No, I didn't.

10 Q Doctor, would you explain to the jury, please, what is
11 happening inside the body of a person who has been infected
with

12 HIV?

13 A I think that that is important in our understanding of what
14 a virus is even. Viruses are different from bacteria. They
are

15 very simple, very simple structures, and they are so simple
that

16 they don't have the ability to duplicate themselves outside of
a

17 living cell, which is different from bacteria. Bacteria will

18 just grow on some plain culture medium or just in a plate which

19 is just providing it with some nutrients.

20 A virus has to actually infect a cell and has to get

21 into a cell. So we refer to them as obligate intracellular

22 parasites. They literally are parasites of a living cell.

23 Now, our understanding of -- in the case of this
24 particular virus, unfortunately for us as humans, the major
cell
25 that they infect is this T-helper lymphocyte which, of course,

LAURA M. BRENNAN, Official Reporter

10

Kessler - direct

1 is the critical cell in our immune system, and that is how it
2 ends up destroying our immune system.

3 Now, once the virus gains access to the body, it sets
4 up infection in these T-helper lymphocytes, and there is a lot
5 of new information which has changed our understanding of the
6 natural history of this disease which has just become available
7 in the last six to eight months, and a lot of this information
8 has been provided by Dr. Anthony Fauci, who is the director of
9 the AIDS program at the National Institutes of Health.

10 In our older understanding of how the virus produced
11 disease, when we would look in the blood of patients who were
12 infected with HIV -- remember, I said that they get infected
and
13 then there is this long period of time where patients remain
14 asymptomatic, meaning they are perfectly -- they feel perfectly
15 fine, they appear to be perfectly healthy.

16 When we look in the blood of patients during that
17 asymptomatic stage of the illness, we would not find very much
18 virus. And it is a little bit confusing to all of us working
in
19 the area because how could the virus be destroying the immune
20 system in such a massive way if we couldn't find very much

virus

21 in the blood. And this led to a number of alternative
22 explanations and theories as to how HIV was destroying the
23 immune system, and some very respected people -- some of you
may
24 be familiar with Dr. Peter Duisburg who even suggested that HIV

25 was not the cause of AIDS because we found so little of the

LAURA M. BRENNAN, Official Reporter

11

Kessler - direct

1 virus in the blood.

2 So there was a number of alternative explanations
that

3 people speculated on as to how the virus was destroying the
4 immune system.

5 Well, Dr. Fauci's major -- and people suggested also
6 that the virus became latent, meaning that it kind of was just

7 hanging out, wasn't really duplicating itself, and really
wasn't

8 actively destroying the immune system.

9 Dr. Fauci's major contribution in the last six to
eight
10 months has been in looking in a different part of the body. He
11 looked in the lymph nodes, and, of course, most of these
12 lymphocytes in our body -- in fact, 98 percent of the
13 lymphocytes in our body are not in the blood; they are in our
14 lymph nodes and in our lymph tissue in our body.

15 And what he was able to demonstrate was that during
16 this asymptomatic phase of the illness when we find very little
17 virus in the blood, in fact, most of the virus is being
18 contained in the lymph nodes. The lymph nodes are chock full
of
19 virus. And the virus was actively replicating even in those
20 individuals who were totally asymptomatic, and in essence what
21 is happening is the lymph nodes are doing their job.

22 The lymph nodes are responding to this viral infection

23 just like they will to any infection, and they are trying

24 desperately to contain that viral infection. It can't be
cured.

25 We can't cure this virus once we are infected with it, but the

LAURA M. BRENNAN, Official Reporter

12

Kessler - direct

1 immune system of the body tries to keep the virus infection
2 contained, and it does so in the lymph nodes.

3 So we now know, in fact, that there is no latent
period

4 in the virus. The virus is actively replicating, duplicating

5 itself in the lymph nodes and, through this process in the
lymph

6 nodes, is slowly destroying the immune system until ultimately

7 the lymph nodes literally collapse and no longer are able to

8 contain the virus infection, at which time then we find much

9 more virus back out in the blood again.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

Kessler - direct

degree of
of Armour
the case,

1 Q Doctor, do you have an opinion to a reasonable
2 medical certainty as to whether a single infusion
3 concentrate in January 1985, assuming that to be
4 caused Stephen Poole to become infected with HIV?

5 A I have an opinion.

6 Q What is your opinion?

was already

7 A It had no impact on his infection because he
8 infected at that time.

things you've

9 Q And the basis for that opinion is all the
10 told us before?

11 A Correct.

that the jury

12 Q Now, I would like to turn to another subject

called

13 has heard quite a bit about, and that is something
14 antigenic stimulation.

is, please?

15 Would you explain to the jury what that

generally a

16 A Antigenic stimulation is a process whereby a

protein

17 foreign protein or a protein -- it could even be a

immune system.

18 that's part of our own bodies -- stimulates the

are important

19 The immune system recognizes this protein, which

for that

20 constituents of our body -- or it could be sugars

21 matter. It could be any different type of
molecule. It
22 recognizes that as foreign, as not being part of
the body, and
23 develops an immune response, becomes stimulated in
response to
24 it coming across or being presented with these
foreign
25 proteins.

Kessler - direct

1 And that's what happens with basically
all types of
2 infections. We're infected, and then our immune
system
3 recognizes these infections as being foreign to the
body and
4 then the immune system is stimulated to try to
eliminate the
5 body of these microorganisms.

6 Q Does HIV infection cause -- all by itself cause
that
7 stimulation?

8 A Yes, HIV infection is a virus. We always have
to remember
9 it's a virus, just like many other viruses.

10 In fact, this virus in and of itself
stimulates the
11 immune system. We know that even pieces of this
virus will
12 stimulate the immune system. Some of the vaccines
that are
13 being developed are just pieces of the virus which
very
14 effectively stimulate the immune system.

15 This paper I referred to earlier that we
just had
16 accepted for publication in the Journal of the
American Medical
17 Association also shows that health care workers who
are exposed
18 to the virus through accidental needle stick, even
though they
19 haven't become infected, in fact, their immune
systems will be

20 stimulated and respond to -- transiently respond to
the virus.

21 Q Is some additional external stimulation,
another virus or

22 anything like that, required for HIV to replicate
in the cells

23 of an infected person?

24 A No.

25 Q HIV itself causes its own replication and its
own

Kessler - direct

1 stimulation, is that right?

2 A Correct.

3 Q Is this a relatively new discovery with respect
to the HIV
4 virus?

5 A No.

6 Q Now, with respect to the replication of HIV --
perhaps you

7 could answer a question that I believe the Court
asked the

8 other day of someone who is not a virologist. And
I believe

9 the question was: If the plasma of an HIV infected
donor is

10 pooled with other plasma, does the HIV replicate in
the plasma

11 pool?

12 A Plasma is basically the liquid compartment in
which our

13 blood cells circulate. So the blood has several
compartments,

14 one is the liquid. It doesn't have cells, so
plasma has no

15 cells. This being a virus -- as I said, a virus
must infect

16 cells in order to duplicate themselves. So in
absence of a

17 cellular milieu, if there's no cells available, it
can't

18 increase its numbers.

19 Q Now, in this case, the plaintiffs have raised
several

20 questions regarding the effects of exposure to HIV,
hepatitis,

21 and to proteins found in Factor VIII on the
progression of
22 disease in a hemophiliac already infected with HIV.
23 Do you have an opinion as to whether or
not
24 subsequent exposure to HIV hepatitis or foreign
proteins in a
25 person already infected with HIV affects their
progression to

Kessler - direct

1 disease?

2 A There is no evidence in humans that these other
exposures

3 are important in disease progression.

4 Q Would you explain to the jury the bases for
your opinion.

5 A Well, the basis of that opinion would be to
compare the

6 progression of HIV in patients, say, with
hemophilia to

7 patients who have HIV infection through, say,
sexual contact.

8 This has been done actually, and a paper was
published in the

9 Journal of the American Medical Association
comparing a group

10 of hemophiliacs from Pennsylvania with a group of
gay men from

11 San Francisco. And both of these groups had been
followed,

12 again, over a number of years. All of them were
known to be

13 infected with HIV. And the dates in which they
were infected

14 were also known; again, a similar type of data was
available

15 that we showed on that figure there.

16 And when you compare the incubation
period, the

17 amount of time from infection, until the time that
somebody

18 develops AIDS, with homosexual men as compared to
hemophiliacs,

19 there is absolutely no difference in the disease
progression.

20 So I think that's the strongest data that we have,
that type of

21 data. When you look at patients, what's happening
to patients

22 over time who have either acquired their infection
in different

23 ways or who may be progressively being exposed to
"foreign

24 antigens" that that has an effect, and it doesn't
appear to.

25 Q Are you familiar with a paper published by Jay
Levy in the

Kessler - direct

subject? 1 American Journal of Medicine in July 1993 on this

2 A Yes, I am.

Let me just 3 Q I have had blown up a quote from that article.

that repeated 4 read it. It says, "Thus far there is no evidence

partners, 5 exposure to HIV through multiple HIV positive

products 6 intravenous drug use, contaminated blood or blood

infection by more 7 effects the progression of disease or causes

8 than one HIV strain."

state of 9 Is that your understanding of the current

10 medical knowledge regarding that subject?

11 A Yes.

degree of 12 Q Now, do you have an opinion to a reasonable

of Armour 13 medical certainty as to whether a single infusion

to or 14 concentrate in January 1985 could have contributed

15 aggravated Mr. Poole's progression to AIDS?

16 A I don't believe that it did.

17 Q And the basis for that opinion is as --

18 A My prior testimony.

asked of 19 Q Now, yesterday, there were also some questions

multiple strains of 20 another witness about "super infection" and

21 HIV.

22 Is there any medical or scientific
literature which
23 supports the notion that a person can be reinfected
with a
24 different strain of HIV?
25 A No. This has been looked at quite carefully by
a number of

Kessler - direct

could be 1 investigators, and there is no evidence that you

2 infected with a second strain of HIV type.

3 Q Is there any evidence from Mr. Poole's medical
records from

4 January 1985 which you reviewed which suggested
that he was

5 infected or reinfected with any kind of virus?

6 A No.

7 Q Now, finally, turning to another subject, and
that is, the

8 risk of HIV infection to Mrs. Poole, Doctor, if
Mrs. Poole's

9 last potential exposure to HIV was in July of 1987,
which is

10 when Mr. Poole died, and she has since tested HIV
negative on

11 several occasions, do you have an opinion to a
reasonable

12 degree of medical certainty as to whether or not
she is

13 presently at risk for AIDS from that exposure?

14 A She is not at risk from that exposure.

15 Q Do you have an opinion as to for how long after
her last

16 possible exposure she was at risk for contracting
HIV?

17 A It would be 6 to 12 months. The best way to
answer that is

18 talk about what we do with our health care workers
in our

19 hospital who have accidental exposure to HIV. We
follow our

20 employees for a period of six months. If they have

not

21 developed evidence of HIV infection by six months,
then we

22 consider them to be not infected, and we don't
follow them

23 additionally for evidence of HIV infection.

24 MS. GOURLEY: Thank you. I have no
further

25 questions.

1 CROSS-EXAMINATION

2 BY MR. RING:

3 Q Doctor, this isn't your first time in court, is
it?

4 A Correct.

5 Q I just want to compliment you. It looks like
you have done

6 this before.

7 Have you done it for a plaintiff in an
HIV case?

8 A In an HIV case? This is the first -- second
appearance in

9 court on an HIV case, and both have been for
defense.

10 Q Same defendant?

11 A Yes.

12 Q Armour?

13 A Yes.

14 Q When is the last time that you gave this speech
-- or this

15 testimony?

16 A This testimony? My testimony is specific for
each --

17 Q Tailored to each case?

18 A It changes based upon changing knowledge.

19 Q Well, Doctor, you talked about the Goedert
study, is that

20 right?

21 A Yes.

22 Q And that -- how many people were involved in
that study?

23 A There were two groups of -- total number
overall or -- it

24 was over 1000 patients involved.

25 And then they had a separate -- of those
1000 or 1200

1 they had I believe 319 patients in whom they could
identify the
2 specific time of infection.
3 Q So of the 319 -- that was the core -- if we can
call it
4 that -- of the study, 319 patients?
5 A Correct.
6 Q Which you had some serum on, is that right?
7 A I didn't have it, they had it.
8 Q I know, but I'm talking about the Gedare study?
9 A Correct.
10 Q 50 percent, according to that study, had
seroconverted or
11 developed AIDS by 1982 -- the end of '82, is that
right?
12 A I believe that's what the figure shows.
13 Q So that 50 percent of them did not?
14 A Correct.
15 Q Now, Doctor, that means, does it not, that not
everyone
16 that's exposed to the virus is infected, is that
right?
17 A Correct.
18 Q And not everyone that's infected progresses at
the same
19 rate, does it not?
20 A Correct.
21 Q There's a lot of variables involved, isn't
there?
22 A Certainly.
23 Q And one is they're not being exposed to the

infectious

24 agents, is that right?

25 A Correct.

1 Q The other is the amount of virus they are
becoming exposed

2 to, is that right?

3 A Correct.

4 Q Let's take that one. The amount of virus
they're exposed

5 to makes a difference, right?

6 A Correct.

7 Q And in addition -- or the way they're being
exposed, right?

8 A Correct.

9 Q Now, exposure to a transfusion is different
than exposure

10 to Factor VIII, isn't it?

11 A Different type of blood products, certainly.

12 Q Okay. So, Doctor, when one is exposed to the
virus in

13 Factor VIII, would the amount of the virus be
important?

14 A I don't quite understand your question.

15 Q The amount of the virus in the Factor VIII?

16 A In determining whether or not they're going to
be infected?

17 Q Yes.

18 A Generally, a principal of infectious disease is
that when

19 an individual is exposed to a higher inoculum, a
higher amount

20 of infectious agent, the risk of them becoming
infected is

21 greater; and if there is a lower amount, the risk
of them

22 becoming infected is lower.

23 Q So that in each case you would have to know
whether there

24 was a high amount of virus or a low amount --

25 A I don't understand the relevance of the
question.

1 Q -- to determine whether there would be
infection?

2 A No. I don't quite understand the relevance of
that.

3 Q If you're exposed to a low amount of virus,
some people

4 will ward it off, right?

5 A Some people may not become infected, correct.

6 Q That's the point I'm trying toe make. And if
it is a high

7 load of virus, some people still may be able to
ward it off,

8 isn't that right?

9 A It's possible, sure.

10 Q Okay. So that the fact that one statistically
might have

11 been exposed doesn't establish that he got it,
right?

12 A I don't understand that question.

13 Q Well, I'll try to make it simple enough where
we the people

14 can understand it.

15 A I just want to be accurate.

16 Q I know you do. That's why I want to restate
the question.

17 Doctor, HIV is more virulent at different
stages, is

18 that right, in a donor?

19 A I don't believe you have that correct.

20 Q Tell me what I'm trying to say.

21 A I think it might be impossible.

22 Q You're not getting paid for that. All right.

23 Well, Doctor, at a certain point is a
patient or
24 donor -- we're looking at the article "The Natural
History of
25 Transfusion." Do you have that one?

10

Laura M. Brennan, Official Reporter

1 A New England Journal of Medicine?

2 Q Yes.

3 A Which one, there's a couple of them.

4 MS. THOMAS: Plaintiff's Exhibit 9.061.

5 THE WITNESS: Who is the author's name,
first
6 author?

7 MS. THOMAS: Ward.

8 MR. RING: John Ward.

9 BY MR. RING:

10 Q John Ward.

11 A If you give me a minute, I'll find it.

12 Q Sure.

13 MS. GOURLEY: Is that a new exhibit? We
don't seem
14 to have it.

15 MS. THOMAS: This is cross-examination.

16 MS. GOURLEY: I understand. I just want
a copy of
17 it.

18 THE WITNESS: I left that one in my
office. That is

19 an article that is in my personal file, but I don't
have a copy
20 with me.

21 BY MR. RING:

22 Q Here you go, Doctor.

23 A Thank you.

24

11

Laura M. Brennan, Official Reporter

1 Kessler - cross by Ring

1 (Discussion off the record.)

2 MR. GREEN: I feel kind of left out.

3 MR. RING: You've already rested. You can go home.

4 BY MR. RING:

5 Q. Have you read it?

6 A. I read the abstract.

7 Q. Doctor, is one factor the time at which the donor
developed

8 AIDS, in other words, will that make a difference in the
9 infection of the person receiving it?

10 A. I think I understand where you're coming from.

11 Q. Good. Tell me.

12 A. All right. Now I will. I think if you're referring to
13 this article that you've given me, this article was from late

14 1980s. It was part of the program by the Centers for Disease

15 Control to evaluate blood recipients who had received blood
16 transfusions from individuals who subsequently went on to
17 develop AIDS.

18 And what this paper showed was that most of the
19 individuals who received the contaminated blood, in fact,
20 became infected by HIV and that the individuals who had
21 received blood transfusions from donors who developed AIDS
more

22 quickly seemed to develop AIDS more quickly. So the
suggestion

23 was is that they received a higher inoculum of virus or maybe

24 there was more virus present in the blood from the patient
from

25 the donors who developed AIDS in a relatively short period of

LAURA M. BRENNAN, Official Reporter

2

Kessler - cross by Ring

1 time than from those donors who had developed AIDS over a
2 longer period of time.

3 Q. All right. So now that we understand what I'm trying to
4 get at, it makes a difference then in the nature of the
disease

5 or in the donor, right? Maybe "nature" is not the right word,
6 but when the donor has come down with it?

7 A. The inoculum, as I pointed out, this is a well known fact
8 with infectious diseases, that the higher the inoculum,
9 presumably in this case we're talking about HIV, although they
10 didn't measure it, they were using an indirect measure at the
11 time, they couldn't measure it when they did the study, but
12 presumably a higher inoculum we know will give a higher risk
of
13 somebody becoming infected and may also give you a more
intense
14 infection.

15 Q. So are we on the same wave length, that that may cause a
16 shorter time in the AIDS developing in the recipients?

17 A. In this paper when they looked at it in that way, the
18 individuals who received blood from, recipients who received
19 blood from donors who developed AIDS more rapidly appeared to
20 developed AIDS more rapidly.

21 Q. Okay. So that the incubation period isn't the same with

22 everyone, that's another factor that makes a difference?

23 A. That's an absolute fact.

24 Q. Okay. Then, Doctor, the health of the recipient has to be

25 a factor, isn't it?

LAURA M. BRENNAN, Official Reporter

3

Kessler - cross by Ring

1 A. You have to define "health" for me.

2 Q. Well, if one is physically strong, no signs of any type of
3 disease or infection, wouldn't that make a difference than one
4 who is weak and sick?

5 A. It hadn't seemed to in the studies that had been done
6 looking at disease progression in all different types of
7 individuals. It appears that the most important factor is age
8 in terms of the disease progression. So older individuals
9 have, tend to have a shorter incubation period from the time
10 of infection until the time that they develop AIDS as compared to
11 younger individuals.

12 I think probably the best example that I can think of
13 to answer your question would be people who had transplants.

14 Say somebody has a kidney transplant or liver translate --

15 Q. Doctor --

16 A. I would like to answer your question if I could.

17 THE COURT: Go ahead.

18 BY THE WITNESS:

19 A. This has to do with health an immune system.

20 People who receive transplants, some of those
21 individuals have become infected through either the organ
22 being

23 infected or through blood transfusions. And obviously
somebody

who receives a blood transfusion -- who receives a transplant

24 is put on medicines to suppress their immune system. And in

25 those situations, the transplant patients who become HIV

LAURA M. BRENNAN, Official Reporter

1 infected do not appear to progress more rapidly than other
2 patients who have HIV infection.

3 So, again, the idea of age seems to be the most
4 important factor in determining how fast an individual's
5 disease is going to progress.

6 BY MR. RING:

7 Q. Have you finished your answer?

8 A. Yes. Thank you.

9 Q. Then let me go back. Doctor, reading from your deposition
10 of page 74 dealing with the one becoming infected with AIDS,
11 you said, "It may have to do with the innate abilities of some
12 individual's immune systems to prevent infection when exposed
13 to very small quantities of the virus." Is that a true
14 statement?

15 A. Yes.

16 Q. That's true now as it was then?

17 A. Right.

18 Q. All right. So the innate abilities of the immune system
19 is
19 a factor together with the amount of virus, right?

20 A. It may be a contributing factor.

21 Q. Okay. So that if one has a strong immune system, you are
22 able to delay or offset infection even though you've had
23 exposure?

24 A. I have a problem with the word "innate" and then equating

25 that with greater. When we --

LAURA M. BRENNAN, Official Reporter

5

Kessler - cross by Ring

1 Q. Well, I --

2 A. Let me explain, please. Let me explain.

3 Q. Well, this is the word --

4 A. When you are saying "innate," it may be specific, there
may

5 be some specific factor. It doesn't mean that their immune

6 system overall is weaker or stronger, but you may have
specific

7 components of the immune system.

8 So I agree with the principle. It's just it doesn't

9 necessarily mean one person's system is stronger than another.

10 There may be an inherent ability of certain people's immune

11 systems to more effectively prevent infection.

12 Q. So when you said "It may have to do with the innate

13 abilities of some individuals' immune system to prevent

14 infection" --

15 MS. GOURLEY: Could we have the whole answer read if

16 we're going to do this?

17 MR. RING: Of course, Sara.

18 MS. GOURLEY: Thank you.

19 BY THE WITNESS:

20 A. Would you like me to read it?

21 BY MR. RING:

22 Q. I'll read it.

23 A. Sure.

24 Q. "When exposed to very small quantities of the virus."

25 A. Well, I don't think that was the whole answer she was

LAURA M. BRENNAN, Official Reporter

1 referring to.

2 Q. "So there is no single factor that might be in play. It
3 might be several or it might be, it might be one, and it might
4 just be luck." Is that it? That's what you wanted read?

5 A. No. I believe that my answer begins on page 74 under "The
6 speculation would."

7 Q. Read that.

8 A. Thank you. "The speculation would, speculation would be
9 the same speculation I would give for homosexual men who
10 continued to engage in high risk behaviors and didn't become
11 infected or heterosexual partners of infected individuals who
12 continued to engage in unprotected sex and didn't become
13 infected or hemophiliacs who continued to receive factor
14 concentrates.

15 "Speculatively there are several reasons why someone
16 may not become infected. Number one, they are not being
17 exposed to the infectious agent. Number two, the amount of
18 virus they are becoming exposed to or the way in which they
19 are becoming exposed to it does not put them at risk of infection,
20 so there is either a very small amount of virus that is not
21 enough for them to become infected by or the factors
22 associated with sexual practices, for example, may not put them at risk;

23 or it may have to do with the innate abilities of some
24 individuals' immune systems to prevent infection when exposed
25 to very small quantities of the virus.

LAURA M. BRENNAN, Official Reporter

7

Kessler - cross by Ring

1 "So there is no one single factor that might be in
2 play. It might be several, or it might be, it might be one,
3 and it just might be luck."

4 Q. So reading the first paragraph changes the rest of your
5 testimony on that page?

6 MS. GOURLEY: Objection.

7 THE COURT: Overruled. Go ahead.

8 BY THE WITNESS:

9 A. I think I just wanted to make the point that this was
10 speculation and not fact.

11 BY MR. RING:

12 Q. I see. So when you gave your deposition, you were
13 speculating and not giving any facts, is that right?

14 MS. GOURLEY: Objection. If we're going to do this,
15 why don't we read the question where it was asked for?

16 MR. RING: You'll have a chance, Ms. Gourley.

17 THE COURT: What question do you want read?

18 MS. GOURLEY: The witness said, "I don't have an
19 opinion. I have speculation." And then Ms. Thomas insisted
20 on an answer. "Assuming that what factors?" and that's what the
21 answer was based on. So I think his question was unfair.

22 BY MR. RING:

23 Q. Is that the way you feel about it?

24 A. I kind of got lost, and I need to have another question to
25 answer.

LAURA M. BRENNAN, Official Reporter

8

Kessler - cross by Ring

1 Q. I think you're right. I think we better go to another
2 question. We've beaten that one into the ground.

3 Now, Doctor, now, I think if I recall your testimony
4 that more than one infection has happened in animal studies?

5 A. I'm not quite sure exactly what you are referring to.

6 Q. Well, I'm not a doctor. See, I'm just trying to find out
7 facts.

8 In this same, in this same article, Doctor, or "The
9 Transmission of HIV" -- no, it isn't the same. Exhibit 6,
10 Defendants' Exhibit 6 that you were looking at, "Transmission
11 of HIV and Factors Influencing Progression to AIDS," is that
12 right?

13 A. Do you want to give me -- is that the Jay Levy article?

14 Q. Yeah, that's the Jay Levy article.

15 A. Would you like to give me a page so we could be on the
16 same page?

17 Q. Yeah. Go to page 93 at the paragraph at the top of the
18 page on the left-hand side.

19 A. Okay. I'm on 93, left-hand side, first paragraph?

20 Q. Yes. It says, "In addition," do you see that, the first
21 full sentence?

22 A. "In addition," right.

23 Q. "In the past, several different unheated Factor VIII and

24 Factor IX preparations might have been used at the same time
by
25 one individual and, thus, increased the chance of infection by

LAURA M. BRENNAN, Official Reporter

1 more than one HIV strain. Within a few days, this initial
2 infection might result in replication of different HIV
3 viruses."

4 Do you agree with Dr. Levy and what he has written
5 there?

6 A. I agree with Dr. Levy in terms of the next paragraph.

7 Q. Yeah. I want to go on with that. So far you agree with
8 him, right?

9 A. He's speculating there, yes.

10 Q. Does he say, "I'm speculating"?

11 A. Yeah, I think he says "Thus, increased chance of more
than"

12 -- okay, not specifically.

13 Q. "Over time, however" --

14 A. Right, he says does say "might result." So that's why I
15 thought he was speculating.

16 Q. I see. So the word "might" to you means that the whole
17 thing is speculation?

18 A. "Might" means possible.

19 Q. Could it also mean that in some cases it might not, and in
20 some cases it might?

21 A. When you speculate, it may be both, either way, it can be
22 or may not be.

23 Q. All right. Then "Over time, however, as evidenced from
the

24 inability to isolate or identify more than one distinct virus

25 strain in an individual, a predominant strain emerges." I
just

LAURA M. BRENNAN, Official Reporter

10 Kessler - cross by Ring

1 skipped the paren, unless you -- well, I'll read it.

2 A. I think it's important.

3 Q. Oh, okay. "And its variance."

4 "A predominant strain (and its variance) emerges.
The

5 other viruses are eliminated (or suppressed) through the death

6 of the virus, infected cells, dominance of one strain in the

7 lymphocytes, or through the anti-HIV activity of the cellular

8 immune system. Moreover, after the primary infection has been

9 established, superinfection with the persistent presence of a

10 new HTV strain would appear to be a rare event."

11 A. Correct.

12

13

14

15

16

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

1

Kessler - cross

1 Q But --

2 A It says "see below."

3 Q "See below."

4 Do you want to do a little reading?

5 A Well, the "see below" I thought was referring to his later

6 review comments where there has not been documentation of

7 infection by more than one strain.

8 Q But he says that this has happened?

9 A Pardon me? What did he say has happened?

10 Q That there is a super infection with a persistent presence

11 of a new HIV strain, would appear to be a rare event. He says

12 it is a rare event but he says it has happened, isn't that

13 right?

14 A No, I don't think he said that it had happened. He said it

15 would appear to be a rare event and then offers no data. In

16 fact, the only data he offers later on talks about it not

17 happening.

18 Q Now, this did happen in chimpanzee studies, did it not?

19 A Would you like to show me where he is referring to that?

20 Q Turn to the next page.

21 A Yes.

22 Q And in the second full paragraph, about the center, it
says,

23 "Whereas super infection" --

24 A Excuse me. This is page 94 now?

25 Q Unless you have got a different book.

LAURA M. BRENNAN, Official Reporter

1 A I found it.

2 Q Have you got it?

3 "Whereas super infection of some non-human primate
4 species by more than one strain has been reported,
5 these results reflect a relatively limited spread of
6 the initially inoculated virus in these animals."

7 A Okay.

8 Q Well, Doctor, --

9 A You can go on farther.

10 Q -- you wouldn't infect a human with HIV to do the test,
11 would you?

12 A No, absolutely not.

13 Q So he is reporting at what was done with animal studies,
14 with chimpanzees, is that right?

15 A If you wanted to read on, I think he explains it a little
16 bit more.

17 Q Yes, I will read on, or you can. Do you want to read on
18 what you want to read?

19 A "Furthermore, high doses of HIV, approximately a
20 million infectious particles, a thousand times more
21 virus than usually encountered naturally as well as
22 virus infected cells were used intravenously as the
23 experimental challenge.

24 Finally, as observed in most viruses, the antiviral
25 vaccine, super infection could lead to a transient

1 reinfection, but then the new virus would be markedly
2 controlled by the already prepared immune system."

3 Q And then it goes on, does it not:

4 "It is noteworthy, nevertheless, that both HIV I and

5 HIV II have been detected in the same individual"?

6 A Yes, and that is an entirely different virus.

7 Q "These cases are uncommon and probably indicate dual
8 infection by these two viral subtypes before a strong
9 anti HIV immune response in the host had been
10 established"?

11 A Right. HIV II is an entirely different virus from HIV I,
12 and that has been well known for a long time.

13 Q Are they both HIV? Are they both --

14 A They both are human immunodeficiency viruses, but they are
15 distinct, different human immunodeficiency viruses.

16 Q In any event, let's take what you say. You can have two
17 different strains to infect the same person?

18 A No, I didn't say that. I said that --

19 Q It is not true?

20 A I said that based upon the information that is published in
21 the literature, there are no data that says that an individual
22 is infected by more than one strain of HIV I. That means that
23 you would have to detect more than one strain of HIV I in an
24 individual.

25 Q When you say individual, you are talking about a human?

LAURA M. BRENNAN, Official Reporter

1 A Individual human.

2 Q Okay, and so one doesn't know. It has been done with
animal

3 studies with chimpanzees, but there has been no such test for

4 obvious reasons on humans?

5 A No, that is not true. People have looked for more than one

6 viral strain in an individual, and there has not been evidence

7 of infection by more than one distinct strain.

8 Q Antigenic stimulation, Doctor.

9 A Which page are you referring to?

10 Q Your work, your deposition, okay?

11 A I understand.

12 Q 69.

13 A Thank you.

14 Q In 69, Doctor, and forgetting the page -- I am using it for

15 reference for me, --

16 A Okay.

17 Q -- viruses will grow more rapidly in cells which are

18 metabolically stimulated, right?

19 A Correct.

20 Q And we know that the cell -- the virus will only grow in a

21 human cell, right?

22 A It grows in cells -- it can grow in chimpanzee cells but in

23 human cells -- we are talking human cells.

24 Q We are done with the chimpanzees.

25 A Good.

LAURA M. BRENNAN, Official Reporter

5

Kessler - cross

1 Q Okay.

2 So if you stimulate the cell in which that virus is
3 resting, it will stimulate the multiplication of the virus,
4 right, replication?

5 A Are we talking about now in the test tube or in the human
6 host.

7 Q In the host.

8 A The experiments have all been done in the test tube, but we
9 presume that the infection by HIV stimulates the immune system
10 and stimulates the cells in which they are replicating, and
that
11 stimulation process by the virus can actually act as kind of a
12 positive feedback and increase the replication of the virus
13 itself.

14 Q And that will speed up --

15 A Well, as the virus --

16 Q -- the process to -- from infection, stimulation, to full,
17 frank AIDS, right?

18 A No, I thought we were talking about one cell.

19 The process of the progression of the disease is
20 defined as I have defined it. It is a long, slow process.
21 There's billions of lymphocytes in an individual, and it takes
a
22 long time for those lymphocytes to be destroyed.

23 Q Doctor, if you stimulate the blood in a person, is it going

24 to stop at one cell?

25 A No. When you stimulate -- when a virus is replicating, it

LAURA M. BRENNAN, Official Reporter

1 is producing more virus. That is its job. The virus then can
2 get out of the cell and infect other cells or the virus can
3 spread directly from one cell through contact with another
4 uninfected cell.

5 Q So it stimulates the replication of the virus in the
system?

6 A The virus replicates, and the replication of the virus can
7 stimulate the immune system, and that stimulation process can
8 lead to more virus replication, sure.

9 MR. RING: Thank you.

10 That is all I have, Judge.

11 THE COURT: Did I understand you to say, Doctor, that
12 the HIV virus replication process cannot be stimulated by
13 anything other than the HIV virus itself?

14 THE WITNESS: No. Any -- theoretically any
stimulation

15 of a T-lymphocyte could increase the amount of virus being
16 produced by that particular lymphocyte.

17 THE COURT: Let me ask another question on a different
18 subject.

19 What was the reason that serum was being stored from
20 persons -- from hemophiliacs who had no symptoms of anything?

21 THE WITNESS: Most of those serum samples were stored
22 for studies on hepatitis, as I remember, because of a very high

23 risk of hepatitis in hemophiliacs with an inordinate amount of
24 liver disease that they get as a result of that hepatitis.
25 Cohorts of hemophiliacs have been studied longitudinally over

LAURA M. BRENNAN, Official Reporter

7

Kessler - cross

1 time for years, and one of the things that is routinely done is
2 for, you know, patients who are involved in those particular
3 cohorts, for their serum samples to be stored over time, so
that
4 when questions arise later and new information, you can go back
5 and try to put the whole picture together.

6 THE COURT: Another subject. What kind of a blood
test

7 does a person have to have in order for the T-helper cells to
be

8 tested?

9 THE WITNESS: This is a test which uses certain kinds
10 of antibodies that identify a particular kind of unique
molecule

11 in the membrane, the outer membrane of a T-helper lymphocyte,
12 and this is called a CD-4 molecule. CD stands for cluster
13 domain.

14 And so this antibody which is unique and specific for
15 this CD-4 molecule attaches to the molecule in the membrane of
16 that lymphocyte, and then by using a fluorescence tag and
17 putting it under essentially an ultraviolet light, you get
18 fluorescence and you can then quantitate the number of
19 lymphocytes that have that CD-4 present by literally counting.

20 There is a machine that actually counts of the number of cells
21 that fluoresce.

22 THE COURT: So that is a fairly elaborate test as
23 compared to the full blood count?

24 THE WITNESS: Yes. It is a much more elaborate test,
25 right.

LAURA M. BRENNAN, Official Reporter

1 THE COURT: Then, finally, you were talking about the
2 discovery process of the antibody and the virus, and I don't
3 know that any witness has yet explained what they were looking
4 for when they were looking for the cause of AIDS. Did they
5 first find antibodies that seemed to be strange and then they
6 looked for a virus to which they might have been responding or
7 what?

8 THE WITNESS: Based upon how the disease was
spreading,
9 and it was very similar to hepatitis B, which we knew was a
10 blood disease, that it was speculated very early on that this
11 infection was some type of a blood-borne virus.

12 So people --

13 THE COURT: How early was that?

14 THE WITNESS: Oh, back probably in '81, '82.
15 Montagnier's group in Paris was the first to discover the virus
16 and publish those findings in April of '83, April, May of 1983.

17 So what was found first was actually the virus, and
18 then once the virus was found -- you really have to have the
19 virus first in order to identify the antibodies because the
20 antibodies are directed against the virus, and without the
21 virus, we can't measure the antibodies.

22 So the virus was discovered first and then the
antibody

23 test was developed.

24 THE COURT: When you find a virus, how do you know
that

25 it is the -- how do you determine that it is the cause of the

LAURA M. BRENNAN, Official Reporter

1 disease?

2 THE WITNESS: Right. What you do is you have to set
up

3 studies that look at different groups of people.

4 So you go out and you just take people who are at no

5 risk of HIV infection presumably. They are heterosexual, no

6 blood transfusions, no intravenous drug use, monogamous

7 relationship, and perfectly healthy. You then look for
evidence

8 of virus infection in them.

9 You then look for evidence of virus infection in
people

10 who you know have AIDS.

11 You then look for evidence of virus infection in
people

12 who are at risk of AIDS but didn't have any signs or symptoms
of

13 AIDS.

14 So by looking in these different populations, you can

15 then determine that you don't find the virus in the people who

16 are perfectly healthy; you almost always find the virus in --

17 you always find the virus in people who have AIDS; and then you

18 find the virus in people at risk -- in some people at risk for

19 AIDS and other people who don't have AIDS.

20 Then you develop an antibody test, and then you can

21 start to determine if, in fact, this virus is unique in that

22 there is a host immune response and developing antibody.

23 So it is a process which takes a period of time in

24 looking at different populations of patients to determine the

25 validity as to whether or not this new virus, in fact, causes
a

LAURA M. BRENNAN, Official Reporter

10

Kessler - cross

1 specific disease.

2 THE COURT: And then how do you tell that an antibody
3 is responsive to a virus?

4 THE WITNESS: The tests are designed so that the
5 antibody -- there are probably millions of antibodies we all
6 have. But what you do is once you have the virus, an antibody
7 will only attach to that virus if it is generally specific for
8 that virus. So you can design your tests to prove that that
9 antibody is directed solely at that particular virus.

10 Then there is another test called the Western blot
11 test, which is a much more sophisticated antibody test, where
12 you can actually break the virus up into its constituent
protein
13 building blocks, and you can look in an infected person for
14 antibodies directed at each one of the individual constituent
15 building blocks of the virus.

16 That is really very visual and very highly specific
for
17 those antibodies.

18 THE COURT: That is in the laboratory?

19 THE WITNESS: Yes. In fact it is routinely done in
20 terms of diagnosing HIV infection. Everybody gets a screening
21 test first. There can be false positive screening tests. So
22 then there is a confirmatory test. The confirmatory test is

23 this Western blot test, which is more expensive, more time
24 intensive. That is why it is not done as the first test.

25 THE COURT: But you would put the virus in a dish and

LAURA M. BRENNAN, Official Reporter

11

Kessler - cross

1 then infuse some antibody into the dish?

2 THE WITNESS: Essentially, right. The virus is

3 attached to either a plastic bead or to the sides of a plastic

4 test tube. You then put the patient's serum that you want to

5 test in the presence of that plastic which has the virus coated

6 on it. The antibodies will then attach to the virus.

7 You can't see any of this, of course, because they are

8 so small. They attach. You then wash away the serum that you

9 put in, leaving behind only antibodies that have specifically

10 attached to the virus.

11 THE COURT: How can you tell that they are attached to

12 the virus when you can't see the virus?

13 THE WITNESS: Then you come in with an antibody to a

14 human antibody. So you take an antibody that you make in, say,

15 a goat or a rabbit that will attach to human antibodies. And
16 to

16 that animal antibody, which is now going to attach to a human

17 antibody, you attach a molecule; in this case they attach an

18 enzyme.

19 And so if there are human antibodies -- it is kind of

20 like a sandwich. The first part of the sandwich is the plastic

21 to which the virus is attached.

22 The second part of the sandwich is the meat of the
23 sandwich basically, which is the antibody directed against HIV
24 in the serum of the patient that you are testing.

25 Then the third part of the sandwich is an animal

LAURA M. BRENNAN, Official Reporter

12

Kessler - cross

1 antibody, say, a rabbit antibody, that is made against human
2 antibodies. It is non-specific. It will attach to any human
3 antibody. That is the third part of the sandwich, and that
4 attaches then to the human antibodies.

5 Then on top of that, they have an enzyme, and that
6 enzyme then you can add a chemical and it will turn colors. So
7 if a color reaction occurs, then you know that there were
8 specific human antibodies attaching to the virus which you know
9 that you had attached to the plastic.

10 THE COURT: Thank you.

11 Any questions from the jury?

12 Any other questions from counsel?

13 MR. BARR: Yes, your Honor.

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 CROSS-EXAMINATION

2 Q Good afternoon, Dr. Kessler. When you talked
earlier about

3 the chart that was shown, that chart came from the
article that

4 is in the New England Journal of Medicine where the
lead author

5 is Dr. Goedert and the third author is Dr. Louis
Aledort who

6 has testified in this trial, is that correct?

7 A Correct.

8 Q So that chart, which I'm referring to, the
blowup, is a

9 part of this New England Journal article, is that
right?

10 A Correct.

11 Q Have you read Dr. Aledort's testimony from this
trial?

12 A No, I haven't.

13 Q And would it strengthen your opinion concerning
when

14 Mr. Poole was infected to know that Dr. Aledort
told us here --

15 MR. RING: Your Honor, I object to
putting into this

16 question to this witness what Dr. Aledort said.
Either he

17 knows on his own -- I don't think he should be
relying on

18 Dr. Aledort's testimony in this courtroom.

19 THE COURT: Overruled.

20 BY MR. BARR:

21 Q My question is would it strengthen your opinion
as to when

22 Mr. Poole was infected to know that Dr. Aledort
stated here in

23 court, "I think from the literature that we have
published and

24 continue to tease out and have submitted to
publication that

25 more than 50 percent of patients receiving this
quantity" --

1 referring to Mr. Poole -- "of factor seroconverted
in January

2 of 1982 and that 90 percent were seroconverted or
infected by

3 January of 1983"?

4 A Sure. Additional information will always
strengthen my

5 opinion.

6 Q Were you aware that when the Geodert/Aledort
group

7 published in the New England Journal in October of
1989 that

8 that continued to be an ongoing study with patients
added to it

9 when they could find additional serum samples to
attempt to

10 answer this question as on when hemophiliacs were
infected?

11 A I was aware it was an ongoing study.

12 Q Mr. Ring asked you some questions about Mr.
Poole, and --

13 is there anything that you have seen in his medical
records, in

14 his chart, that would indicate in Mr. Ring's words
that

15 Mr. Poole had a "strong immune system"?

16 A He had -- no, he had no specific immune testing
done until,

17 I believe, it was April of '86 when they did his
T-cells.

18 Q I'll get to that in a moment, but if the
patients in the

19 Geodert/Aledort study were severe hemophiliacs as
reported

Mr. Poole 20 here, if they were using the same blood products as
his medical 21 received, is there any reason that you've seen in
you to 22 records or reviewed in this case, that would lead
percent of 23 believe Mr. Poole would not fall into the 90
 24 hemophiliacs infected by January of 1983?
 25 A No.

1 Q You were asked a couple of questions about the
Ward paper,
2 and you had read that before, is that correct?
3 A Yes, I have.
4 Q And that was published in 1989, true?
5 A Yes.
6 Q It dealt with a number of blood centers, Irwin
Center in
7 San Francisco, Red Cross, the Sacramento Blood
Centers and
8 others, and the conclusion they reached was one on
-- one of
9 them was "one possibility that donations from
persons in whom
10 AIDS is about to develop contain a larger inoculum
of HIV."
11 That was one of the possibilities they
talked about
12 in that study, is that correct?
13 A Yes.
14 Q There have been subsequent studies to this
where this work
15 has been refined and certain possibilities have
been eliminated
16 to your knowledge, is that correct?
17 A You would have to make me aware of exactly what
it is
18 you're saying.
19 Q Referring, again, specifically to Mr. Poole,
what was his T
20 cell count in 1986?
21 A I believe it was 90 when it was first measured.

22 Q Assume for a moment that a patient came to you
and gave you

23 a history of having taken approximately a million
units of

24 unheated Factor VIII concentrate over a ten-year
period, and

25 assume that you did T-cell testing and found that
hemophiliac

1 to have T-cells of 90, would you even have to test
him for the
2 AIDS antibody to determine to a degree of medical
certainty
3 that he was infected with HIV?

4 A I would test him. I would assume he was going
to be
5 infected with HIV, but low T-cell counts are, you
know, so
6 unique to HIV infection that it would be unlikely
that there
7 was anything else causing that low T-cell count.

8 Q Is there a certain point where if your T cells
reach a
9 certain low level by definition that means you're
infected or
10 you have the disease AIDS?

11 A Well, there's two questions you asked me, so
I'll answer
12 the second one first.

13 The Centers for Disease Control changed
the

14 definition of AIDS in January of 1993 to now
reflect levels of

15 T-cells. So people who have less than 200 T helper
lymphocytes

16 are now considered to have AIDS to make the
definition a little

17 bit more biologically relevant.

18 Q Stephen Poole, even if he had no opportunistic
infection in

19 1986, by the fact that he had 90 T-cells only would
be defined

20 as having AIDS, is that correct?

21 A Correct.

22 Q And is there a certain point in time when
someone such as

23 Mr. Poole -- in other words, when they reach a
certain level

24 when -- regardless of whether they have symptoms of
disease,

25 but if their T-cells drop to a certain level, you
as a treater

1 would begin prescribing those few medicines that
are available

2 for individuals who are infected with HIV?

3 A Correct.

4 Q And what point is that?

5 A Well, I would initiate therapy for the virus
when their

6 T-cells drop below 500, so at a relatively higher
level. And

7 then I would initiate prophylaxis against
pneumocystis

8 pneumonia, which has been the most common
opportunistic

9 infection at that time. I would have initiated
prophylaxis

10 with a drug to prevent that particular type of
pneumonia.

11 Q At what T-cell level would you do that?

12 A We do that now when their T-cells drop below
the 200, 250

13 range.

14 Q So when Mr. Poole presented himself in 1986, he
would, by

15 definition because of his T-cell count have had
AIDS without

16 any opportunistic infection and just with that
T-cell count he

17 would have been a candidate for it's AZT or DDI or
some other

18 protocol?

19 A We have to be careful because we have to put
this in the

20 frame of the time that this was happening. AZT
wasn't

21 available generally until the spring of 1987, and
we didn't

22 start to routinely prophylact patients against
pneumonia until

23 1989. So, again, we -- you know, based upon my
practice today,

24 yes; but based upon accepted practices then, it
would be

25 different.

1 Q As to my client, Cutter, if Mr. Poole was
infected as of

2 the time he first got Cutter product, which in this
case is not

3 disputed, it was heat-treated Factor VIII in 1985.
And if he

4 was already infected by that date, did that
heat-treated Cutter

5 medicine harm him in any way in your opinion?

6 A Not that I'm aware of.

7 MR. BARR: I have nothing further.

8 MR. BERKMAN: I have one question, your
Honor.

9 CROSS-EXAMINATION

10 MR. BERKMAN:

11 Q Similarly -- I'm Richard Berkman on behalf of
Baxter.

12 It's undisputed that Mr. Poole received
three lots of

13 heat treated Baxter product in his January 1985

14 hospitalization.

15 Do you have any evidence that any of that
was

16 infectious with HIV or caused him any harm?

17 A No, I don't.

18 MR. BERKMAN: Thank you.

19 THE COURT: Any questions from the jury?

20 Any further cross-examination?

21 MR. RING: None.

22 THE COURT: Thank you.

23 (Witness excused.)

back at 24 THE COURT: Let's recess for lunch. Come

25 about 1:45.

6

Laura M. Brennan, Official Reporter

1 (The following proceedings were had in open
court out of

2 the presence and hearing of the jury:)

3 THE COURT: I have read the designated
portions of

4 Dr. Peter Levine's testimony, and I see no reason
that should

5 not be received in evidence. It seems to me
largely similar to

6 the testimony of other witnesses. I'm going to
receive it over

7 the plaintiff's objection.

8 MR. RING: Even though we didn't have a
chance to

9 complete the deposition, your Honor?

10 THE COURT: I don't see anything here
that -- I guess

11 I don't have the portion of the transcript where
there was a

12 reference to not completing it. My copy ends at
Page 131.

13 MR. ALBERT: Your Honor, I only provided
the Court

14 with the part we're going to read from. I can
provide the

15 Court with the entire transcript if you would like.

16 THE COURT: I don't really see that any
-- the

17 likelihood of any points being scored with further

18 examination. I mean, I've been listening to this
testimony now

19 for three weeks. It's --

20 MR. RING: I haven't read it. I grant
you it's

21 probably party line.

22 THE COURT: You don't have to. It's
pretty much a

23 rehash of what you've been hearing for three weeks
from the

24 defendants' point of view. You've got a different
point of

25 view.

1 MR. RING: Maybe they'll just agree that
he'll say
2 the same thing that the others have said?
3 Do you want to stipulate to that.
4 MR. ALBERT: I believe not.
5 THE COURT: I'll receive it. Thank you.
6 Do you want to take about scheduling at
the end of
7 the day?
8 MR. BERKMAN: We can talk now for a few
minutes, your
9 Honor.
10 It's my hope -- I believe all that's left
for today
11 is to complete Dr. Mozen, and then I believe Miss
Gourley has
12 one more witness, Mr. Rodell which we may complete
today.
13 MS. GOURLEY: I would like to say now I
hope we can
14 complete today. If we complete him today, he's
going to Europe
15 tomorrow.
16 MR. BERKMAN: I have Dr. Levine's
deposition which
17 will take less than an hour and one witness, Mr.
Young. I
18 think we'll be completed by the morning of Monday,
and I would
19 hope that Mr. Ring will have any rebuttal or
anything that he's
20 going to have for Monday afternoon.
21 I have two suggestions, your Honor, one

that we all

22 give you our jury charges at the end of Monday
instead of

23 waiting for Tuesday so that you have an opportunity
to look at

24 them; two, if Tuesday there is need for surrebuttal
we put it

25 on and we have a jury charge.

1 Then here is the question. If we close
on Wednesday,

2 will you allow the jury to deliberate while you're
out of town

3 and let some other judge just be available if they
have a

4 question?

5 THE COURT: What do you think.

6 MR. BERKMAN: I think it would be
advantageous only

7 because it will prevent people from getting into
the

8 Thanksgiving holiday, and it would give them a
chance to go

9 home before Thanksgiving; otherwise I'm neutral on
it.

10 THE COURT: Some juries don't ask any
questions

11 during deliberations. Other juries pepper you with
questions

12 from the beginning. And if that should be the
case, it will be

13 very awkward if I were out of town.

14 MR. BERKMAN: The alternative I was going
to suggest

15 was we let the jury go home on this coming
Wednesday and do our

16 closings on Monday the 22nd and let them deliberate
as long as

17 it takes them until we all go home.

18 MR. RING: Obviously if all the testimony
is in, then

19 that would be all right, but --

20 THE COURT: Well --

21 MR. BARR: I have a different question
that I would

22 like to address if I have a moment when we get
through with

23 this with this before we break.

24 THE COURT: Well, it sounds to me like we
probably

25 better think in terms of finishing up on the 22nd.

1 MR. BERKMAN: Closings.

2 THE COURT: Closing arguments and
instructions on the

3 week of the 22nd.

4 MR. BERKMAN: Maybe we could use
Wednesday to argue

5 directed verdicts or something, jury charge --

6 THE COURT: I just don't see how we could
get jury

7 instructions done and the jury instructed next
week.

8 MR. BERKMAN: Okay. I'm not pressing it.
I was

9 really just trying to -- my view then is that if we
can use

10 Tuesday for jury charges, directed verdict,
whatever, let the

11 jury go home and come back on Monday the 22nd to
make closing

12 arguments.

13 THE COURT: Well, if we finish up all the
evidence --

14 MR. BERKMAN: On Monday or Tuesday --

15 THE COURT: -- on Monday or Tuesday.
Yes, that would

16 make sense.

17 Mr. Barr?

18 MR. BARR: My concern is on the issue of
"rebuttal"

19 testimony.

20 The position of Cutter is that there has
been nothing

21 new introduced in the defense portion of this case.

We have

22 met what has been presented by plaintiffs and have
attempted to

23 rebut it.

24 As you know, the Circuit Courts have
almost

25 universally held that this idea of "having the last
word" is

1 not favored, and my concern is that we're going to
see a

2 complete retrial of this case in plaintiff's
rebuttal witness

3 if there are any. We haven't brought anything new.

4 THE COURT: I doubt that, but there have
been very

5 specific items of evidence talked about by the
defense which

6 seems to me invites rebuttal. Maybe there won't be
any, but

7 maybe there is a lot of room for rebuttal. So,
we'll just deal

8 with that when we reach it.

9 MR. RING: Your Honor, on a more
important note, in

10 light of the hour would 2:00 being.

11 THE COURT: All right. 2:00 o'clock.

12 MS. GELLEN: Your Honor, one thing I
forget to raise

13 yesterday. On the class action suit, when the
order came out

14 Cutter was not included as having leave to -- until
December;

15 15. Everyone else was named --

16 THE COURT: That was an oversight.

17 (The trial was adjourned until 2:00 p.m. On
November 9,

18 1993.)

19

20

21

22

23

24

25

11

Laura M. Brennan, Official Reporter

1 IN THE UNITED STATES DISTRICT COURT
2 NORTHERN DISTRICT OF ILLINOIS
3 EASTERN DIVISION
4 PEGGY GRUCA, et al.,)
5 Plaintiffs,) NO. 86 C 7623
6 v.) Chicago, Illinois
7 ALPHA THERAPEUTIC, CO., et al.,) November 9, 1993
8 Defendants.) 2:05 p.m.

9 VOLUME 19-B
10 TRANSCRIPT OF PROCEEDINGS
11 BEFORE THE HONORABLE JOHN F. GRADY

12 APPEARANCES:

13 For the Plaintiffs: LEONARD M. RING & ASSOCIATES
14 111 West Washington Street
15 Suite 1333
Chicago, IL 60602, by
MR. LEONARD M. RING
MS. DEBRA A. THOMAS

16 For Alpha Therapeutic Corporation: WILDMAN, HARROLD, ALLEN & DIXON
17 225 West Wacker Drive
Suite 3000
Chicago, IL 60606, by
18 MS. ANNE GIDDINGS KIMBALL
19 KNAPP, PETERSON & CLARK
70 Universal City Plaza
20 Universal City, CA 91600, by
MR. DAVID I. BELL

21

BRONSON, BRONSON & MC KINNON

22

444 South Flower Street

25th Floor

23

Los Angeles, CA 90071, by

24

MR. EDWIN W. GREEN

25

1 For Armour
2 Pharmaceutical
3 Company: SIDLEY & AUSTIN
4 One First National Plaza
5 Suite 4300
6 Chicago, IL 60603, by
7 MS. SARA J. GOURLEY
8 MS. LORY A. BARSDATE
9
10 For Miles
11 Laboratories: JOHNSON & BELL, LTD.
12 222 North LaSalle Street
13 Suite 2200
14 Chicago, IL 60601, by
15 MS. PAMELA L. GELLEN
16
17 O'CONNOR, COHN, DILLON & BARR
18 151 Union Street at Sansome
19 Suite 551
20 San Francisco, CA 94111, by
21 MR. DUNCAN BARR
22
23 For Baxter Travenol
24 Laboratories, Inc.: ALBERT, BATES, WHITEHEAD &
25 MC GAUGH
10 South Wacker Drive
Suite 2035
Chicago, IL 60606, by
MR. CHARLES G. ALBERT

DECHERT, PRICE & RHOADS
4000 Bell Atlantic Tower
1717 Arch Street
Philadelphia, PA 19103, by
MR. RICHARD L. BERKMAN

COURT REPORTER: LAURA M. BRENNAN
219 South Dearborn Street, Room 2342
Chicago, IL 60604
(312) 427-4393

1 Mozen - cross by Thomas

1 (Proceedings in open court. Jury out.)

2 THE COURT: Let me give you that back, Mr. Albert.

3 (Jury in.)

4 MILTON MOZEN, DEFENDANT CUTTER'S WITNESS, PREVIOUSLY SWORN

5 CROSS-EXAMINATION (Resumed)

6 BY MS. THOMAS:

7 Q. Dr. Mozen, I see someone has flipped this over to the
8 Cutter Koate heat-treat product. And that's your time and
9 temperature for the dry heat, 68 degrees at 72 hours, right?

10 MR. BERKMAN: Louder, please.

11 MR. BELL: Can you speak up, Debra?

12 MR. RING: Speak up.

13 MS. THOMAS: Sure.

14 BY MS. THOMAS:

15 Q. 68 degrees Centigrade at 72 hours, that was Cutter's time
16 and temperature for dry heat treatment, right?

17 A. Yes.

18 Q. Okay. There were some seroconversions reported in Canada
19 associated with products treated at 68 degrees for 72 hours,
20 weren't there?

21 A. Not associated with that product solely.

22 Q. Okay. I presume you've seen --

23 A. Individuals had received lots of other products.

24 Q. Do you recall which other products?

25 A. No. I would have to see the paper to refresh my memory.

LAURA M. BRENNAN, Official Reporter

2

Mozen - cross by Thomas

1 Q. Okay. I'm showing you Plaintiff's Exhibit 4.290, Leads
2 From The MMWR. And the portion I have highlighted, Dr. Mozen,
3 refers to the seroconversions in Canada of some hemophiliacs
4 who had used Cutter's product. Do you see that?

5 MR. BARR: May we have a copy of it, counsel? I've
6 found it. Thank you.

7 BY THE WITNESS:

8 A. Yes, I see that.

9 BY MS. THOMAS:

10 Q. Okay. You mentioned that the hemophiliacs who
11 seroconverted to HIV used another product in addition to
12 Cutter?

13 A. If we read beyond where you had it highlighted, if I may?

14 Q. Well, which other product had those hemophiliacs in Canada
15 used?

16 A. Well, perhaps I could read it here, and we could deduce
17 what they are referring to.

18 MR. RING: I can't hear you, Doctor. Can you speak
19 up?

20 BY MS. THOMAS:

21 Q. Can you lean forward toward the microphone? That may
help.

22 A. "However, an epidemiologic study, investigation showed a
23 strong statistical association between seroconversion and
24 receipt of one or more of three lots of heat-treated 60

degrees

25 Centigrade, 30 hours Factor VIII concentrate made by another

LAURA M. BRENNAN, Official Reporter

1 company from one plasma pool." And then it references a study

2 by Dr. Reamis.

3 Q. Okay. So the hemophiliacs who seroconverted on dry
4 heat-treated product in Canada used both Cutter's product
5 heat-treated at 68 degrees for 72 hours, and can we presume
6 this is Armour's product treated at 60 degrees for 30 hours?

7 MS. GOURLEY: Objection, relevance.

8 THE COURT: Overruled.

9 BY MS. THOMAS:

10 Q. Do you know for a fact if that's --

11 A. Yes.

12 Q. Okay. May I have that back?

13 A. Yes.

14 Q. Oh, by any chance did you investigate these
seroconversions

15 on behalf of your employer, Cutter?

16 A. Well, I attended the CDC meeting in Atlanta, I've
forgotten

17 the exact date, when the investigation of the Canadian
18 seroconversion was thoroughly aired and discussed, and all of
19 the data prior to publication was presented at that meeting.

20 So I think to that extent, yes, we investigated.

21 Q. Now, yesterday we were talking about levels of HIV in
22 persons who have been infected. Do you recall that?

23 A. Yes.

24 Q. And I believe your testimony, Doctor, was that you were
25 assuming a maximum titer of one million infectious particles

LAURA M. BRENNAN, Official Reporter

4

Mozen - cross by Thomas

1 per milliliter in a person at the peak of his infection with

2 AIDS?

3 MR. BARR: Object, Your Honor, that misstates the
4 evidence.

5 BY MS. THOMAS:

6 Q. Okay. Could you clarify it for us?

7 A. Yes. What I said was that, first of all, based on data
8 published by Dr. Hoe, and we had a blowup of that, the maximum
9 titer of infectious particles that he had measured in any
10 individual was 50,000. This was an individual who was at that
11 stage in the progression of the disease, he was with frank
12 AIDS.

13 What I said is based on the amount of virus that we
14 added to the plasma and followed its fractionation and
15 subsequent heat treatment, that there would have had to have
16 been more than a million particles, infectious particles per
17 mil of plasma to -- well, we were certain that we inactivated
18 that much. It could have been a million or more. But we had
19 only been able to put in that many infectious particles,
20 because that's all the material we had available.

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

Mozen - cross by Thomas

1 MR. BARR: Excuse me, Doctor. I am unable to hear
you.

2 Can you speak into the microphone, please?

3 THE COURT: He is, I think. I don't know what is the

4 matter.

5 THE WITNESS: I thought I was in the microphone.

6 THE COURT: Can the jurors hear him all right?

7 A JUROR: Yes.

8 THE WITNESS: Yes.

9 BY MS. THOMAS:

10 Q So you were assuming one million infectious particles per

11 milliliter that your dry heat treat process was capable of

12 inactivating, is that correct?

13 A Yes, one million or more, yes; ten to the sixth power is

14 what is expressed in the publication.

15 Q So that is substantially more than Ho reported ever finding

16 in a milliliter of a person with frank AIDS?

17 A That's correct.

18 Q All right. And when you used the term, infectious

19 particles, what are you talking about?

20 A Well, I was speaking about the ability to infect cells

21 growing in vitro or, as Dr. Kessler mentioned, grown in a test

22 tube where you can take human cells in a test tube and infect

23 them with virus particles; and based on doing a series of

24 dilution, one can calculate how many infectious particles or
how

25 many infectious virus are present in the solution because these

LAURA M. BRENNAN, Official Reporter

1 particles can replicate or reproduce when they enter these
cells

2 which are contained in a test tube or a flask or some other
3 suitable container.

4 Q Okay. I presume you were here for the testimony earlier
5 this morning, were you not?

6 A Yes.

7 Q You have been here for most of the trial sitting in the
8 front bench?

9 A Yes, that is correct.

10 Q Okay. So you recall we were talking about Jay Levy's, Dr.

11 Levy's, 1993 article --

12 A Yes.

13 Q -- relating to AIDS.

14 Did you review that article?

15 A Yes, I have seen that article.

16 Q Did Dr. Levy suggest that we might find a much higher level

17 of infectious particles per milliliter in someone presenting

18 with frank AIDS?

19 A Not that I am aware of.

20 Q First of all, do you recall Dr. Levy publishing in 1993
that

21 a person who has his initial infection or acute infection with

22 HIV can have as many as 5,000 infectious particles per

23 milliliter circulating in his blood?

24 A I am not sure I remember that precisely, but that sounds

25 like it is in the ball park we are talking about.

LAURA M. BRENNAN, Official Reporter

1 Q Okay. Yesterday I believe you stated that there was no
2 significant presence of free-floating virus in the blood of a
3 person who is newly infected.

4 MR. BARR: Your Honor, I am going to object. That
5 misstates the testimony of the witness.

6 THE WITNESS: I don't believe I said that.

7 BY MS. THOMAS:

8 Q Well --

9 A I think I used the same term that Dr. Kessler used today,
10 which was very low concentration.

11 Q Now, Dr. Levy reported being able to quantify as much as 11
12 million virions per milliliter in the plasma of persons with
13 AIDS.

14 Do you recall reading that?

15 A No, I would have to refresh my memory on that.

16 Q Okay. I am directing you to the 1993 Dr. Levy article in
17 the American Journal of Medicine, which is Armour's Exhibit
18 Number 6, page 88.

19 (Brief interruption.)

20 BY MS. THOMAS:

21 Q Have you read that before, Dr. Mozen?

22 A Well, it has been a while. I realize this just came out,
23 but I don't remember all the numerical detail. That is why I
24 have to look at it rather --

25 (Brief interruption.)

LAURA M. BRENNAN, Official Reporter

1 THE WITNESS: Yes, I see this. I see where some of
the

2 problem is.

3 Well, you can ask a question if you would like.

4 BY MS. THOMAS:

5 Q Well, here Dr. Levy is discussing a new method of

6 quantifying HIV 1, is that correct?

7 A Yes. He is talking about a method that quantifies what
they

8 call the gnome or the nucleic acid of HIV 1. This does not

9 necessarily correspond to infectious particles.

10 Q We are talking here about infectious virus and high titers,

11 are we not, Doctor?

12 A Yes, we are, but what he has detected by the so-called PCR

13 method is an indication of the nucleic acid that he was able to

14 quantify, and one can make a calculation as to what that might

15 be in terms of what he calls virions, but these are not shown
to

16 be infectious.

17 Q Is a virion a part of the virus particle?

18 A Yes.

19 Q Okay. So you are saying that these might not all be

20 infectious parts of virus, HIV virus particles, is that your

21 testimony?

22 A In fact, if you look at the table on the other side where
he

23 speaks of infectious particles, he is looking at numbers like

1

24 to 500, in that table.

25 Could I see that a moment?

LAURA M. BRENNAN, Official Reporter

5

Mozen - cross by Thomas

1 Q Now, he talks about the particles, virions, that can be
2 found.

3 MR. RING: Excuse me. Rather than strain, here is a
4 copy for you so you can follow.

5 THE WITNESS: Thank you very much.
6 You finished your question?

7 MS. THOMAS: Okay.

8 BY MS. THOMAS:

9 Q Now, if we have titers of 11 million infectious particles
10 free-floating in the plasma of a person with AIDS, the Cutter's
11 dry heat treatment method, according to your proof, would not
12 inactivate that level of virus, would it?

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Mozen - cross by Thomas

1 MR. BARR: Excuse me, Your Honor. That misstates the
2 evidence both of the witness and of the paper. It's a
3 hypothetical without foundation.

4 THE COURT: Well, whether it does or not, it doesn't
5 seem to be quoting a particular answer. She's simply asking
a
6 question. Overruled.

7 BY THE WITNESS:

8 A. May I have it again, please?

9 BY MS. THOMAS:

10 Q. If a person infected with 11 million infectious particles
11 of HIV per milliliter who had frank AIDS disease contributed
to
12 one of Cutter's plasma pools, there is no proof that Cutter's
13 dry heat-treat method would inactivate that level of virus, is
14 that correct?

15 A. No, that's not correct.

16 Q. Based on the experiments you did with Dr. Levy in 1984 and
17 1985?

18 A. Well, even based on that, and even if, which as I say, I
19 can't acknowledge it could be 11 million particles per mill,
20 but if that donor --

21 Q. Okay. Well that aside, that aside?

22 A. -- if that donor, plasma was added to a pool that was

23 diluted by 10,000 liters, so that donor was now diluted 10,000

24 fold, then we have to move that decimal point over four
places,

25 so instead of 11 million, you're looking at 1100, which still

LAURA M. BRENNAN, Official Reporter

1 puts it well within the information that we developed with our
2 experiment.

3 Q. Well, Dr. Mozen, the Factor VIII proteins or the units are
4 concentrated, are they not? I mean, that's the whole purpose
5 of making this product.

6 A. Yes.

7 Q. And I think it's well acknowledged in the literature that
8 the virus including HIV also becomes concentrated. You have
to
9 make that assumption, don't you?

10 A. Well, our data doesn't support that assumption.

11 Q. Well, isn't that well reported in the literature?

12 A. Well, you just yourself called it an assumption. What I'm
13 saying is our experimental data does not support the
14 assumption. In other words, when we actually fractionated it

15 for Factor VIII with HIV added, we did not find a
concentration

16 in the Factor VIII.

17 Q. Were you able to measure the amount of virus in the Factor
18 VIII?

19 A. No. Well, we did when we spiked it, yes, as I described
20 yesterday.

21 Q. No. At the end, were you able to take that Factor VIII
22 concentrate and measure how much virus was in it?

23 A. In the experiment I described yesterday?

24 Q. Yes.

25 A. Until it was all gone, yes.

LAURA M. BRENNAN, Official Reporter

1 Q. What do you mean "until it was all gone"?

2 A. Well, if we go through the experiment again, you'll recall

3 that we had a portion of the experiment where we added virus
to

4 the plasma, and then we put it through the purification

5 procedure up to the point where it was freeze-dried, and at

6 that point, virus could be measured in the freeze-dried

7 product. Then we added more virus back.

8 Q. Where did you add the second amount of virus?

9 A. We added it to a filtrate prior to freeze-drying.

10 Q. So you had, you already had some of the product

11 freeze-dried in their containers?

12 A. Correct.

13 Q. And then you took more HIV, and you put that there?

14 A. No. It was a new experiment.

15 Q. Oh, okay.

16 A. New experiment. So we already determined how much virus
is

17 inactivated in going from plasma to a freeze-dried product.

18 And as I indicated yesterday, we found that approximately 90
to

19 99 percent of the virus that was added to the plasma was lost

20 or destroyed by the time we reached the freeze-dried product.

21 In other words, by the time we reached this product, before it

22 was heated, 90 to 99 percent of the virus was destroyed. Now,

23 we took --

24 Q. Well, let me interrupt you right there.

25 MR. BARR: Excuse me, Your Honor. May the witness be

LAURA M. BRENNAN, Official Reporter

4 Mozen - cross by Thomas

1 allowed to finish?

2 THE COURT: No.

3 MS. THOMAS: Judge, it is so complicated, I think it
4 is helpful if we break it down.

5 THE COURT: Go ahead, go ahead.

6 BY MS. THOMAS:

7 Q. Please feel free to correct me if I am wrong, but did you
8 not report, Dr. Mozen, that no matter what the level of virus
9 is that you start with, you always have about 100 fold
10 reduction after fractionation?

11 A. That's where I was saying 90 to 99 percent, which is what
12 we call two log reduction is 90 percent, three logs is 99
13 percent, and it was in that range. And you recall, I
discussed
14 what a log is. A log is 10 to the power so that 10 squared is
15 100, and 10 cubed is 1,000, and we reduce it by somewhere
16 between 10 squared and 10 cubed, which means 90 to 99 percent
17 reduction.

18 Q. So the bottom line is you're saying no matter how much
19 virus is in there, 100 fold always equates to 90 to 99
percent?

20 A. I'm saying, yeah, two log -- one log reduction is always
90
21 percent. Two log reduction is always 99 percent.

22 Q. Okay. So no matter how much virus, how much HIV is in
your

23 starting pool of plasma, after the fractionating, you're going

24 to have one to ten percent of that virus left in there, is
that

25 what you're saying?

LAURA M. BRENNAN, Official Reporter

5 Mozen - cross by Thomas

1 A. That's what I'm saying, yes.

2 THE COURT: Let me ask a question about that. What
3 does that mean, one to ten percent or 90 to 99 percent
4 reduction? Were these the ranges over a series of tests?

5 THE WITNESS: Yes.

6 THE COURT: In other words, it's either 90 or it's 99
7 or it's something in between for a particular test, is that
8 right?

9 THE WITNESS: The precision of measurement in the
10 series of tests indicated that the reduction was either what
we
11 call two logs, 10 square, or three logs. If it were three
12 logs, it would be 99 percent. If it were two logs, it was 90
13 percent. And it was somewhere in between, and sometimes two
14 and occasionally three in different experiments.

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

Mozen - cross by Thomas

1 THE COURT: All right. So this was the range of the

2 different experiments?

3 THE WITNESS: Yes.

4 THE COURT: What accounted for the differences in the

5 different experiments?

6 THE WITNESS: Well, mostly it is the -- when one deals

7 with counting live virus, there is a certain precision problem

8 or a lack of precision within perhaps a half a log, as they
say.

9 THE COURT: How do you determine the presence of a
10 particular number of viruses as distinguished from some other

11 particular number?

12 THE WITNESS: Well, what is done is that the -- one
has

13 to obtain the cells in which the virus are going to grow and
you

14 have these cells plated out in many, many plates, and then you

15 make a series of dilutions, usually tenfold dilutions of the

16 virus.

17 And then you determine how far have you diluted before

18 there is no viable virus. So nothing is growing.

19 So if it is growing in the undiluted, then you have a

20 certain concentration, and then diluted one to ten, diluted one

21 to a hundred, diluted one to a thousand, and the last dilution

22 in which no virus grow becomes the virus titer.

23 THE COURT: What does dilution have to do with the

24 fractionating?

25 THE WITNESS: This is just for determining the count
of

LAURA M. BRENNAN, Official Reporter

1 the virus. It has nothing to do with fractionating.

2 THE COURT: I see.

3 THE WITNESS: It is part of the assay, part of the
4 determination of how many viruses there are.

5 You are ultimately trying to dilute it out so far that
6 there are no virus left. When you reach that point, that tells
7 you how many there were in the original dilution.

8 You dilute it a thousand fold and there was no left,
9 then you can say there were a thousand particles in the first
10 dilution.

11 THE COURT: Do you assume one virus per cell when you
12 get growth in a cell?

13 THE WITNESS: Yes, essentially.

14 THE COURT: How do you know how many viruses?

15 THE WITNESS: Well, the way it is done in actuality is
16 they measure a certain enzyme which is specific to a
retrovirus,
17 and as long as that enzyme is being generated, you know the
18 virus is growing.

19 But basically you would assume, if you were doing a --
20 there is another kind of test where you look at the actual
21 destruction of the cell. Then you can assume one virus will
22 destroy one cell. But this is done more with this enzyme that

23 is generated.

24 BY MS. THOMAS:

25 Q Well, Dr. Mozen, do you recall in your deposition
answering

LAURA M. BRENNAN, Official Reporter

1 that there is no reliable way --

2 MR. BARR: Excuse me. May we have the page, please?

3 MS. THOMAS: Sure, page 206.

4 THE WITNESS: Which one? I was deposed three times
for

5 this case. Are we on the --

6 BY MS. THOMAS:

7 Q August 4, 1989.

8 A And what page did you say?

9 Q 206.

10 A 206?

11 Q Yes.

12 A Okay.

13 Q Do you recall my asking you:

14 "In other words, there is no way to test the finished
15 product to determine if it contains the virus?"

16 Your answer was:

17 "That is correct. There is no reliable way to test
the

18 final product."

19 Do you see that?

20 A Yes.

21 Q And we are talking about the presence of HIV virus in the

22 final product there, aren't we?

23 A Yes, we are. Of course, we are talking about a final

24 product to which we have not added deliberately high

25 concentrations of HIV.

LAURA M. BRENNAN, Official Reporter

1 Q Well, even in that instance where you had deliberately
added

2 HIV to your product, you were not able to detect every virus
3 particle and make the statement that they were all gone, were
4 you?

5 A Essentially we were, yes.

6 Q Essentially, okay. Let's see what you mean by essentially.

7 Now, I am looking here at your letter to the Lancet

8 you wrote with Jay Levy --

9 A Yes.

10 Q -- dated June 22nd, 1985.

11 Are you familiar with that one, Dr. Mozen?

12 A Yes, I am.

13 Q And here you were reporting your experiment where you added

14 the HIV to Cutter's product, correct?

15 A Correct.

16 Q And reporting your virus kill, correct?

17 A Correct.

18 Q And you reported in the Lancet:

19 "Our results indicate that lipid envelope
retroviruses,

20 both mouse and human, if present in sufficient amount

21 in plasma can be found in infectious form in Factor

22 VIII lyophilized products. Their sensitivity to
Factor

23 VIII purification procedures appears to be independent

24 of virus input titer."

25 Then you stated: "Because ARV," and by that you are

LAURA M. BRENNAN, Official Reporter

1 referring to the AIDS virus, correct?

2 A Yes.

3 Q "Because ARV is not as easy to measure in tissue

4 culture as the mouse -- trophic virus, not every

5 infectious ARV particle may have been detected."

6 And that is what you published in the Lancet in 1985,

7 correct?

8 A Yes.

9 Is there a question you have about that?

10 Q I want to make sure that I was reading this right, Doctor?

11 A You may have been reading it right.

12 I am not sure you are interpreting it right.

13 Q Well, that is for your counsel to explain, I am sure.

14 A Okay.

15 Q And, again, you reported in a rampant publication, the

16 Journal of Clinical Investigation, August 1985.

17 Are you familiar with that? Do you recall being

18 published in that?

19 A Is that the McDougall article?

20 Q Yes.

21 A Yes, I am.

22 Q You and Mr. Heldebrant of Alpha published your test
results,

23 is that correct?

24 A That is correct.

25 Q And, again, this is the same test where you claim the dry

LAURA M. BRENNAN, Official Reporter

6

Mozen - cross by Thomas

1 heat treat process can kill up to one hundred -- one million
2 infectious particles per milliliter, same test we have been
3 talking about?

4 MR. BARR: Can we have the exhibit number, please?

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 MS. THOMAS: While we're checking for a
number --

2 MR. BARR: I want the record to reflect
what number
3 it is.

4 MS. THOMAS: I'm sorry. My copy doesn't
have a
5 number.

6 BY MS. THOMAS:

7 Q You published in "The thermal Inactivation of
AIDS Virus:

8 It is likely that our assay does not measure the
critical

9 infectious dose of virus. No assay can
discriminate one from

10 zero infectious virus particles, and we are forced
to rely on

11 observed log reduction in the measurable range and

12 extrapolation of thermal decay data to make
inferences about

13 efficacy of decontamination."

14 Did you publish that?

15 A That's exactly correct, yes.

16 Q What we're talking about are a lot of
inferences that

17 you're making down the road at each and every stop?

18 A We're talking about extrapolating scientific
data. And

19 extrapolation is a very legitimate course that
scientists do

20 when they have appropriate data to extrapolate.

21 Q You're also making extrapolations about the

thermal decay

22 of the HIV virus present in your material, aren't
you?

23 A We have shown those data in this publication,
correct.

24 Q These are extrapolations, aren't they?

25 A Well, no --

1 Q What is an extrapolation?

2 A That data that's shown are actual measurements
that
Page 875,
3 Dr. MacDougall at the CDC made. If you look on
are actual
4 you'll see a series of straight lines, all of which
5 data points.

6 The extrapolation is what goes beyond the
data point,
7 but the straight line decay phenomenon, which we
are describing
8 here, is based on actual data points, not
extrapolation.

9 Q Extrapolation would be when you put 20 vials
into a little
10 oven and then you assume that 3,000 vials in a big
oven -- that
11 whatever virus is in those vials is going to be
killed off at a
12 certain rate consistently. That's basically
extrapolation.

13 A No, I would not call that extrapolation.

14 Q What is extrapolation, Doctor?

15 A Again, if you would like to refer to Page --

16 Q No, just what does it mean? What does the word
mean to
17 you?

18 A It means when you have a series of events
occurring -- for
19 example, suppose you were a jogger and you were
jogging at
20 8-minute miles, and you actually measured your 8
minutes per

21 mile for 2 miles and then you told somebody that
you ran for 40

22 minutes. An extrapolation would be determining how
far you

23 went. That's what I would call extrapolation.

24 Q Okay. How far you went?

25 A Right.

1 Q I think we're talking about the same word then.
2 Let me take you back to your -- Dr.
Levy's 50,000
3 infectious particles for a moment.
4 Dr. Levy reported 50,000 infected cells
in a person
5 who had symptoms of AIDS in 1993 as being rather
high?
6 A I'm not sure what the relevance of that is.
7 Q Well, I think you explained to us that HIV can
either be
8 free floating particles in the plasma or it could
be
9 sequestered inside a human cell, correct?
10 A I explained yesterday -- and I believe Dr.
Kessler
11 reiterated today -- that there are no cells in
plasma,
12 therefore, the number of HIV that would be in a
cell is really
13 not relevant to the concentration of HIV in plasma.
The data
14 of Dr. Hoe are data regarding HIV in plasma.
15 Q How many infectious particles -- you have more
than one
16 infectious particle or more than one virus in a
cell, don't
17 you?
18 A Yes. Usually one particle will infect a cell.
And as we
19 discussed yesterday, the cell then replicates this
virus, and
20 depending on the virus it can make many, many virus
within that

21 cell until the virus actually break the cell open.

22 Q Dr. Levy, in his 1993 article eight years after
he did

23 these experiments with you, talked about the effect
of dry heat

24 treatment on clotting factors and the HIV virus
that is

25 present. Do you recall reading about that --

1 A Yes, I believe so.

2 Q -- in this article.

3 And he talked about how the virus can
remain stable

4 in a powder form for several years in the
lyophilized state,

5 correct?

6 A Yes.

7 Q And the activity of the virus can be
reestablished just by

8 taking that product and reconstituting it with
water the way

9 every hemophiliac who uses the product does, is
that correct?

10 A No, that's not correct.

11 Q So you're disagreeing with Dr. Levy's --

12 A I think we have to carry it a step further.
What Dr. Levy

13 is saying is that you can reconstitute this powder
and inject

14 it into one's blood stream where it will see cells
to infect,

15 and then it could be infectious. But there are no
-- it

16 doesn't do anything in the powder as, I think,
we've described

17 because there are no cells in there.

18 Q No, but once you add the water you're waking up
those virus

19 particles that have gone to sleep as a result of

20 lyophilization, aren't you?

21 A No, I think they're still sleeping. The only
thing that

22 wakes them up is when you see a cell.

23 Q Okay. So once you put the water in and then
the person

24 with hemophilia shoots it into his arm --

25 A That's another issue.

1 Q -- they wake up and they see a cell and they go
to work,

2 don't they?

3 A Yes.

4 Q So if your system of putting 3,000 vials into
a big oven,

5 if your extrapolations aren't exactly on point,
there may be

6 some cells in these vials that are sleeping as
opposed to dead,

7 isn't that true?

8 A No.

9 THE COURT: You said cell. Did you mean
cell?

10 MS. THOMAS: No, I meant virus particles.
Thank you.

11 THE WITNESS: Again, I can only go back
to what our

12 data show. Our data demonstrate very, very clearly
to me that

13 there are no viable or infectious particles
remaining in these

14 bottles after they have gone through the heat
treatment, so I

15 don't know how I can talk about waking up a
sleeper.

16 BY MS. THOMAS:

17 Q Based on your extrapolations?

18 A No, I said based on our experiments.

19 Q Right. Let's assume that you killed off a good
number of

20 these HIV infectious particles so they may be
inactive, but

21 they're still there, aren't they? They don't
disappear from

22 the powder, do they?

23 A No. No.

24 Q So what you have are dead HIV cells in the
product --

25 A You might say that.

1 Q Well, that's true, isn't it?

2 A Yes, yes.

3 Q According to Dr. Levy these dead HIV cells can
serve as
4 antigen, can they not?

5 A They cannot.

6 Q So you disagree with Dr. Levy there, too?

7 A Absolutely.

8 MR. BARR: Your Honor, may the witness be
allowed to
9 see what counsel is reading from?

10 THE COURT: Sure.

11 MS. THOMAS: I wasn't reading. I was
posing
12 questions.

13 MR. BARR: Your Honor, I would object
because it
14 misstates the evidence.

15 THE COURT: I don't know whether it does
or not.

16 Show it to the witness and see whether he agrees
that's what it
17 says.

18 BY MS. THOMAS:

19 Q Dr. Mozen, I'm referring to Page 90 of the Levy
article,
20 the top paragraph here.

21 A Is that the one that you just gave me?

22 Q Yes.

23 A Okay. Page 90.

24 Q Page 90, the first full paragraph on the
right-hand side of

25 the page.

1 A Now I forgot your question.

2 Q My question was these inactivated or dead HIV
viruses that

3 are in the clotting factor that the hemophiliac
uses, wouldn't

4 that be antigenic stimulation to the hemophiliac?

5 A No, I don't think so.

6 Q Well, Dr. Levy says these viral proteins even
though

7 inactive would be antigens, does he not?

8 A He does say that, but I think --

9 Q You think he means something else?

10 A Yes. I don't think he's realized the
quantitative amount

11 of dead antigen there relative to the total -- it
is so

12 infinitesimally small that in that case I would
have to say I

13 would disagree that that would be an antigen.

14 Q So you disagree with Dr. Levy?

15 A I didn't say that, Miss Thomas. I said that on
this

16 particular issue of whether the amount of dead
antigen in here

17 could serve as a viral antigen, I would disagree,
yes.

18 Q You disagree with his saying that there are --
he has found

19 as high as 11 million virus particles per
milliliter?

20 A No, I didn't disagree with that. I said he did
not talk

21 about infectious particles in that instance.

22 Q He called this virions?

23 A These are not -- as I explained at the very
beginning of

24 this cross-examination, you asked me what I meant
by an

25 infectious particle. An infectious particle is
something that

1 has been shown to be capable of causing infection.
That you do

2 in a cell culture.

3 These numbers that you have quoted by Dr.
Levy, these

4 have not been shown to be infectious particles.
They have not

5 been shown to be capable of infecting a cell.

6 Q They have just been taken from the blood of
AIDS patients,

7 correct? Correct?

8 A I think that's where he measured, it, yes.

9 Q Exactly.

10 A They're parts of a virus. They're not
necessarily

11 infectious.

12 MS. THOMAS: No further questions.

13 CROSS-EXAMINATION

14 BY MR. GREEN:

15 Q Dr. Mozen, I just have three areas that
hopefully will be

16 very short.

17 First of all, just a few moments ago you
spoke about

18 Dr. MacDougall's paper is that right?

19 A Yes, I did.

20 Q I wonder if you could tell the jury who Dr.
MacDougall is?

21 A Dr. MacDougall is a member of the scientific
staff at the

22 Centers for Disease Control, the CDC you've heard
so much

23 about. And he was one of the leading -- and still
is --

24 laboratory persons in the whole research area of
AIDS.

25 Q And you and Dr. Helderbrandt of Alpha
participated in a

Mozen - cross by Green

1 study by Dr. MacDougall, is that right?

2 A Yes, that's correct.

3 Q What was the purpose of that study?

4 A The purpose of that study was to validate that
the

5 heat-treat processes that were being used by our
organization,

6 Cutter and the Alpha process, that these two
procedures could

7 be validated and demonstrated that the virus were
inactivated

8 under those conditions.

9 Q Rather than go through how the test was run --
because I'm

10 one of those people who these that virions may be
a rock

11 group -- would you tell us what the result of those
tests were?

12 A The results were that basically Dr. MacDougall
as in the

13 way I described Dr. Levy's experiment, he gave us
as much virus

14 as we could get into our product, and the same was
done at

15 Alpha.

16 Then these were put through the
respective heat

17 processes and the appropriate samples returned to
Atlanta to

18 Dr. MacDougall's laboratory where they are titered
or, that is,

19 the virus were counted. And he reported in this
paper that we

20 referred to that no detectable virus could be found

after the

21 heat treatments.

22 Q Dr. MacDougall was doing this to the account of
-- or on

23 behalf of the CDC, is that right?

24 A Yes, he was.

25 Q Dr. Mozen, to clear up one other point from
yesterday,

1 prior to 1982 -- prior to, let's say, July 1982,
how many
2 viruses were transferred through the fractionation
process to
3 recipients of clotting factor?
4 A Well, in 1982, we really only knew about the
disease entity
5 hepatitis, but by that time it had been determined
that there
6 was a hepatitis B that was transmissible and also
what was then
7 called non-A, non-A B. So basically -- so
basically the
8 disease entity was hepatitis, and there seemed to
be at least
9 two forms of hepatitis.
10 Q So as of that time there were a myriad of
viruses out there
11 that could cause infectious processes in human
beings, but they
12 did not survive the fractionation process?
13 A That is correct.
14 Q Doctor, is it your understanding that Cutter
attempted core
15 antibody testing and was asked by the -- told by
the FDA to
16 stop doing so?
17 A That is my understanding.
18 MR. GREEN: Thank you. I have no further
questions.
19
20
21

22

23

24

25

10

Laura M. Brennan, Official Reporter

1 Mozen - redirect

1 REDIRECT EXAMINATION

2 BY MR. BARR:

3 Q. Dr. Mozen, you were asked questions by Ms. Thomas about
you

4 not being a virologist. Have the last 25 years, have you been

5 involved with working with various attempts to eliminate

6 viruses from these coagulation products?

7 A. Yes, yes, I have.

8 Q. And did you receive from the National Hemophilia
Foundation

9 the Murray Thalen Award?

10 A. Yes.

11 Q. And what was that award for?

12 A. That was for, it was an award from the National Hemophilia

13 Foundation for demonstrating the effectiveness of heat

14 treatment in eliminating HIV from clotting factors.

15 Q. Ms. Thomas asked you yesterday, she said to you, "If the

16 products Stephen Poole had taken were shipped to him in 1985,

17 it could very easily have been made from plasma collected in

18 1981, 1982, or 1983?" and your answer was, "Not in my
19 opinion."

20 You have determined based upon going through the
21 normal course of records at Cutter that the plasma for Stephen

22 Poole's two lots was collected in 1984, is that correct?

23 MS. THOMAS: Objection, leading.

24 THE COURT: Sustained.

25 BY MR. BARR:

Q. When was the plasma for Mr. Poole's two lots collected?

LAURA M. BRENNAN, Official Reporter

1 A. Well, the records that I reviewed indicated that they were
2 collected, all that plasma was collected in 1984.

3 Q. Now, Ms. Thomas asked you some questions about someone
4 donating plasma who had full-blown AIDS and how many virions
or
5 virus particles, which ever word, they might have in their
6 body. Do you recall that?

7 A. I do.

8 Q. Can you tell us whether or not in your opinion someone
with
9 full-blown AIDS could ever be accepted as a donor of whole
10 blood or plasma?

11 A. In my opinion, that really wouldn't be possible. One of
12 the specifications in terms of the medical exam is that they
13 must be in good health. And I think anyone with full-blown
14 AIDS would certainly be seen by the physician as not being in
15 good health.

16 Q. Dr. Mozen, you were asked by Ms. Thomas about whether or
17 not the experiments you conducted with Dr. Levy were
validated.

18 First of all, did you hear Dr. Levy's testimony in this case?

19 A. Yes, I did.

20 Q. Has Dr. Levy ever suggested to you that the experiments
21 done in '84 and '85 were in some way invalid?

22 A. No, he did not.

23 Q. Number two, how have those experiments been validated and

24 proven to be correct?

25 A. Well, I think they have been in at least two ways. One
was

LAURA M. BRENNAN, Official Reporter

1 the experiment we conducted with Dr. McDougal at the Centers
2 for Disease Control that I just discussed with Mr. Green, and
3 that was really with another strain of HIV, one that Dr.
4 McDougal had obtained from the Paris group that you've heard
5 much about.

6 And more importantly now, we have a number of years
of
7 clinical experience. And since that product has been
8 introduced, and we know it's been used by virtually hundreds
9 and hundreds of hemophiliacs, we have never received a report
10 of a seroconversion resulting from the use of that product.

11 Q. Now, Dr. Mozen, when you refer to the McDougal paper, let
12 me hand you a document, and I've marked it as Exhibit FF. Is
13 that the paper you are referring to?

14 A. Yes, that's the one.

15 Q. And I have marked as Exhibit GG the article from you and
16 Dr. Levy, is that correct?

17 A. Yes.

18 Q. Now, Ms. Thomas read to you a bit ago some information
from

19 your Lancet article in 1985 concerning the AIDS virus, and she
20 read the following, quote, "The sensitivity of infectious form
21 and Factor VIII lyophilized products" -- sorry.

22 Quote, "The sensitivity to Factor VIII purification
23 procedures appears to be independent of virus input, see Table
24 1, because ARV is not as easy to measure in tissue cultures as
25 a mouse xenotropic virus, not every infectious ARV particle
may

LAURA M. BRENNAN, Official Reporter

1 have been detected," close quote. Do you recall that?

2 A. Yes.

3 Q. She did not read to you the following statement, is that

4 correct, "Nevertheless, the results confirm the ability of

5 infectious retroviruses to withstand the procedure used to

6 purify Factor VIII from plasma," and you go on to state,

7 "Heating lyophilized Factor VIII for 72 hours at 68 degrees

8 Centigrade eliminates infectious ARV if it is not present in

9 the plasma at more than ten-six infectious particles," is that

10 correct?

11 A. Yes.

12 Q. Now, what does that mean?

13 A. Well, that means, as I was explaining earlier, that based

14 on the maximum amount of virus we were able to put in, we were

15 saying that our data demonstrated that if that plasma pool

16 contained no more than 10 to the sixth, which is one million

17 particles, infectious particles per mil, that we were

18 demonstrating the total elimination of that amount of virus.

19 Q. And is the 11 million virions as reported by Dr. Levy in

20 1993, is that 11 million infectious virus particles?

21 A. Not to my understanding.

22 Q. You were asked questions about the Canadian

23 seroconversions, people who got heat-treated product in
Canada.

24 Do you recall that?

25 A. Yes, I do.

LAURA M. BRENNAN, Official Reporter

1 Q. Did you investigate to determine that all of the patients
2 that became infected in Canada as stated in Exhibit 4.290,
3 Plaintiff's Exhibit, got a heated product not of the Cutter
4 type but, rather, of 60 degrees at 30 hours?

5 A. That was the conclusion of a very extensive investigation.

6 Q. And was that investigation done in house at Cutter?

7 A. No, not our -- that was an investigation done by the
8 Canadian authorities and the Centers for Disease Control.

9 Q. Now, I want to talk to you again about extrapolation. And
10 if you would, would you use the McDougal paper to explain, if
11 you will, how as a scientist one uses extrapolation to reach
12 valid scientific conclusions?

13 A. Well, I'm not sure that the jury can see this.

14 Q. Well, would it help if you'd draw? I just want an example
15 of it. Let me give you a pen.

16 A. Basically what was being done in this experiment is along
17 this vertical axis, the so-called Y axis, was the number of
18 infectious, we'll call them IP, infectious particles, the
19 virus, this being high, this being something like 10 to the,
20 to the fifth, and then this being time and going in this
21 direction.

22 And basically, the amount of virus at given points of

23 time were determined, if you went here, determination here,
24 determination here, determination here. And these were actual
25 experimental data. And then they would draw this line. And

LAURA M. BRENNAN, Official Reporter

1 supposing this were something like two hours, then the
2 extrapolation becomes here how long is it until the virus
3 disappeared. But you have a number of actually experimentally
4 determined points.

5 And this was done, well, in liquid solution it was
6 done at five different temperatures, so you had lines that go
7 like this, like this, and like this, and this, this being the
8 hotter, I guess it was 60 degrees. So the extrapolation is
9 this part of the line which then tells you what will be the
10 time point where all of the virus would be gone.

11 Q. Is extrapolation such as you've described something that
12 is

13 used on a regular basis by scientists and physicians in order
14 to arrive at medical or scientific conclusions?

15 A. Well, it's the only process that can be used here, because

16 as we said several times, you cannot measure the virus in a
17 product that you didn't spike with the HIV or mouse C. So
18 that

19 you have to make your determinations at a concentration that
20 is

21 doable and then with enough points with the analogy I gave
22 about running and time, you can make that extrapolation with
23 a
24 high degree of certainty.

25 Q. The analogy with running being if you run eight-minute
26 miles, and you know that, and you run for 40 minutes, then you

23 know you've run five miles?

24 A. That's right.

25 Q. Okay. Now, in talking about the sleeping virus, do you

LAURA M. BRENNAN, Official Reporter

1 remember that?

2 A. Yes.

3 Q. In Cutter's heat-treated product, did you establish to a
4 scientific certainty that there are no sleeping virus after it
5 is heat-treated?

6 A. Well, that's part of the experiment. If there were a
7 sleeping virus, when these virus determinations were made, and
8 they were placed on the cells as I was explaining to the
Judge,
9 they'd wake up. And that was not the case. So we determined

10 that the virus were no longer capable of infecting cells.

11 Q. Dr. Levy's paper in 1983, a portion of it that was not
read
12 to you, you were read three sentences by Ms. Thomas, states as
13 follows, quote, "All seropositive hemophiliacs appear to have
14 been infected by HIV," close quote. Do you recall Dr. Levy
15 stating that?

16 A. Yes, yes.

17 Q. Were they infected by sleeping virus?

18 A. No, I don't believe so.

19 Q. Okay. Now, finally, yesterday Ms. Thomas stated when she
20 asked you a question, quote, "Mr. Hink testified and told us
21 about some documents that were shown to the jury that Cutter

22 had collected recovered plasma throughout 1983, I believe, and
23 that this was, in fact, included in the Factor VIII pools."
24 Do
25 you recall that?
26 A. Yes, I do.

LAURA M. BRENNAN, Official Reporter

8 Mozen - recross by Thomas

1 Q. Would it refresh your recollection that Mr. Hink said as
2 follows in this courtroom:

3 "Question: As of the date of this memo, December 13,
4 1982, was Cutter using recovered plasma in the manufacture of
5 Koate?

6 "Answer: No."

7 And at a second time in the courtroom, he was asked
8 the question by Ms. Thomas, quote, "As of December 1982, was

9 Cutter using recovered plasma in the manufacture of
coagulation

10 products?

11 "Answer: No."

12 And would that be consistent with what not only you
13 recall Mr. Hink saying but with what Cutter was doing?

14 A. Yes, it would.

15 MR. BARR: I have nothing further, Your Honor. Thank
16 you.

17 RECROSS-EXAMINATION

18 BY MS. THOMAS:

19 Q. Okay. Dr. Mozen, you just told us that it was your
20 understanding Cutter stopped using their hepatitis B core
21 antibody test in 1984, because the FDA told them to stop?

22 A. That was my understanding.

23 Q. Do you recall seeing this October 19, 1984 letter before?

24 A. Yes, I've seen that.

25 Q. Could you read that to us? It's very short.

LAURA M. BRENNAN, Official Reporter

9

Mozen - recross by Thomas

1 A. Yes. This is a memo written by Jack Ryan to some people,
2 not myself. And it says, "We are announcing to our U.S. Koate
3 customers that we have switched all future production to only
4 HT Koate. This letter to customers is going out sometime next
5 week."

6 Do you want me to read more?

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1

Mozen - recross

1 Q Yes, please.

2 A "Effective immediately you should discontinue all
3 hepatitis B core screening and all segregation of
4 plasma based on this test. Please work with the BCC,"

5 and I am not sure what that stands for, "in deciding how to
6 handle current inventories of plasma, et cetera."

7 Q And that was in October of '84, right?

8 A Yes.

9 Q That was about the same time that he started dry heat
10 treating the Factor VIII concentrate?

11 A What was that?

12 Q Was that about the same time that Cutter started selling
its

13 dry heat treated factor?

14 A Oh, no, quite a bit after. We were licensed in the end of

15 February 1984.

16 Q Okay. So by February you started making this new product

17 available, right?

18 A Correct.

19 Q And all through '84 you were selling non-heat treated
factor

20 concentrate, too, weren't you?

21 A Yes.

22 Q When did Cutter stop selling non-heat treated?

23 A I don't think I remember.

24 Q Okay. In any case, you testified that the pools from which

25 the product Stephen Poole took in 1985 would have been collected

LAURA M. BRENNAN, Official Reporter

1 from donors in 1984, is that correct?

2 A Yes.

3 Q And in 1984 you stopped segregating all of the plasma that
4 was screened for core antibody?

5 A You mean according to that note? Yes, that was the end of
6 '84, yes.

7 Q According to this memo from the president?

8 A Yes, yes.

9 Q Okay, and everybody has heard what the anti core test
means.

10 There is, and I think you will agree, an overlap. Ninety
11 percent of people with AIDS are core positive, correct?

12 MR. BARR: Objection.

13 BY MS. THOMAS:

14 Q Do you recall seeing those statistics?

15 MR. BARR: Your Honor, excuse me. I would just
object.

16 It goes far beyond any of the recross examination or redirect
by

17 anyone.

18 THE COURT: Overruled.

19 BY MS. THOMAS:

20 Q You recall the CDC suggesting that there is a 90 percent
21 overlap, correct?

22 A It depends on what you mean by overlap.

23 What I recall seeing was that when people with frank

24 AIDS at the time of -- it was 1984, 1983 -- if those

individuals

25 were tested for core antibody, 90 percent or something around

LAURA M. BRENNAN, Official Reporter

1 that were positive.

2 Q So the very reason that Cutter started using the anti core

3 test was to screen out persons at high risk for AIDS, right?

4 A That was the intention, yes.

5 Q Okay. And as soon as Cutter got a dry heat treat process
on

6 the market, not the pasteurization process that you had been

7 working on for a number of years, but the dry heat treat

8 process, you took all that core positive blood and put it back

9 into your pools in '84, didn't you?

10 A Yes.

11 Q And with regard to these persons in Canada who

12 seroconverted, I believe you said it was established or there

13 was evidence that it was the other guy's product?

14 A I think I said it was established.

15 Q Established?

16 A Yes.

17 MR. GREEN: I will object to the, quote, other guy's,

18 your Honor, because I don't know who the other guys are.

19 THE COURT: Sustained.

20 BY MS. THOMAS:

21 Q The other product being one that was heat treated at 30

22 degrees centigrade, correct, 24 hours?

23 A Sixty centigrade.

24 Q Sixty centigrade for 24 hours?

25 A Thirty hours.

LAURA M. BRENNAN, Official Reporter

4

Mozen - recross

1 Q That was Armour's product, correct?

2 A Thirty hours.

3 Q Thirty hours, thank you.

4 That was Armour's product, correct?

5 A Yes.

6 Q So your position was it wasn't Cutter; it was Armour,
right?

7 A No, no, no. I said there was a very extensive
investigation

8 done by the CDC and the Canadian authorities, and this

9 information was presented at an open meeting in Atlanta at the

10 CDC.

11 It was subsequently published in a Canadian journal,

12 and the conclusion of that study was what you just put into my

13 lips, but that is what it was, yes.

14 Q I don't want to put anything into your lips, Dr. Mozen.

15 Why don't you tell us what the conclusion of the study

16 was?

17 A The conclusion was that by going back and looking at

18 different lot numbers and investigating who got what and when

19 that the 68 degrees, 72 hour product was most likely not

20 implicated in the seroconversion.

21 Q And it was Armour's product that was?

22 A That was the conclusion, yes.

23 Q Most likely?

24 A Almost certain, yes.

25 MS. THOMAS: No further questions.

LAURA M. BRENNAN, Official Reporter

5

Mozen - recross

1 THE COURT: Any questions from the jurors?

2 (No response.)

3 THE COURT: All right, thank you, sir. You may stand
4 down.

5 (Witness excused.)

6 THE COURT: Who is next?

7 MS. GOURLEY: Armour calls Dr. Rodell.

8 MR. BARR: Excuse me, your Honor.

9 I am going to be able to rest in about three minutes

10 with the exception of some bookkeeping matters, but I would
11 like

12 to read to the jury at this point some admissions made by the
13 plaintiffs in this case.

14 THE COURT: All right.

15 MR. BARR: Could the Court perhaps explain to the jury
16 what --

17 THE COURT: What are they in?

18 MR. BARR: They are -- Cutter's second request for
19 admissions to plaintiff that are dated.

20 THE COURT: Okay, requests for admissions.

21 MR. BARR: Yes.

22 THE COURT: One of the pretrial procedures available
23 to

24 the parties, ladies and gentlemen, is a -- something we call
requests for admissions, and one party can ask the other to
admit that certain things are true, a certain proposition is

25 true. And being served with such a written request, a party

LAURA M. BRENNAN, Official Reporter

1 either admits the proposition or denies it.

2 And if it is denied, then the person who asserts it
has

3 to prove it. If it is admitted, then that stands admitted in
4 the case.

5 So Mr. Barr is going to read some requests for
6 admissions and the plaintiffs' response to those requests.

7 MR. BARR: There is no response.

8 THE COURT: There is no response.

9 MR. BARR: Correct.

10 THE COURT: Well, the law also provides that if a
11 certain period of time goes by and no response is filed, then
12 the request stands admitted.

13 So these requests have been admitted by operation of
14 the plaintiffs not answering, not responding. Okay.

15 MR. BARR: Thank you, your Honor.

16 Plaintiff possesses no information, documentary or
17 otherwise, that shows any shipment of any Cutter Factor VIII to
18 or for use by Stephen Poole prior to January 30th, 1985.

19 Plaintiff possesses no information, documentary or
20 otherwise, that indicates that prior to January 30th, 1985,
21 Stephen Poole used or received by infusion any Cutter product
22 for the purpose of controlling bleeding.

23 Plaintiff possesses no information, documentary or
24 otherwise, that indicates that Stephen Poole was ever infused

25 with Cutter Factor VIII product at Memorial Reese -- at Michael

LAURA M. BRENNAN, Official Reporter

7

Rodell - direct

1 Reese Hospital.

2 Plaintiff possesses no information, documentary or
3 otherwise, that indicates that Stephen Poole was ever infused
4 with Cutter Factor VIII Koate as opposed to Koate heat treated.

5 Plaintiff possesses no information, documentary or
6 otherwise, that indicates that Stephen Poole used Factor VIII
7 products manufactured by Cutter other than Koate heat treated.

8 Plaintiff possesses no information, documentary or
9 otherwise, which indicates that Koate heat treated transmits
10 HIV.

11 Thank you, your Honor. And with that -- with the
12 exception of some bookkeeping matters with relation to
exhibits,

13 the defendant Cutter rests.

14 THE COURT: All right.

15 MS. GOURLEY: Armour calls Dr. Michael Rodell.

16 (Witness sworn.)

17 MICHAEL B. RODELL, DEFENDANT ARMOUR'S WITNESS, DULY SWORN

18 DIRECT EXAMINATION

19 BY MS. GOURLEY:

20 Q Would you state your name, please, for the record, and
21 spell it for the court reporter?

22 A Michael B. Rodell, R-o-d-e-l-l.

23 Q What is your employment, Dr. Rodell?

24 A I am semiretired and a part-time consultant to the

25 pharmaceutical industry.

LAURA M. BRENNAN, Official Reporter

1 Q What is the nature of your self-employment?

2 A I provide regulatory and technical advice to several
3 clients, mostly involved in the biologics area.

4 Q Does your work relate to the interaction between your
5 clients and the FDA?

6 A In part, yes.

7 Q Okay. Let me show you what has been marked as Armour
8 Exhibit Number 43 and ask you if that is a reasonably current

9 copy of your CV?

10 A Yes, it is.

11 MS. GOURLEY: May I distribute it to the jury, your
12 Honor?

13 THE COURT: Yes.

14 BY MS. GOURLEY:

15 Q Would you describe briefly for the jury, Dr. Rodell, your
16 education?

17 A Yes, I have a bachelor's degree in pharmacy from the
18 University of Maryland and a master's and PhD in physical
19 pharmacy from the University of Texas.

20 Q You are not a medical doctor, is that correct?

21 A That is correct.

22 Q Would you describe for me, please, your work experience
23 after the receipt of your PhD?

24 A For six years I was a research associate and then a manager
25 of pharmaceutical and analytical research for Dorsey

LAURA M. BRENNAN, Official Reporter

Rodell - direct

1 Laboratories, a manufacturer of pharmaceutical products from
'66

2 to '72.

3 From '72 until 1983 I was manager of regulatory
4 affairs and ultimately vice president of regulatory affairs and
5 quality control for the Hyland Division of Travenol
6 Laboratories.

7 Q Is that also known as Baxter?

8 A It now is, yes. It is now called Baxter International or
9 Baxter Health Care Corporation.

10 Then from 1983 until the summer of 1990, initially
11 vice president regulatory and technical affairs and finally
vice
12 president scientific affairs for Armour Pharmaceutical Company.

13 Q And you left Armour in approximately 1990 and began your
14 consulting work that you do now, is that right?

15 A Yes, that is correct.

16 Q Now, in connection with your employment with Baxter, did
you
17 have any interaction with the FDA during that period of time?

18 A Yes, I did, from 1972 until 1983 in one capacity or
another.

19 Q So as of the time you left Baxter, you had been dealing
with
20 the FDA with respect to blood products for approximately eight
21 years, is that right?

22 A From '74 until '83, a little over eight years, yes, blood

23 products.

24 Q And in March of 1983 you went to Armour, is that right?

25 A I went to a division of Revlon Health Care that included

LAURA M. BRENNAN, Official Reporter

10

Rodell - direct

1 Armour Pharmaceutical Company as well as another entity, yes.

2 Q I would like to show you what has been marked as Armour's

3 Exhibit 46 and, your Honor, I believe this was previously
marked

4 and admitted as Defendants' Exhibit H, but I put an Armour

5 number on it now and punched holes in it. So I would like to

6 give it to the jury, if I could.

7 Let me give you a copy, too.

8 (Brief interruption.)

9 BY MS. GOURLEY:

10 Q Now, turning to the -- ignoring the first two pages of

11 Exhibit Number 46 and looking at the last pages, and I have put

12 a couple of enlarged versions of two of those pages up so that

13 the jury can see them, do you recognize the lot numbers which

14 are shown on those exhibits as being from any particular

15 manufacturer?

16 A Yes, the configuration of the lot numbers would indicate

17 that these were Factor VIII concentrates produced by Hyland.

18 Q Okay. And you were familiar with their configuration
during

19 the time you were employed by Hyland, is that right?

20 A Yes, that's correct.

21 Q Now, on one of these blood components charts, and I put --

22 let me put it up.

23 Rather than being in the configuration of the 2792 at

24 the beginning as all of the rest of them are, there is a number

25 that reads NDC0944059102.

LAURA M. BRENNAN, Official Reporter

11

Rodell - direct

1 Do you see that on page T 51?

2 A Yes, I do.

3 Q Do you know what that number is?

4 A Yes. NDC stands for National Drug Code, and it is a unique

5 identification of a product under an FDA mandated coding system

6 which identifies the manufacturer of a product, what the
product

7 is, and its package size.

8 Q Do you know from your experience working at Baxter and at

9 Armour to which company the FDA assigned the code 0944?

10 A Yes, I do. It had been assigned to Hyland.

11 Q Baxter?

12 A Yes.

13 Q Okay. Thank you.

14 A Right.

15 Q Now, when you came to Armour, could you describe briefly
for

16 the jury what your responsibilities were?

17 A When I came to Armour?

18 Q Yes.

19 A Yes.

20 Q In 1983?

21 A My responsibilities included being the responsible head for

22 Armour Pharmaceutical Company and its plasma collection

23 affiliate, Plasma Alliance; responsible head being the

24 individual who was to represent the company in any and all

25 negotiations and dealings with the Center for Biologics --

LAURA M. BRENNAN, Official Reporter

12

Rodell - direct

1 Center of Biologics Evaluation and Research, the Center of the
2 Food and Drug Administration.

3 I also had responsibility for the quality control
4 organization and also had responsibility for the human plasma
5 development organization.

6 Q The CBER that you just referred to, that used to be known
7 as the Office of Biologics, is that right?

8 A It had a number of names going back over the years, but
from

9 one point of time to the other, it was Bureau of Biologics,
10 Office of Biologics, Center for Drugs and Biologics, and
finally
11 the Center for Biologics Evaluation and Research, which is its
12 current designation.

13 But from '72 on it has been part of the Food and Drug
14 Administration.

15 Q They are the ones, however, in the FDA who are responsible
16 for biological products such as Factor VIII, is that right?

17 A That is correct, yes.

18 Q Did Armour principally obtain its plasma for use in making
19 Factor VIII concentrates from Plasma Alliance?

20 A Yes, it did.

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

Rodell - direct by Gourley

1 Q Where is Plasma Alliance headquartered?

2 A It's headquartered Knoxville, Tennessee.

3 Q Armour also has a processing plant where it
makes its

4 Factor VIII, is that right?

5 A Yes.

6 Q Where is that located?

7 A Located in Kankakee, Illinois.

8 Q Now, where does Plasma Alliance get the plasma
which it

9 then sends on to Armour to make into Factor VIII
among other

10 things?

11 A Plasma Alliance has a number of centers,
depending upon the

12 various points of time, something between 15 and 20
some odd

13 centers in the southeast and midwest portion of the
United

14 States that it operates and attains plasma from
from donors.

15 Q Now, in connection with this case, did I ask
you to review

16 Armour's sale records to determine what lots of
Factor VIII

17 concentrate were sold to Michael Reese during the
year 1984?

18 A Yes, you did.

19 Q And do you recall how many lots there were that
were sold

20 in 1984?

21 A I recall there being six.

22 Q Let me show you what I have marked as Armour
Exhibit 49,

23 and ask you if that reflects the lots and the date
of the

24 invoice for each of those lots sold to Michael
Reese in 1984?

25 A That's correct, yes.

1 MS. GOURLEY: May I distribute to the
jury, your

2 Honor?

3 THE COURT: Yes.

4 BY MS. GROULEY:

5 Q And also on Exhibit 49 it reflects the number
of units per

6 vial, does it not?

7 A That's correct.

8 Q And what is -- can you describe briefly what is
a unit?

9 A A unit of antihemophilic factor is defined as
being

10 equivalent to that amount of antihemophilic factor
that would

11 be present in a pool of at least ten normal
individuals. It's

12 an arbitrary value where the assumption is made
that ten normal

13 individuals per milliliter of plasma will yield one
activity

14 unit per milliliter.

15 Q And the number of units per vial is stamped on
every box

16 when it's sold, is that right?

17 A It's put on the label of each container and of
each carton,

18 yes.

19 Q In connection with this case did I also ask you
to review

20 the plasma packing lists for each of the lots
reflected on

21 Armour's sales records as being sold to Michael

Reese in 1984?

22 A Yes, you did.

23 MS. GOURLEY: Your Honor, these are
Exhibit No. 62.

24 It's a large exhibit here. Let me give you one
example.

25 BY MS. GOURLEY:

1 Q And that's a plasma packing list, that is
correct?

2 A Yes.

3 Q Can you tell the jury what the plasma packing
lists are and

4 what information you can learn from them?

5 A Yes, a plasma packing list and the summary
sheets would

6 indicate which center the plasma was attained at,
the

7 identification of each individual unit number and
donor number

8 that appears in the shipment, and the volume on a
per donation

9 basis of plasma that would be in each of the
individual

10 containers.

11 Q And those are records which Armour keeps in
connection with

12 the processing of each and every lot of Factor VIII

13 concentrate, is that right?

14 A Yes, it provides forward traceability and
backward

15 traceability so you can relate final container
material from

16 the plasma from which it was produced.

17 Q Let me show you, Dr. Rodell, what I have marked
as Armour

18 Exhibit No. 48. Now, in connection with this case,
I asked you

19 to go through all these plasma packing lists to
determine where

20 the plasma was collected for each and every lot
which Armour

21 sold to Michael Reese in 1984, is that right?

22 A Yes.

23 Q Is Exhibit No. 48 a list of where the lots --
where the

24 plasma for each of those lots was collected and the
dates of

25 collection as reflected on plasma packing lists?

Rodell - direct by Gourley

1 A Yes, that's correct.

2 MS. GOURLEY: Your Honor, may I
distribute to the

3 jury.

4 THE COURT: Yes.

5 BY MS. GOURLEY:

6 Q Where was the plasma collected for those lots?

7 A Three centers in Ohio that I can see right from
the top;

8 Dayton, Columbus, and Akron; Oklahoma City; West
Lafayette,

9 Indiana; Lexington, Kentucky; Minneapolis and St.
Paul

10 Minnesota; Omaha, Nebraska; Knoxville, Tennessee;
Indianapolis,

11 Chattanooga, Louisville; Nashville. I'm not sure
if I said

12 Lexington, Kentucky; Cleveland, Ohio.

13 I think that about sums it up.

14 Q Where we've put the dots on the map, right?

15 A Basically, yes.

16 Q Was any of the plasma for any of the lots which
were sold

17 to Michael Reese in 1984 collected in New York,
Miami,

18 Los Angeles, or San Francisco?

19 A No.

20 Q Did any of the plasma for any of the lots come
from

21 prisons?

22 A No.

23 Q Did Armour ever collect plasma in prisons?

24 A Not to my knowledge, no.

25 Q Now, the plasma packing list and this summary
which is

1 Exhibit 48 also tells us when this plasma was
collected, is

2 that right?

3 A That's correct.

4 Q Can you tell the jury what the earliest date of
any plasma

5 collection was for the lots which were sold to
Michael Reese in

6 1984?

7 A The earliest date that I see is September 7,
1983.

8 Q Okay. Then the plasma was collected for various
of these

9 lots up until sometime in April 1984, is that
correct?

10 A That's correct, yes.

11 Q Now, we've heard some suggestion in this trial
about plasma

12 being collected, one, one and a half, two years
before Factor

13 VIII is shipped. Was that true in this case?

14 A No, it's not.

15 Q Now, going back to the pre-AIDS era -- I should
say I'm

16 jumping around a little bit because your deposition
was read to

17 the jury in the plaintiff's case in chief, so I'm
trying to not

18 to cover those same areas. If it confuses you, let
me know,

19 okay?

20 But going back to the pre-AIDS area,
what, if any,

Plasma 21 tests were done with respect to donors of plasma at
 22 Alliance centers?
 23 A They would have been tested on every appearance
for certain
 24 qualifying parameters such as determination of
their total
 25 protein and determination of what is called micro
hematocrit or

1 hemoglobin equivalent, and that is a function of
the quantity

2 of red blood cells that are circulating. They
would also have

3 been tested on a periodic basis for syphilis
antibody.

4 They would have been tested on a periodic
basis

5 approximately every four months for circulating
certain serum

6 proteins that are circulating in the human body.
The plasma

7 would have been tested for the absence of hepatitis
B surface

8 antigen. I think that basically sums it up.

9 Q At some point were tests added to look for the
antibody to

10 HIV?

11 A Yes. In the spring of 1985, the Food & Drug
Administration

12 licensed -- granted product licenses to at least
two

13 manufacturers of test reagents that enabled blood
and plasma

14 centers to initiate testing for what was then
called anti-HTLV

15 III now known as anti-HIV.

16 Q I want to talk a little bit now about 1982 and
1983 when

17 people were talking about what might be done with
donors to

18 improve the possible safety levels of plasma
collection.

19 I want to show you first what's been
marked as Armour

the plasma 20 Exhibit No. 23, which is a Plasma Alliance memo to

21 collection centers dated December 8, 1982?

22 A Yes. All right.

familiarize 23 Q When you joined Armour in early 1983, did you

with respect 24 yourself with what Plasma Alliance had been doing

25 to donor screening?

being on 1 A Yes. Within, I think, my first two weeks of

centers to see 2 board, I made visit to some of Plasma Alliance

3 specifically what they were doing.

which were 4 Q Does that memo reflect some of the early steps

5 taken?

and then 6 A It reflects some of the steps that were taken

board, yes. 7 subsequently added to by the time I had come on

Plasma 8 Q What were the first steps that were taken by

9 Alliance?

explain to 10 A There was the start of an educational system to

for a 11 individuals what certain high-risk categories were

that AIDS was 12 potential transmission of AIDS if it were shown

identify these risk 13 transmissible by a blood-borne agent and to

members of 14 groups and to request individuals, if they were

from 15 these risk groups, to voluntarily defer themselves

16 donation.

questions posed 17 This was then augmented with actual

the attending 18 by processors and by increasing the contact that

start looking 19 physicians would have in donor qualification to

20 for some of the signs and symptoms of AIDS.

21 Q By direct questioning you meant asking people
face to face

22 "Are you a homosexual, a male homosexual?"

23 A Not in so many words. They would be asked,
"Are you a

24 member of any of the risk groups that have been
delineated?"

25 Q With respect to the risk groups, in addition
there were

collection 1 prominent signs placed in the Plasma Alliance

2 centers, is that right?

3 A That's correct.

4 Q Asking people to defer?

5 A That's correct.

last page of 6 Q Would you take a look at -- I believe it's the

Exhibit 23A. 7 Exhibit 23, which I have marked separately as

8 A Yes, I see it.

in December? 9 Q Is that one of the signs that was posted early

10 A That's correct, yes.

jury, your 11 MS. GOURLEY: May I distribute it to the

12 Honor?

13 THE COURT: Yes.

14 BY MS. GROULEY:

which has 15 Q Now, I want to show you, Dr. Rodell, a document

if you can 16 been marked as Armour Exhibit No. 331 and ask you

17 tell the jury what that document is.

18 A Yes. What you've given me is entitled,
"Summary Statement

And it was a 19 on Acquired Immune Deficiency Syndrome (AIDS)."

summarize at 20 document that I prepared that I wrote myself to

what was 21 this point in time, which was the mid-part of 1983,

22 known about AIDS and what was known about its
potential

23 involvement -- possible involvement with the
treatment of

24 hemophilia.

25 Q Does this document on the second page describe
the various

1 steps which have been taken by that time by Plasma
Alliance
2 under the heading "Plasma collection and
utilization by Armour
3 Pharmaceutical Company"?
4 A On the second page of text, yes.
5 Q On the second page of text.
6 A Yes, that's correct.
7 Q For who was this document prepared?
8 A It was prepared for use by the field sales
force and
9 marketing organization so that they could use it as
a
10 discussion piece when they called on physicians and
nurse
11 coordinators and their various accounts involved in
hemophilic
12 treatment.
13 MS. GOURLEY: May I distribute it to the
jury, your
14 Honor?
15 MR. RING: Do you have dates on these
two.
16 MS. GOURLEY: He testified that the sign
was on
17 December 8, 1982, and I believe he testified --
18 BY MS. GOURLEY:
19 Q Dr. Rodell, when was this Exhibit 31 prepared?
20 A Mid-part of 1983 because I think it refers in
there to
21 information available as of May of '83.
22 Q Now, Exhibit 31 refers to AIDS high incidence

areas in the

23 country.

24 Did Plasma Alliance collect any plasma in
those

25 areas?

1 A No, it did not.

2 Q Now, there's also been mention made in this
trial of the

3 number of times a donor may donate plasma.

4 Are you familiar with the federal
regulations in that

5 regard?

6 A Yes, I certainly am.

7 Q With respect with -- with respect to repeat
donors, did you

8 have an opinion whether you know more or less about
the health

9 of those donors?

10 A Well, the more times a donor appears, the
greater

11 opportunity you have to perform certain tests and
measurements

12 on that individual. The more time that individual
appears, you

13 have a longer track record with regard to that
individual's

14 state of health.

15 Q Turning now to the Federal Food & Drug
Administration and

16 the licensure of biological products, can you
briefly describe

17 the regulatory framework within which these
medicines are

18 produced?

19 A Yes. All biological products -- and they're
defined in the

20 Public Health Service Act in a rather lengthy
paragraph, but

21 suffice it to say that antihemophilic factor is a
licensable
22 biological product. They are regulated by the Food
& Drug
23 Administration.
24 In order for a manufacturer to be able to
distribute
25 a biological product in interstate commercial, the
manufacturer

an 1 must apply to the Food and Drug Administration for
to be 2 establishment license in which the product is going
3 manufacturer.

product 4 The manufacturer must also apply for a
the product 5 license which describes the conditions under which
be applied to 6 will be manufactured, the tests that are going to
demonstrate its 7 that product, and the clinical data that will
8 efficacy.

the 9 It's very analogous to what is used for
very 10 nonbiological portion of the pharmaceutical area --
the agency 11 analogous to a new drug application. We provide to
that it 12 evidence that the product is safe and effective and
a specific 13 meets its criteria with regard to the treatment of
14 disease condition.

15

16

17

18

19

20

21

22

23

24

25

11

Laura M. Brennan, Official Reporter

1 Rodell - direct

1 Q. So the FDA licenses not just the product but also the
2 facilities, the facility in which it is produced?

3 A. That's correct. And you cannot produce that product in a
4 non-licensed facility, yes.

5 Q. And it also licenses the plasma collection facilities, is
6 that right?

7 A. Yes. Source plasma, which is the source material for
8 plasma derivative products, is a licensable biological
product.

9 The plasma collector must apply for a product license and
10 establishment license listing all the facilities where plasma

11 would be collected. All the facilities, of course, are
subject

12 to annual FDA inspection.

13 Q. I was just going to ask you, does the FDA conduct
14 inspections every year?

15 A. They conduct inspections on an annual basis, and they will
16 conduct a pre-licensing inspection to determine whether or not

17 the facility is operating in an acceptable fashion so that
18 product and establishment licenses can be granted.

19 Q. Does the FDA give you any advance notice of their
20 inspections?

21 A. Only the pre-licensing inspection. But for the annual
22 licensing, the annual inspections post-licensing, they are
23 unannounced.

24 Q. All right. Now, once Factor VIII is produced, does the
FDA
25 have any continuing role before you are allowed to sell it?

LAURA M. BRENNAN, Official Reporter

1 A. Yes. As with most biological products, the regulations
2 require that you submit samples of each lot of antihemophilic
3 factor to the agency for its evaluation, testing, and so
4 forth.

4 And you cannot distribute any of the material from that lot
5 until you get a written notification of release approval from
6 the agency.

7 Q. Is it necessary to obtain approval from the FDA to change
8 the labeling?

9 A. Yes. The regulations require that manufacturing changes,
10 labeling changes, changes in facility all be submitted to the
11 agency for approval, and you cannot make those changes without
12 getting approval from the agency.

13 Q. Did Armour ever request the FDA to approve an amendment to
14 its labeling to add a warning about the possibility of AIDS
15 transmission?

16 A. Yes, we did.

17 Q. When was that done?

18 A. It was submitted in September of 1983.

19 Q. And when was it approved?

20 A. January or February of '84.

21 Q. And thereafter, Armour began using, including a warning
22 about AIDS, is that right?

23 A. Yes, that's correct.

24 Q. Okay.

25 A. The modified labeling was utilized.

LAURA M. BRENNAN, Official Reporter

1 Q. Just briefly now, with respect to the heat treatment of
2 Factor VIII concentrate, that's also something that has to be
3 licensed, is that right?

4 A. Yes. It would be a change in the manufacturing process.

5 Q. Do you recall when the first heat-treated Factor VIII
6 concentrate product was available in the United States?

7 A. Early part of 1983.

8 Q. And whose product was that?

9 A. It was Hyland's product, yes.

10 Q. And at that point in time then, any physician who wanted
to
11 prescribe heat-treated concentrate had one available, is that
12 right?

13 A. Yes, that's correct.

14 Q. At the time you arrived at Armour in March of 1983, did
15 Armour then have pending an application with the FDA to add a
16 heat treatment step?

17 A. Yes.

18 Q. Do you know, do you know when that was approved?

19 A. It was approved in January or February of 1984.

20 Q. Do you know when Armour had submitted that application?

21 A. I think it was in December of '85 -- December of '83.

22 Q. Could it have been in 1982?

23 A. No; I'm sorry. Excuse me. Yes, thank you. December of
24 '82.

25 Q. Now, before the FDA licenses a change in processing such
as

LAURA M. BRENNAN, Official Reporter

1 heat treatment or some other change, do they require any
2 demonstration that the process is safe and effective?

3 A. Yes. It is considered to be a major manufacturing change,
4 and it is necessary to submit to the agency adequate evidence
5 that you have not done something to the Factor VIII molecule
6 that will make it less safe for administration in humans or
7 that would decrease its potential efficacy in resolving a
8 bleeding episode.

9 So you have to go through a variety of preclinical
10 tests, including evaluation on several species of animal and
11 on
12 into human clinical evaluations to determine that its what is
13 called the biological half life, biological recovery of this
14 heat-treated material is consistent with what you would expect
15 from the material that already had been in the marketplace.

16 Q. Could you describe briefly what an IND is in connection
17 with the FDA?

18 A. Yes. An IND is the abbreviation parlance for an
19 investigational new drug application. And in an
20 investigational new drug application, you are requesting
21 permission from the Food and Drug Administration to make your

22 initial -- I'm looking for the right word -- to make your
a initial clinical trial in humans with either a new product or

23 product whose manufacturing process has been revised. So it
is
24 the request by the manufacturer to the agency to start to
25 evaluate this material to ascertain that it behaves in the

LAURA M. BRENNAN, Official Reporter

1 human body as one would, one would expect.

2 Q. And did Armour file an investigational new drug
application

3 for its heat treatment process?

4 A. Yes, it did.

5 Q. And was that filed before it filed its product license
6 amendment in December 1982?

7 A. Well, it would have to be, because the product license
8 amendment would have to include the results of these clinical

9 evaluations, and so the IND precedes the product license
10 application filing.

11 Q. Okay. Now, I believe you told us that Armour's heat
12 treatment step was approved in January or February 1984, is
13 that right?

14 A. That's correct, yes.

15 Q. And thereafter, it was available for prescription if a
16 physician decided to prescribe it, is that right?

17 A. That's right.

18 Q. I want to turn now to an area that the jury, I think, has
19 heard some about in your deposition, so I'll try not to cover
20 old ground. But can you describe briefly the concept of
21 surrogate testing as that term was used in connection with the
22 discussions of AIDS?

23 A. Well, a surrogate test is a test that does not analyze
24 directly for the substance or anilite in which you have

25 interest. It's a substitute. You would hope that a surrogate

LAURA M. BRENNAN, Official Reporter

1 test is analyzing for something that with a reasonable degree

2 of specificity and sensitivity would be present in a
particular

3 disease state for which you don't have an adequate method of

4 testing. I hope I haven't confused it.

5 Q. A surrogate test must be sensitive and specific, is that

6 right?

7 A. As close to specific for the disease entity that you would

8 be looking for, and it should be able to detect a low level of

9 the particular agent. That's called sensitivity.

10 Q. What is the Blood Products Advisory Committee, Dr. Rodell?

11 A. The Blood Products Advisory Committee is a committee that

12 advises the center, what is now known as the Center for

13 Biologics Evaluation and Research on an ongoing basis. It's
an

14 advisory committee that provides guidance, advice, and a means

15 of outside review to the center on ongoing issues.

16 Q. Were you given any role with respect to the issue of

17 whether or not hepatitis B core antibody would be a valuable

18 surrogate test for AIDS?

19 A. Yes. I was in 1983, the latter part of 1983 asked by the

20 chairman of the Blood Products Advisory Committee to serve as

21 the chair for an ad hoc committee specifically designed to
look

22 at this question.

23 Q. And who was on that committee?

24 A. It included representatives of the American National Red

25 Cross, Community Council -- do you want it by organization or

LAURA M. BRENNAN, Official Reporter

1 names of people?

2 Q. That's fine.

3 A. American National Red Cross, Community Council of Blood
4 Centers, American Association of Blood Banks, Food and Drug
5 Administration, and the fractionators who had product licenses
6 for antihemophilic factor.

7 Q. And what did that committee do?

8 A. The committee met for a full day and had the opportunity
to
9 discuss among itself pros and cons of the use of the anticore
10 test, the antihepatitis B core test as a potential surrogate
in
11 deterring individuals who might be at risk for AIDS. And as
I
12 say, it was a full day's discussion where anyone who had data
13 was requested to present it openly.

14 And at the end of the meeting, we did kind of a show
15 of hands as to who felt that it would be a benefit and who
felt
16 that it would not be a benefit. And the position was divided.

17 Q. Did the committee ultimately issue a report?

18 A. Yes. The committee issued a summary report, which I
19 prepared. And because there were majority and minority
20 opinions as to the value of such a test, there were majority
21 report -- there was a majority report as well as a minority
22 report.

23 Q. Let me show you what was previously marked as Plaintiff's
24 Exhibit 4.232 and ask you if that's a copy of the report? And
25 describe the letter, please, on top as well.

LAURA M. BRENNAN, Official Reporter

1 A. And describe what? I'm sorry.

2 Q. The letter that's attached to the report.

3 A. Well, the letter that's attached to the report is a cover

4 letter to Dr. John Petricciani, who at that time was the

5 director of the FDA's division of blood and blood products,

6 covering the transmission of my summary report of the meeting

7 and discussions, as well as a majority report opposing the

8 implementation of anticore testing, and a minority report

9 endorsing or in favor of, advocating the implementation of
10 anticore testing.

11 Q. So after your discussion, you transmitted to the FDA

12 basically both sides, right?

13 A. Yes.

14 Q. Okay. Now, there has been some discussion in this case,

15 Dr. Rodell, and I'm going to ask you straight out. Was this

16 report in any way, shape, or form intended to be a delaying

17 tactic?

18 A. Absolutely not.

19 Q. Now, we've also heard a great deal in this trial about a

20 company called Behringwerke. Are you familiar with

21 Behringwerke?

22 A. Yes, I am.

23

24

LAURA M. BRENNAN, Official Reporter

1

Rodell - direct

1 Q Did -- when did you first hear about Behringwerke?

2 A When I was still with Hyland we knew that Behringwerke was

3 manufacturing and distributing antihemophilic factor in Europe

4 back into the '70s.

5 Q Now, jumping up in time, did there come a time when

6 Behringwerke contacted you to assist them in attempting to

7 obtain a license from the FDA to distribute their Factor VIII

8 concentrate in the United States?

9 A Yes, that is correct.

10 Q When did they contact you?

11 A It was part of the discussions between Armour and

12 Behringwerke as to Armour acting as a sales and marketing agent

13 for Behringwerke when and if they got a license for their

14 antihemophilic factor in this country.

15 Their license had been filed, I believe, in 1983, and

16 by the time of these discussions, which was 1985, the license

17 had not yet been approved.

18 So Behringwerke asked if I would act as their

19 representative to the FDA, being more local on this side of the

20 water, to see whether or not the license could be obtained for

21 them. And Behringwerke then wrote a letter to FDA nominating
me

22 as their representative.

23 Q And what did you do to assist Behringwerke in obtaining a

24 license?

25 A I visited staff at Food and Drug Administration to ascertain

LAURA M. BRENNAN, Official Reporter

1 what the status of the license request was, and what, if
2 anything, the FDA needed in order to further the review and
3 eventually grant the license request.

4 Q Do you recall whether there were any open issues that had
5 prevented licensure up until that point in time?

6 A There were at least two that I can recall. One issue, the
7 FDA was not terribly comfortable at that point with the way in
8 which the Behringwerke material dissolved when water for
9 injection was added to it. It took an inordinately long time
10 to dissolve and it didn't appear to completely dissolve until the
11 agency had requested that additional samples of material be
12 submitted by Behringwerke to support their license and that
13 those samples reflect whatever was necessary in the
14 manufacturing process to be able to put the material back into
15 solution, so that it could be use efficaciously.

16 The other issue, of course, was the ongoing issue as
17 to exactly what could be said with regard to the material's
18 capability to prevent the transmission of hepatitis.

19 Q So there were some labeling issues that had to be resolved?

20 A Yes, that is correct.

21 Q Now, was Behringwerke ultimately licensed in the United
22 States?

23 A Yes, in the spring of 1986, I believe.

24 Q Let me show you what has previously been marked as
25 Plaintiffs' Exhibit 6.62 and ask you if that is the final FDA

LAURA M. BRENNAN, Official Reporter

3

Rodell - direct

1 approval?

2 A Yes. It is dated May 1st and it is a letter to the
3 responsible head of Behringwerke indicating that the license --
4 the product license has been granted.

5 MS. GOURLEY: May I distribute to the jury, your
Honor?

6 THE COURT: Yes.

7 (Brief interruption.)

8 BY MS. GOURLEY:

9 Q Now, after May of 1986 when Behringwerke was finally
10 licensed in the United States, Armour began distributing the
11 Behringwerke product, is that right?

12 A That is correct.

13 Q And so after that date the Behringwerke Haemate-P was
14 available from Armour as another option for hemophilia treaters
15 to use for their patients in the United States, is that right?

16 A That is correct, yes.

17 MS. GOURLEY: I have no further questions.

18 MR. RING: Your Honor, --

19 THE COURT: Why don't we take a short recess.

20 THE WITNESS: Thank you, sir.

21 (Brief recess.)

22

23

24

25

LAURA M. BRENNAN, Official Reporter

Rodell - cross by Ring

1 THE COURT: Go ahead, Mr. Ring.

2 MR. RING:

3 BY MR. RING:

4 Q Dr. Rodell, a special note was made about the
delay

5 tactics, do you remember that?

6 A Yes, I remember the question.

7 Q Is that something you're sensitive to?

8 A It's not something that I'm sensitive to, it's
something

9 that I'm aware of that appears to be an issue with
certain

10 individuals based on what someone has written.

11 Q Well, you did have a meeting on September 15
and 16, did

12 you not, of '83 of the -- was it the Blood Advisory
Committee?

13 A Blood Products Advisory Committee.

14 Q Do you remember that meeting?

15 A Yes, I do.

16 Q At that time you were appointed as the head of
a task

17 force, is that right?

18 A Task force, ad hoc study group, whatever.

19 Q Yes.

20 A Yes. Yes, sir.

21 Q To study the use of the hepatitis B antibody
test -- core

22 antibody test?

23 A Core antibody at this test, yes, sir.

24 Q And you were the chair of that study committee?

25 A Yes, I was.

1 Q Have you had any legislative experience?

2 A Have I had any legislative experience?

3 Q Just a matter of curiosity, have you ever been
a lobbyist

4 walked around -- worked around government?

5 A Other than my dealing with the Food & Drug
Administration

6 as a responsible head for some pharmaceutical
companies, no.

7 Q Now, the hepatitis B core antibody test, was
something that

8 Mr. Donahue who was head of -- was he head of
biologics at that

9 time?

10 A It was Dr. Donahue, and at that time he was the
director of

11 division of blood and blood products.

12 Q Which was?

13 A One of the divisions of the Office of
Biologics.

14 Q And he was very much in favor of the use of
that test, was

15 he not, by the fractionators?

16 A He was a proponent of it, yes.

17 Q And that would have shown a correlation between
people with

18 HIV who tested for HIV, right, and hepatitis B?

19 A I don't know that Dr. Donahue said that. I
believe that

20 Dr. Donahue said it could be a potential
correlation between

21 life-style and individuals who might be in
high-risk groups

22 perceived to be at risk for AIDS.

23 Q To a point of 80 or 90 percent?

24 A There was variable figure between 60 and 90
percent, yes,

25 sir.

1 Q And some people were against that?

2 A For a number of reasons, yes. There were some
people who

3 were opposed to that particular test on a surrogate
basis, yes.

4 Q Including you?

5 A I personally, yes.

6 Q And Miss Carr from Alpha?

7 A Was not a proponent of it, that's correct.

8 Q And the representatives -- they're talking
about the

9 regulatory rep. You were a regulatory person,
right?

10 A Yes, but I do have scientific training.

11 Q I'm not questioning that. I just wanted to
know job you

12 held.

13 A I was vice president of regulatory and
technical affairs.

14 Q And were the other two manufacturers here
opposed to the

15 test?

16 A No.

17 Q Mr. Hink was not?

18 A No, he was not.

19 Q And then what about Baxter?

20 A Mr. Srigley who represented Baxter was not
opposed to the

21 test.

22 Q But in any event a committee was appointed?

23 A That's correct. Of course, the committee had

24 representation in addition to the people you just
named.

25 Q And the night before that meeting, the open
meeting, you

1 had a private meeting, did you not?

2 A You're getting some times --

3 Q I'm getting into --

4 A You're getting some times interchanged. The
meeting that

5 we had of the committee was in, I believe, in March
of 1984,

6 and I don't think we had a meeting the night before
that.

7 Q Well, you had in any event -- in any event you
were

8 appointed to report back in 90 days, right?

9 A I'm sorry, sir?

10 Q Your committee was to report back in 90 days?

11 A That was a time frame that we had hoped we
could provide a

12 report, yes. The committee did not meet until
March of 1984,

13 the ad hoc committee.

14 Q So you were appointed --

15 A In December of 1983.

16 Q December 16?

17 A Yes, sir.

18 Q For 90 days, and then you were to report back
in March, is

19 that right?

20 A No. No, sir. We were to form a committee and
hopefully be

21 able to report back in a 90-day period.
Unfortunately, we

22 could not get the committee together for a
satisfactory meeting

23 date until sometime in March of 1984.

24 Q Now, you've seen this document I'm sure Mrs.
Gourley has

25 shown to you.

Ring? 1 MR. BERKMAN: What exhibit number, Mr.

2 MR. RING: 4126 again.

objection on 3 MR. BERKMAN: I would like to renew my

this 4 hearsay, irrelevant, inappropriate admissibility of

5 document.

6 THE COURT: Overruled.

before. 7 THE WITNESS: Yes, I have seen this

8 BY MR. RING:

read the 9 Q Will you flip over to the top of that page and

10 first paragraph.

by all the 11 A "The proposal was one that had been agreed upon

12 fractionators the previous evening. The general

thrust of the 13 task force" --

14 Q Read it so they can get it.

me. 15 A I think I'm reading it so that people can hear

16 THE COURT: Go ahead.

had been 17 THE WITNESS: "This proposal was one that

evening. The 18 agreed upon by all the fractionators the previous

19 general thrust of the task force is to provide a

delaying 20 tactic for the implementation of further testing."

21 BY MR. RING:

22 Q You were at that meeting?

23 A I was at that meeting, but I will not agree
with any

24 statement that says that our discussion was
designed to provide

25 any sort of delaying tactic.

1 Q That's what it says, doesn't it?

2 A I can't help what it says, sir, but I can only
tell you as
3 having been there that was not the intent, nor the
purpose.

4 Q Well, the reason I ask you about any experience
in
5 legislation or anywhere near some legislative body
is a study

6 committee is a way to kill something, isn't it?

7 A I'm sorry. I don't think I'm qualified to
comment. I have

8 no legislative --

9 Q I've done it for years.

10 A You're asking me a question, and I cannot
answer your

11 question, sir.

12 Q A study committee studies and studies and
studies. You were

13 to report back in March, and by March you hadn't
gotten the

14 committee even put together, is that right?

15 MS. GOURLEY: Object to form. He's
arguing with the

16 witness.

17 THE COURT: Overruled.

18 THE WITNESS: The intent was not is to
study and

19 study and study. The intent was to look at an
issue. And

20 unfortunately because of the individuals who were
the

21 participants in this committee, it was not possible

to attain

22 their time commitment until March of 1984.

23 The FDA itself was indecisive as to whom
it wanted as

24 its representatives until sometime in late February
of 1984.

25 BY MR. RING:

the fact is 1 Q Whatever the position of the people of the FDA,

it was in 2 it that in the 90 days it was again put over, and

right? 3 July of '84 that you came back with a report,

report to 4 A Yes, that's correct, but I had sent an interim

5 Dr. Petricciani, I believe, before that.

opposed to the 6 Q And the report that you came back with was

7 testing?

8 A No, sir, it was --

9 Q A majority of the report was?

testing; a 10 A The majority of the report was opposed to

presented it as 11 minority of the report was for testing. And I

12 it occurred.

it would 13 Q Now, the arguments against testing was not that

14 make the product safer, was it?

15 A The argument against testing?

16 Q Yes.

arguments was 17 A The argument against testing -- one of the

make the 18 that it would make product less safe and it would

19 product less efficacious.

eliminate a 20 Q Well, sir, the real problem was that it would

21 lot of donors, wouldn't it?

22 A It would eliminate a lot of what?

23 Q Donors?

24 A That was one of the fallouts of it, yes. It
would

25 eliminate donors. It would eliminate some plasma,
but it would

products 1 make some products -- it would adversely affect two
2 that came out of the plasma pool.

first. The 3 Q But one of them -- and I want to dwell on this

estimates 4 report that you filed on Page 2 says "various

homosexual 5 indicate that 60 to 80 percent of the male

6 population is positive for anti-HBC?

7 A That's correct.

8 Q That's what we talked about earlier, right?

9 A That's correct.

"Based 10 Q And then Item C below is cost factors involved.

with a 11 upon the performance of 150,000 tests per year,

the cost of 12 frequency rate of 4-month intervals for each donor,

anticipated to be 13 testing including reagents and personnel is

14 \$350,000 dollars annually"?

yes. 15 A That was one of the points of consideration,

16

17

18

19

20

21

22

23

24

25

8

Laura M. Brennan, Official Reporter

as 1 Q. That was a point of consideration in something

2 serious as AIDS?

you are 3 A. There are other factors that you are not -- that

4 not reviewing?

5 Q. We will get to that.

There are 6 A. That was one of the points of consideration.

7 pros and there are cons.

of at 8 Q. "A conservative estimate of plasma volume loss

affecting 9 least 15 percent is likely, thereby dramatically

and 10 collection center operations, overhead, absorption

throughput 11 recruiting efforts. The impact of a 15 percent

for the 12 loss approaches \$2 million a year." That would be

13 entire industry, right?

the 14 A. That's a projected figure, yes. That was one of

15 considerations.

taking 16 Q. The argument you are trying to tell us is that

pool, the 17 the antihepatitis B antibodies out would affect the

18 plasma pool?

that are 19 A. Yes, because when you remove units of plasma

units 20 positive for core, you remove a large proportion of

21 that coincidentally are positive for surface
antibody, as

22 well.

23 Q. Has that ever been proven?

24 A. I think it has.

25 Q. Do you know if it has?

LAURA M. BRENNAN, Official Reporter

1 A. Well, I have been told that it has, yes.

2 Q. Well, this is ten years later. By now wouldn't
we know?

3 A. It's not been done for plasma pools on a wide
scale

4 basis. It has been done on a trial basis by one
company, and

5 it was shown that the units of plasma that were
excluded led

6 to certain consequences.

7 Q. The one that did it was Cutter, right?

8 A. That's my understanding, yes.

9 Q. It did it because it went into a heat treatment
process?

10 A. No, sir.

11 Q. Isn't that the fact?

12 A. No, that's not the fact as I have been told by
13 responsible people at Cutter.

14 Q. Well, all right.

15 Then you were the head of the task force.
You

16 reported to Mr. or Dr. Petricciani; is that right?

17 A. Yes, sir, that's correct.

18 Q. People of the caliber of Donahue, Dr. Donahue,
was

19 against that position?

20 A. Against which position, sir?

21 Q. Against not doing the core antibody test.

22 A. But his colleague was not in favor of the core
test.

23 His colleague was Dr. Robert Gerety who's a pretty

well

24 renowned hepatitis researcher and hepatologist.

25 Q. He was for the test?

LAURA M. BRENNAN, Official Reporter

1 A. No, sir, he was not.

2 Q. I didn't think so. Neither was Dr. Donahue,
although he

3 went along with the report. Isn't that what the
report said?

4 A. No, no. When we polled the group Dr. Donahue
was in

5 favor of the test.

6 Q. Now, sir, you yourself didn't think that this
small

7 amount of money was a deciding factor, did you?

8 A. I didn't think that the money was a decisive
factor. I

9 thought that there were other issues that were more
decisive

10 than that.

11 Q. What about taking the hepatitis B antibodies out
of the

12 --

13 A. The surface antibody, yes, sir.

14 Q. Yet as you sit here today, you came to testify
knowing

15 that this was going to be an issue because Ms.
Gourley

16 brought it right out on the table. You didn't check
to see

17 what evidence there is to support that position?

18 A. There is evidence to support that position.
There is

19 evidence that has been told to me by responsible
people at

20 Cutter who manufactured several lots of product --

21 Q. Just tell me, sir --

22 A. -- from pools of plasma who had been screened,
and the
23 anticore units were excluded. Of those lots that
were
24 produced from that pool, at least one --
25 Q. Sir, tell me --

LAURA M. BRENNAN, Official Reporter

1 MR. BERKMAN: Let him finish, please.

2 MR. RING: It's just hearsay. I don't have
to have
3 him keep on talking about conversation with Cutter.

4 THE COURT: You didn't testify to
literature.

5 MR. RING: Well, I am now.

6 THE COURT: All right.

7 BY MR. RING:

8 Q. Is there any literature, anything written,
that's
9 affixed that supports that position?

10 A. Not that I know of, sir. There may well be.

11 Q. You were -- as the regulator for your company
you have
12 frequent meetings with the people at the FDA in the
biologics
13 that you were involved with?

14 A. It depends on how you define "frequent." But
there were

15 periodic repetitive meetings with a number of
products that

16 my company would manufacture. There would be reasons
to
17 visit with FDA people, yes.

18 Q. Sometime in 1986 you took on the Hemate, I guess
it is,
19 the product of Behringwerke?

20 A. Yes, there was an agreement whereby Armour would
act as

21 the distributor of that product when it was licensed
in the

22 United States.

23 Q. You then took on the burden of getting it
licensed; is

24 that right?

25 A. I don't know that I would call it a burden of
getting it

LAURA M. BRENNAN, Official Reporter

1 licensed. I became their agent to talk to the FDA on
behalf
2 of Behringwerke to see what needed to be done to move
the
3 license review along and to get approval.

4 Q. When you started to talk to Behringwerke was
that one of
5 the quid pro quos, you would get that product
licensed and
6 then be their agent?

7 A. I don't know that I follow you.

8 Q. Okay.

9 A. The agreement to -- as far as I can recall, the
10 agreement for Armour to act as the distributor when
the
11 product was licensed preceded my becoming involved as
the
12 agent for Behringwerke. I am not sure if I have
answered

13 your question because I don't know whether I
understood it.

14 Q. Maybe you don't know. You didn't handle the
15 negotiations of the transaction?

16 A. No, I did not.

17 Q. All right. So, you were then told, Dr. Rodell,
"See
18 what you can do about getting Behringwerke licensed,"
right?

19 A. You are implying that I have some influence with
FDA.

20 Q. I think you are being a little bit too ahead of
me. Am

21 I reaching you somewhere that you are worried about

--

22 A. I have answered what capacity I served
Behringwerke in.

23 I have answered that, I think, three times.

24 Q. Well, sir, since you are anticipating my thought
process

25 --

LAURA M. BRENNAN, Official Reporter

1 A. Oh, okay.

2 Q. -- and you are right, okay, Behringwerke filed
for a

3 license when?

4 A. 1983.

5 Q. 1983. They were dealing with the same people
like

6 Dr. Aronson?

7 A. Yes.

8 Q. And that's who you dealt with?

9 A. I dealt with Dr. Aronson and one or two members
of his

10 laboratory group, yes.

11 Q. There wasn't anything done in Germany with the
for

12 Behringwerke product from the time Behringwerke filed

13 license and the time you got it, right?

14 A. I don't know that.

15 Q. Well, you said there was a couple of problems.
One was

16 not comfortably -- the FDA was not comfortable with
the

17 product and additional samples had to be seen?

18 MS. GOURLEY: Objection. Misstates his
testimony.

19 MR. RING: If it isn't, he will tell us.

20 BY MR. RING:

21 Q. Did it need some additional samples?

22 A. It needed additional samples because the samples
that

23 had initially been submitted did not dissolve -- as

I recall,

24 did not dissolve in the fashion that the people in
the FDA

25 laboratory liked.

LAURA M. BRENNAN, Official Reporter

1 Q. So, addition samples came?

2 A. Yes.

3 Q. Now, of course it was there, what, three years?
Do you

4 know if that would make a change in the sample?

5 A. I am sorry.

6 Q. Do you know whether sitting there for three
years could

7 make some impact on the sample?

8 A. I am not at all sure that the samples sat there
for

9 three years.

10 Q. There was some labeling issues, right?

11 A. That's correct.

12 Q. You took care of that, too?

13 A. We revised the labeling in conformance with what
FDA was

14 requesting, yes.

15 Q. Now, Behringwerke is owned by, is it Hurst? Is
that it?

16 A. Hurst. I believe it's called Hurst, yes.

17 Q. A giant pharmaceutical company?

18 A. They are rather large, yes.

19 Q. Right here in this country?

20 A. It's German.

21 Q. Well, of course it's German. They operate in
this

22 country?

23 A. They do have a facility in the United States,
yes.

24 Q. They have sales reps for that company?

25 A. They have sales reps for that company, but their
sales

LAURA M. BRENNAN, Official Reporter

They are 1 representatives do not sell biological products.

2 more in the traditional pharmaceutical area.

right? 3 Q. But at any rate, you got it licensed in '86,

requirements 4 A. I don't know that I got it licensed. The

5 of the agent --

6 Q. Don't be too modest. After all --

7 A. You said "you." You are referring to me.

person 8 Q. Weren't you the one for Armour that was the

9 dealing with the FDA to get it through?

10 A. Behringwerke agreed to the labeling changes.

and 11 Behringwerke supplied new samples as requested by FDA

12 everything met FDA requirements.

13 Q. That's all there is to it?

14 A. That's correct.

said that 15 Q. Now, sir, with respect to your own product you

16 you had a heat treated product, Armour?

17 A. Yes, that's correct, sir.

was 18 Q. Before that I take it you had a concentrate that

19 nonheat treated?

20 A. That's right.

nonheat 21 Q. Dr. Rodell, the application to the FDA for the

22 treated Factor VIII was filed when?

23 A. For the nonheat treated it would have been

sometime in

24 the early '70s would be my guess. I honestly don't
know the

25 date.

LAURA M. BRENNAN, Official Reporter

1 Q. What about the heat treated?

2 A. Late part of 1982.

3 Q. Late '82?

4 A. Yes.

5 Q. Well, when did you start working on it?

6 A. I didn't join Armour until 1983, and by that
time the
7 application had been filed.

8 Q. Well, certainly being in the regulatory end of
it you
9 had to learn about your product.

10 A. Well, you asked me when did I start working on
it. Are
11 you referring to the company or are you referring to
me?

12 Q. I know. I just haven't been clear enough.

13 A. Yes.

14 Q. So, when I say "you" you think I mean you?

15 A. Yes, sir.

16 Q. Not Armour.

17 A. Yes, please.

18 Q. If I mean you, I will say "Dr. Rodell."

19 A. Thank you.

20 Q. And if I say "you" otherwise, it's Armour, okay?

21 A. Okay.

22 Q. Now we got it straight?

23 A. Yes, sir.

24 Q. Now, tell me, sir, Armour then started working
on this

25 before '82, didn't it?

LAURA M. BRENNAN, Official Reporter

of the 1 A. Yes, as far as I know, I believe looking at some
perhaps 2 original lab reports and so forth, sometime in about
3 1979.

it? 4 Q. That was before the HIV or AIDS virus, wasn't

5 A. Yes, sir.

B? 6 Q. So, then it was heat treating to kill hepatitis

hepatitis B 7 A. Hepatitis viruses, that was the intent, yes,
8 or non-A, non-B.

9 Q. Or non-A, non-B?

10 A. Yes, sir.

kill 11 Q. So, in '79 it knew that heat treatment should
12 hepatitis B virus and the non-A, non-B virus?

13 A. I would disagree that it knew in '79.

is that 14 Q. Okay. So, they didn't know that it could do it;
15 right?

demonstrate 16 A. There was -- it was the intent to be able to
17 that it would be possible to do it, yes.

18 Q. Even though you didn't know it could do it?

19 A. That's right.

killed by 20 Q. That's because you knew that viruses could be
21 heat, right?

a heat 22 A. Well, we knew from other products that underwent

that 23 treatment, such as albumin or plasma protein fraction
involved in 24 underwent a specified heat treatment and were not
affect on 25 the transmission of hepatitis, that heat had an

LAURA M. BRENNAN, Official Reporter

11

1 hepatitis viruses.

these 2 Q. And so, even though you didn't know whether
3 viruses would be killed by heat --

4 A. For this product.

5 Q. -- for this product, you undertook to do it?

6 A. Armour undertook to do it, yes.

to be 7 Q. I thought we have agreed if it's you, it's going

8 Rodell; and if it's you otherwise, it's Armour?

9 A. Yes, sir, okay.

10 Q. All right.

of the 11 So, this was not anything that was outside
12 knowledge of a great company like Armour, right?

13 A. As far as I know, it was not outside the
knowledge.

document 14 MR. RING: I just want to identify one
15 with him, your Honor.

16 BY MR. RING:

17 Q. From the time that you were with Baxter, do you
18 recognize that as something that is a Baxter
document?

it is, 19 MR. BERKMAN: Can you tell me what number
20 Mr. Ring?

21 MR. RING: 3264.

document, 22 MR. BERKMAN: Can you wait until I get my
23 please?

24
you.

MR. RING: Come up and I will give it to

25
that you

MR. BERKMAN: Your Honor, this is the memo

LAURA M. BRENNAN, Official Reporter

1 ruled was inadmissible. It's after this gentleman
left the
2 company, and I think they are trying to introduce
what you
3 have already told them --

4 MR. RING: See, you listen but you don't
hear.

5 Your Honor, I said I was just identifying
it. I am
6 not going to introduce it with this gentleman.

7 THE COURT: All right.

8 BY THE WITNESS:

9 A. It has the Highland Therapeutics Division logo,
yes.

10 BY MR. RING:

11 Q. Does that, then, to you indicate that it is a
Highland
12 document?

13 A. It would to me if it were as it's written here,
yes.

14

15

16

17

18

19

20

21

22

23

24

25

LAURA M. BRENNAN, Official Reporter

1 Rodell - cross by Barr

1 MR. RING: That's all for right now, Your Honor.

2 THE COURT: Anything else?

3 MS. GOURLEY: I have nothing.

4 MR. BARR: Your Honor, may I just ask?

5 CROSS-EXAMINATION

6 BY MR. BARR:

7 Q. Dr. Rodell, when you testified earlier, and I'm not going

8 to go through it all, on the issues of the FDA inspecting the

9 plasma centers, looking at the samples of each --

10 THE COURT: Well, let's just confine yourself then to

11 what you are going to do. We don't need a review of his

12 testimony.

13 MR. BARR: I understand. I just want to find out if

14 they applied to my client. I am going to go through the five

15 things and ask him do they apply to my client.

16 THE COURT: Well, all right.

17 MR. RING: Well --

18 THE COURT: You were starting to tell him what you

19 weren't going to ask him. That's what I understood you to be

20 saying.

21 MR. BARR: No, I'm not going to go through all of it.

22 THE COURT: All right. Just go through what you are

23 going to go through is my point.

24 MR. BARR: I am going to go through what I am going
to
25 go through, Your Honor.

LAURA M. BRENNAN, Official Reporter

2 Rodell - cross by Berkman

1 THE COURT: All right.

2 MR. BARR: Thank you.

3 BY MR. BARR:

4 Q. The testimony concerning the testing of -- the inspection

5 of plasma centers, the testing of samples, the labeling, the

6 examination of facilities, the FDA's review of manufacturing

7 processes to your knowledge as the head of regulatory affairs

8 would apply to all of the companies making these Factor VIII

9 products, is that correct?

10 A. It applies to all licensed biological manufacturers.

11 MR. BARR: Thank you. I have nothing further.

12 MR. BERKMAN: Your Honor, I just have a few
questions.

13 CROSS-EXAMINATION

14 BY MR. BERKMAN:

15 Q. Dr. Rodell, I think Mr. Ring interrupted you when you were

16 saying that the company that used anticore had a higher titer

17 of hepatitis as a result of that?

18 A. Well, not quite. I was told by representatives of that

19 company that the several lots of material that were prepared

20 from pools from which anticore positive units were excluded,

21 that of those lots of antihemophilic factor, one turned out to

22 be positive for hepatitis B surface antigen, an extremely
23 unusual event. And there are lots of immune globulin that
came
24 out of those plasma pools were markedly lower in titer for
25 hepatitis B surface antibody.

LAURA M. BRENNAN, Official Reporter

3

Rodell - cross by Berkman

1 Q. Okay. Now, Mr. Ring asked you if you had read anywhere
2 that there is a correlation between hepatitis core antibody
and
3 hepatitis surface antigen -- I mean surface antibody. And are
4 you familiar with the FDA recommendations concerning anticore
5 published in September of 1991?

6 A. Yes, I am.

7 Q. Okay. And you're familiar with the statement that says,
8 "Source plasma. The FDA does not currently recommend that
9 source plasma donors be tested for antihepatitis B core. If
10 antihepatitis B core reactive units were excluded from pools
11 used for the manufacture of plasma derivatives, titers of
12 antihepatitis surface antibody in those pools would be
expected
13 to diminish as both these antibodies usually occur together in
14 plasma. The presence of antihepatitis B surface antibodies is
15 believed to contribute to the safety of certain plasma
products

16 such as immunoglobulins." Is that consistent?

17 A. Yes, that's consistent, and that's what I tried to answer
18 before.

19 Q. Now, finally, in the report that you sent to Dr.
20 Petricciani, Mr. Ring read to you some sections, but he didn't
21 read all of them, did he?

22 A. No, he did not.

23 Q. Do you remember one of the arguments against testing to
be,

24 quote, "Removal of donors found positive for antihepatitis B

25 core from plasma programs will decrease the amount of

LAURA M. BRENNAN, Official Reporter

1 antihepatitis B surface antibody in plasma pools thereby
2 increasing risk for transmission of hepatitis B to even higher
3 levels"?

4 A. That's correct.

5 Q. And that's the risk you were concerned about?

6 A. That's absolutely correct.

7 Q. Finally, when you submitted this report, you added an
8 addendum, do you recall that?

9 A. Yes, I do.

10 Q. Did you write that addendum?

11 A. Yes, I did.

12 Q. It says, quote, "Subsequent to the preparation of this
13 report, announcements were made by the Secretary of the
14 Department of Health and Human Services regarding the findings
15 of investigators at the National Cancer Institute, National
16 Institutes of Health.

17 "Information developed by these researchers links the
18 incidence of AIDS to the presence of HTLV-III virus in a
19 significant number of instances and indicates that in vitro
20 tests for the determination of the presence of antibody to
21 this agent will become available within the near future.

22 "Based upon this information, some proponents of the
23 anti-HB core testing who contributed to the preparation of the
24 minority position paper no longer believe that it is

25 appropriate for organizations not currently testing donor
blood

LAURA M. BRENNAN, Official Reporter

1 or plasma for this antibody to implement such testing.
Rather,

2 they should initiate appropriate steps to utilize tests for
the
3 presence of antibody to HTLV-III as soon as they are
4 available."

5 Is that consistent with what people -- what you
6 reported to the Blood Product Advisory Committee?

7 A. I reported that to Dr. Petricciani of the FDA, yes.

8 Q. And shortly thereafter, did a test get licensed for HIV?

9 A. In the spring of 1985, yes.

10 Q. And it implemented the FDA suggestion as soon as possible?

11 A. Within weeks.

12 MR. BERKMAN: Thank you very much.

13 RECROSS-EXAMINATION

14 BY MR. RING:

15 Q. Doctor, that's a 1985 document that he just read from, is
16 that right?

17 A. No, sir.

18 MR. RING: When was that?

19 MR. BERKMAN: It was your exhibit. It's P-4.234. It
20 is dated July 16, 1984.

21 MR. RING: '84.

22 BY MR. RING:

23 Q. Doctor, there was no test for HIV at that time, was there?

24 A. That's correct.

25 Q. And, also, you shouldn't have been making immunoglobulin.

LAURA M. BRENNAN, Official Reporter

1 Isn't that what you were using the high titers for?

2 A. Should not have been making immunoglobulin?

3 Q. From the Factor VIII.

4 A. You don't make immunoglobulin from Factor VIII.

5 Q. Well, you use it, you separate the fibrinogen, and you get

6 all the high titers together and use that for immunoglobulin,

7 do you not?

8 A. No, sir. That's not correct at all. That is nowhere even

9 near the manufacturing process.

10 Q. At any rate, that was the recommendation. Give me, give
me

11 the regulation that says you cannot do the core antibody test

12 or surface --

13 MR. BERKMAN: I just read it, Mr. Ring.

14 MR. RING: He said it was a recommendation.

15 BY THE WITNESS:

16 A. Sir, there is no ruling that said, there is no regulation

17 that says you cannot do it. There is a regulation that says
if

18 you have a change in a manufacturing process, that you will

19 report it to the agency and must get its approval.

20 BY MR. RING:

21 Q. But there is no specific regulation that prohibits any
22 testing of the plasma?

23 A. There is a regulation that says that if you implement the

24 test, you will report it to the agency in your standard
25 operational procedures manual, and you will not implement it

LAURA M. BRENNAN, Official Reporter

7 Rodell - recross by Ring

1 until you get approval.

2 Q. But that's what you're relying on, is the general wording
3 of manufacturing?

4 A. When you test a product, testing is defined as part of
5 manufacturing, yes. It is defined as such in the regulations
6 and the law.

7 Q. Defined in the regulations?

8 A. Yes, it is.

9 Q. Have you got it?

10 A. Sir, I don't, I don't carry the regulations with me. But
11 manufacture by definition includes testing.

12 Q. That's your definition?

13 A. No, sir, it is not my definition. It is the definition of
14 the --

15 Q. The testimony here has been that there is no such specific
16 regulation.

17 A. You asked me if there were specific regulation requiring
18 core testing. I am telling you that there is a specific
19 regulation that defines manufacturing to include product
20 testing. I am also telling you that there is a specific
21 regulation in the Code of Federal Regulation, Title 21, Part
22 600, et al., which says that all changes in manufacturing
23 processes will be described to the FDA prior to their
24 implementation.

25 Q. Well, if there was such a regulation, what was your study

LAURA M. BRENNAN, Official Reporter

1 committee for?

2 A. The study committee was to determine whether or not core

3 antibody testing was an appropriate measure to take as a

4 surrogate test. If it were, then people would implement it.

5 They would file appropriate documentation and notification to

6 the agency that it was going to be implemented, just as we

7 implemented anti-HIV testing before the FDA even mandated it.

8 Q. And Cutter did it without any test -- any prior FDA

9 approval?

10 A. That's my understanding. And it's my understanding
talking

11 to their responsible heads that they were --

12 Q. No, I don't -- look, I don't want to hear all the hearsay.

13 A. This is not hearsay, sir.

14 Q. But you do know that they did start the test?

15 A. They started the test without reporting to the agency and

16 were told to cease and desist.

17 MR. RING: May the last part be stricken?

18 THE COURT: Be stricken.

19 MR. RING: Thank you. That's all I have.

20 MS. GOURLEY: No questions.

21 THE COURT: All right, sir. Thank you.

22 THE WITNESS: Thank you.

23 (Witness excused.)

24 THE COURT: What's next?

25
this

MS. GOURLEY: Your Honor, I would like to read at

LAURA M. BRENNAN, Official Reporter

1 point some admissions made by the plaintiffs. It is Armour's
2 Rule 12(m) statement and the plaintiff's Rule 12(n) response
3 with respect to some of the issues in this case.

4 THE COURT: Well, let Ms. Thomas look at what you are
5 going to read so if there is any question about it I can rule
6 on it.

7 MS. GOURLEY: Okay, sure.

8 THE COURT: Or Mr. Ring or somebody.

9 MR. RING: That's her department.

10 MS. THOMAS: Is it in the binders?

11 MS. GOURLEY: Well, I put the whole thing in there,
12 but I'm not reading -- it's 130 paragraphs long.

13 MS. THOMAS: Okay.

14 MS. GOURLEY: But it is, the whole thing is in there.

15 MS. THOMAS: Okay.

16 MR. RING: Why don't you leave that inside? I
thought

17 you read it. 130 pages?

18 MS. GOURLEY: No, no. 130 paragraphs, Mr. Ring. And
19 I'm giving her the few paragraphs I do want to read.

20 MR. RING: Oh, okay.

21 MS. GOURLEY: Not the whole thing, I promise.

22 MR. RING: I thought you were going to read 130
pages.

23 MS. GOURLEY: I didn't read anything in this trial.

24 THE COURT: Okay?

25 (Pause.)

LAURA M. BRENNAN, Official Reporter

10

1 MS. THOMAS: Okay.

2 MS. GOURLEY: Thanks. Your Honor, did Your Honor
want

3 to explain what these are, 12(m) and 12(n), or should I just
4 read them?

5 THE COURT: Well, let me just see what they look like
6 so I can explain it.

7 MS. GOURLEY: The actual document was fairly long,
and
8 I took out the paragraphs and have had them retyped verbatim.

9 MS. THOMAS: Your Honor, our only concern is when
10 something is taken out of context, the entire material is not
11 presented.

12 THE COURT: Well, no. The whole idea of these
13 statements is that they are independent, and they stand alone.

14 Now, these were statements that were not denied, is
15 that it?

16 MS. GOURLEY: The plaintiff's responses is quoted
17 verbatim at the bottom, and I intend to read the whole thing,
18 Your Honor.

19 THE COURT: Okay, all right.

20 Well, these are statements exchanged by the parties
in

21 a little different kind of procedure than the one I described

22 earlier, ladies and gentlemen. But what Ms. Gourley is going

23 to read to you are statements of fact asserted by Armour and
24 then she will read you the plaintiff's response to those
25 statements.

LAURA M. BRENNAN, Official Reporter

11

1 MS. GOURLEY: Okay.

2 THE COURT: And these were submitted to the Court at
3 an earlier stage of this case.

4 MS. GOURLEY: (Reading):

5 "Michael Reese did not purchase any Armour
concentrate

6 after July 19, 1984, through January 1985.

7 "Armour did not sell any Armour concentrate to
Michael

8 Reese after July 19, 1984, until August 1985.

9 "From July 19, 1984, through the period of Stephen
10 Poole's January 1985 hospitalization, Michael Reese purchased
11 only Alpha and Baxter (Hyland) Factor VIII concentrates.
12 Michael Reese purchased a total of 4,256 vials of Alpha and
13 Baxter (Hyland) concentrates during that six-month period from
14 July 19, 1984, to January 18, 1985."

15 The plaintiff's response was, "Plaintiffs agree with
16 the assertions made by Defendant Armour in paragraph 68 to
72."

17 I have 72 here since I forgot to put that one up.

18 Thanks.

19 "From November 12, 1984, through at least January
20 1985, Michael Reese purchased only heat-treated Factor VIII
21 concentrates totaling 1,627 vials."

22 Plaintiff's response was "Plaintiff's agree with the
23 assertions made by Defendant Armour in paragraph 68 to 72."

24

25

LAURA M. BRENNAN, Official Reporter

1 MS. GOURLEY: Paragraph 74: All of
Michael Reese's
2 purchases in December 1984 and January 1985 were of
3 heat-treated Factor VIII concentrate from Baxter
(Hyland).

4 Plaintiff's response: Plaintiffs agree
with the
5 assertions of defendant Armour in Paragraphs 74 to
77.

6 And lastly, Michael Reese's supply of
Factor VIII
7 concentrate was kept in a refrigerator in the
pharmacy. New
8 stock was placed behind the old stock so that the
older

9 material was used for first. The inventory of
Factor VIII
10 concentrate was checked daily both on a
computerized report and

11 in the refrigerator.
12 Factor VIII concentrate for a particular
patient was
13 sent to the floor on a daily basis to comply with
physicians'

14 orders; only one day's supply was sent at a time.
15 Plaintiff's response was plaintiffs agree
with the
16 assertion of defendant Armour in Paragraphs 74 to
77.

17 MS. GOURLEY: Your Honor, may I
distribute copies to
18 the jury?

19 THE COURT: All right.

20 MS. GOURLEY: Your Honor, subject only to
receipt of

21 Dr. Levine's deposition in Baxter's case, Armour
rests.

22 THE COURT: All right.

23 MS. GOURLEY: Your Honor, I'm sorry. One
thing is I

24 do believe that I did not formally move for the
admission of

25 several of the exhibits to which --

Levine - deposition

1 THE COURT: They're all received. We'll
take care of

2 that later.

3 MS. GOURLEY: Thank you, your Honor.

4 MR. BERKMAN: Your Honor, if you would
like, we'll

5 try to get this deposition read.

6 THE COURT: Fine. Okay.

7 MR. BERKMAN: Mr. Albert will be Dr.
Levine.

8 THE COURT: All right.

9 MR. BERKMAN: I'm reading excerpts of
questions and

10 answers from Dr. Levine's deposition that was taken
on

11 October 12, 1990, at 9:00 A.M.

12 (Reading:)

13 "Q Dr. Levine, would you state your name for the
record.

14 A Peter H. Levine.

15 Q You are an M.D.?

16 A Yes.

17 Q Doctor, when did you obtain your license?

18 A 1967.

19 Q And you recently provided a copy of your CV to
Mr. Fuson?

20 A Yes.

21 Q Is that correct. Is that CV current?

22 A Yes.

23 Q No additions to make?

24 A I've not upgraded it. I have probably written
two or three

25 papers since the last time I published the
bibliography section

Levine - deposition

1 of it."

2 MR. BERKMAN: Your Honor, if I may, we
will be

3 bringing in his CV Monday. We don't have it here
I apologize.

4 Page 14, Line 6.

5 (Reading:)

6 "Q I would like to first discuss a little bit of
your

7 background. Did you first begin treating
hemophiliacs in 1965,

8 1966?

9 A At that time I would have been a fellow in
hematology and

10 did begin treating people -- also treated them
through the time

11 of my military experience. The principal treatment
of

12 hemophilia for me as director of the center would
have begun in

13 about 1971 at the Tufts New England Medical Center.

14 Q Did you actually treat hemophiliacs in the
military?

15 A Yes.

16 Q Were there hemophilia patients who were
soldiers?

17 A No. They were mostly the dependents of active
duty this

18 members.

19 Q They tended to be children?

20 A Some were children and some were teenagers or
young adults.

21 Q Was there in the mid-'60s?

22 A Late '60s.

23 Q Did you describe treatment for these young
hemophiliacs?

24 A Yes.

25 Q In the late 60s?

Levine - deposition

1 A Yes.

2 Q What therapy did you prescribe for the
hemophiliacs to

3 control their bleeding?

4 A In those days it would have been principally

5 cryoprecipitate.

6 Q And cryoprecipitate is a fraction of human
plasma?

7 A Correct.

8 Q Were the patients you treated suffering from
hemophilia A?

9 A Yes.

10 Q That's a person who has a deficiency in a
protein called

11 Factor VIII, which assists in clotting the blood,
right?

12 A Yes."

13 MR. BERKMAN: Your Honor, I apologize.
I forgot to

14 mention Miss Thomas is the person asking these
questions and

15 this is Dr. Levine answering them at the
deposition.

16 Page 16, question,

17 (Reading:)

18 "Q That may been an oversimplification, but that's
basically

19 what it is?

20 A Yes.

21 Q And cryoprecipitate is that faction of the
plasma where

22 Factor VIII protein is, correct?

23 A Much of it is, yes.

24 Q Is that a relatively new form of treatment for
hemophilia

25 A? At the time the doctor was prescribing
cryoprecipitate to

Levine - deposition

1 treat young hemophiliacs when he was in the
military?

2 A No. In fact, I had also used both
cryoprecipitate and

3 concentrate during my hemophilia -- my hematology
fellowship.

4 I believe that was 1967 through 1969. So, you
know,

5 cryoprecipitate had been in use through that decade
of the

6 '60s.

7 Q And prior to cryoprecipitate were hemophilia A
patients

8 treated with fresh frozen plasma?

9 A Yes.

10 Q Cryoprecipitate was basically a quantum leap in
the

11 treatment of hemophilia, wasn't it?

12 A No. It was certainly an advance over the use
of flesh

13 frozen plasma in that you had a small degree of
concentration

14 compared to fresh, frozen plasma and didn't run
into as many

15 volume problems, but because the dose contained in

16 cryoprecipitate was completely unreliable, although
it

17 represented an advance, I would certainly not
characterize it

18 as a quantum advance.

19 Q When you say "unreliable," do you mean you
couldn't predict

20 what the dose was?

21 A That's correct.

22 Q Now, when you use the term "concentrates," I
believe you

23 said concentrates was a therapy that you were using
in the

24 mid-'60s. Are you referring to commercially
manufactured

25 Factor VIII concentrate?

Levine - deposition

1 A Yes.

2 Q Is that basically a concentration of
cryoprecipitate?

3 A That's an oversimplification, but I suppose you
could say

4 so.

5 Q Did you prescribe commercially-manufactured
Factor VIII
6 concentrate for patients when you were in the
military?

7 A Yes.

8 Q Along with cryoprecipitate?

9 A Yes.

10 Q What did it depend on, Doctor, which form of
therapy you
11 decide to use?

12 A Generally depended on the severity of the
patient's

13 illness, the age of the patient, the severity of
the bleeding

14 episode.

15 Q Why was the age of the patient relative?

16 A Very young patients with hemophilia, infancy to
several

17 years of age, bleed infrequently and require very
small amounts

18 of Factor VIII since you give Factor VIII on
amounts per weight

19 basis.

20 Q And Factor VIII is measured in units?

21 A Yes.

22 Q That's units of activity, right?

23 A Yes.

24 Q So in that sense you could predict how much
Factor VIII

25 you're giving the patient with concentrate?

Levine - deposition

1 A Yes.

2 Q Now, Doctor, when was the first time that you
actually took

3 charge of a hemophilia treatment center?

4 A I believe that was 1971 at the Tufts New
England Medical

5 Center.

6 Q What was your position there?

7 A I was director of the blood coagulation regular
laboratory

8 and director of what came to be known as the New
England Area

9 Comprehensive Hemophilia Center.

10 Q Was there any particular reason you decided to
go in the

11 direction of treating hemophilia patients?

12 A Yes. When I was a fellow in hematology, one of
my teachers

13 was an expert in the field of hemophilia, and that
plus the

14 facts that I found that people with hemophilia
needed a very

15 comprehensive approach of personal services and
emotional

16 support.

17 In other words, a mix of the art and
science of

18 medicine attracted me to the field.

19 Q And have you been in the field of treating
hemophiliacs

20 since?

21 A Yes, I have.

22 Q Now, in 1971 what therapy did you describe for
treatment of

23 hemophilia A?

24 A A variety of them.

25 Q What do those include?

Levine - deposition

You're 1 A That included concentrate and cryoprecipitate.

2 talking about hemophilia A?

3 Q Yes. In what instance would you prescribe
cryoprecipitate?

4 A For very young children with mild to moderate
bleeding

5 episodes.

6 Q Was there any reason known you that you would
--

7 A Can I also add to that question, for people
with mild

8 hemophilia.

9 Q Okay. Any other classes of patients?

10 A Individuals with Von Willibrant's disease.

11 Q You would not prescribe concentrate for those
patients as a

12 rule --

13 A That's correct.

14 Q -- at that time. Yes.

15 What was the reason, Doctor, at that time
for not

16 prescribing concentrates for very young children,
mild

17 hemophiliacs and persons with Von Willibrant's
factor?

18 A Concentrates are relatively new, had been only
around for

19 several years, and cryoprecipitate had been around
for a longer

20 period of time. Although we knew that the dose was
much more

21 reliable, when using concentrates in a mild

patient, you could

22 essentially give them a moderate excessive dose of
cryo and

23 compensate for the fact that you weren't always 100
percent

24 sure of what dose you were giving.

25 Q Any other reasons that come to mind, Doctor?
Are you

Levine - deposition

1 including Von Willibrant's in the question? Yes.

2 A Concentrates don't contain, in most cases,
sufficient

3 quantities of the Von Willibrant's factor where
cryoprecipitate

4 does.

5 Q Any other reasons why you didn't prescribe
concentrates for

6 young children in the mild to moderate
hemophiliacs?

7 A We were concerned in the early years of
concentrate therapy

8 about the risk of hepatitis for these products.
Almost all the

9 adult patients had already contracted both forms of
hepatitis,

10 so that was not a major concern; but for young
children who

11 hadn't yet gotten hepatitis, at that time we felt
that

12 cryoprecipitate would be less likely to give it
early to a

13 patients than concentrate would.

14 Q Why was that, Doctor?

15 A Why was what?

16 Q Well, did you feel that cryoprecipitate was
less likely to

17 pass on hepatitis to those patients?

18 You want the belief he had back in 1971?
Yes.

19 A In 1971 it was simply based on the fact that
concentrate

20 was made from multiple donors, and for a very young
child

several 21 requiring cryoprecipitate you usually only needed
22 donors.
1970 23 For an adult, we did studies in the early
24 showing that it made no difference because you used
so many 25 hundreds and hundreds of different donors that they
were just

Levine - deposition

1 as likely to get hepatitis.

2 Q When you say "hundreds and hundreds of donors,"
when you
3 use cryo to treat an adult, over what time span are
you
4 speaking?

5 A A year or so. In fact usually considerably
less than a
6 year.

7 Q You might have to give a hemophilia A patient
8 to 10 bags
9 of cryoprecipitate to control the bleed on one
occasion?

10 A No. If we're talking about an adult, it
wouldn't be
11 unusual to give that patient 10 to 20 different
donors or
12 different bags on a single bleeding episode; and if
they're
13 bleeding six times a month, you're well over 100 by
the end of
14 the month.

15 Q And that depends on the severity of the
bleeding?

16 A It depends on the severity of the patient more
than the
17 severity of the bleed in that case.

18 Q It would depend, of course, on the amount of
clotting
19 factor that you have in the cryoprecipitate you're
administering?

20 A Correct.

21 Q That's luck of the draw, right?

22 A Only in part.

23 Q You mean there's some ways in with you can
determine the

24 Factor VIII level of the cryoprecipitate that
you're --

25 A No.

Levine - deposition

1 Q What do you mean by "only in part"?
2 A That it has a lot to do with the care with
which the
3 cryoprecipitate is made.
4 Q And how the factor is preserved in the cryo?
5 A Yes.
6 Q The Factor VIII protein?
7 A Yes.
8 Q Okay. At that time, Doctor, do you have
information as to
9 the size of the pools from which the commercially
prepared
10 concentrates were made?
11 A Yes.
12 Q What was your understanding back in the early
'70s of what
13 the size of that donor pools was?
14 A Anywhere between a few thousand and 10,000
donors.
15 Q Today to you have an understanding that the
size has
16 increased?
17 A Yes.
18 Q What is your understanding today, Doctor, on
the size of
19 those pools.
20 You mean generally with respect to any
particular
21 manufacturer.
22 I'll get into that generally. The size
of the pools?

23 A That the pool sizes range anywhere between a
few thousand

24 to as many as 25,000 donors.

25 Q Was that your understanding in the early '80s,
Doctor?

Levine - deposition

1 A Yes."

2 MR. BERKMAN: Page 32.

3 (Reading:)

4 "Q Okay. Doctor, you indicated when you first
took charge of

5 the hemophilia center back in the early 70s that
you were

6 prescribing both cryoprecipitate and Factor VIII
concentrate.

7 Did you ever stop prescribing cryo for any of your
hemophilia

8 patients?

9 A I'm sure I did."

10 MR. BERKMAN: Mr. Fuson objects,

11 (Reading:)

12 "Did you mean a patient who had been on
cryo, did he

13 stop prescribing cryo for that particular patient?

14 MS. THOMAS: Yes, and switch that patient
to

15 concentrate.

16 THE WITNESS: Yes."

17 MS. THOMAS: Your Honor, may I interrupt.
There was

18 a page skipped.

19 MR. RING: I'll use this little pause to
check

20 another oversight. I'm sure he didn't mean it, but
I think the

21 jury should know that this is the expert that all
the

22 defendants had submitted as their experts. So it's

not our

23 witness.

24 THE COURT: Yes.

25 MS. THOMAS: May we read Page 26
beginning with

12

Laura M. Brennan, Official Reporter

Levine - deposition

1 Line 9.

2 MR. BERKMAN: Where does it end, Ms.
Thomas?

3 MS. THOMAS: I believe you stopped at Page
26. "Was

4 that your understanding in the early" --

5 MR. BERKMAN: Right. I stopped at Line
8. Where do

6 you want me to go to?

7 MS. THOMAS: The last line you read was
Line 8?

8 MR. BERKMAN: Yes.

9 MS. THOMAS: Line 9.

10 MR. BERKMAN: Line 9 is a question on
Page 26.

11 MS. THOMAS: Yes, would you read that.

12 MR. BERKMAN: Okay.

13 MS. THOMAS: Thank you.

14 MR. BERKMAN: (Reading:)

15 "Q And taking up on Mr. Bell's suggestion, do you
have any

16 knowledge as to the size of the pools for the
various

17 manufacturers, whether there's a difference between
the size of

18 Alpha's pool and Armour's pool, for example?

19 A No."

20 MS. THOMAS: Line 15, please.

21 MR. BERKMAN: Where would you like me to
end?

22 MS. THOMAS: I would like you to read
what you left

23 out.

24 MR. BERKMAN: (Reading:)

25 "Q When we talk about the size of a pool in terms
of 10,000

13

Laura M. Brennan, Official Reporter

Levine - deposition

size of one 1 donors or 25,000 donors, we're talking about the

correct? 2 pool to make one lot of Factor VIII concentrate,

3 A Yes.

bottles of 4 Q And from that one lot we get many bottles or

5 product, correct?

6 A Yes."

have the 7 MR. BERKMAN: May I stop there? I don't

I go from 8 whole transcript. I have the designated portions.

asking where 9 26 to Page 32, okay? That was the reason I was

portions. 10 you wanted me to stop. We gave you designated

11 MS. THOMAS: Last night.

12 MR. BERKMAN: No, I think he gave you the

13 designations a week ago.

you've 14 THE COURT: Well, all right. Read what

15 designated. If there is anything there that --

addition -- 16 MR. BERKMAN: She wants to read in

read that 17 THE COURT: -- She wants to read, we can

18 too, but if we don't have the pages, we can't --

19 MR. BERKMAN: Okay. On Page 32, Line 14.

20 (Reading:)

into effect 21 "Q Let me ask this: Was that a policy that went

22 at the treatment center?

23 A No.

24 Q Could you explain under what circumstances you
switched

25 hemophilia patients from cryo to concentrate?

Levine - deposition

1 A Sure. I can recall many instances of patients
who were
2 treated with cryoprecipitate who had major bleeding
episodes
3 that failed to respond to the cryo because of what,
in
4 retrospect, turned out to be an insufficient
quantity of Factor
5 VIII in the cryoprecipitate.

6 When this had happened to a patient
multiple times,
7 either as the patient got older and, therefore,
weighed more
8 and needed more units or had more severe bleeding
episodes, it
9 became increasingly impractical to treat those
patients with
10 cryoprecipitate. And so to prevent all the major
problems of
11 life-threatening hemorrhage, we would switch those
patients
12 from cryoprecipitate to concentrate.

13 We also switched patients from
cryoprecipitate to
14 concentrate at the time when they got old enough to
go on a
15 home therapy program.

16 Q Why was that?

17 A Because it's doubly unsafe to use an unreliable
material
18 with a patient who is away from a treatment center,
often many
19 miles away.

20 Q You're referring to the patient

self-administering

21 cryoprecipitate in his home?

22 A Correct.

23 Q And not being certain if he's getting enough
units?

24 A That's correct.

25 Q To treat the bleed?

Levine - deposition

1 A We also had a group of patients who had very
severe

2 reactions to cryoprecipitate which were quite
common whereas

3 reactions to concentrate are extremely unusual.

4 Q By "reactions" what do you mean, Doctor?

5 A When cryo was frequently used in the '60s,
large numbers of

6 patients would develop severe allergic reactions
probably to

7 the cellular contents of the cryoprecipitate, so
there would be

8 high fevers, hives, etching, a variety of other
rashes, often

9 severe headache and sometimes wheezing, and rarely
a patient

10 would go into shock."

11 MR. BERKMAN: Page 37, Line 20.

12 (Reading:)

13 "Q Now, Doctor, You've also served on a certain
committee of

14 the National Hemophilia Foundation for a good many
years,

15 right?

16 Object to the form.

17 BY MS. THOMAS:

18 Q The Scientific and Medical Advisory Board?

19 A Yes.

20 Q When did you first join that committee or
board?

21 A I believe sometime in the late '70."

22 MR. BERKMAN: Line 18,

23 (Reading:)

24 "Q Doctor, what were the circumstances that is
gave rise to

25 your joining the board, what happened?

16

Laura M. Brennan, Official Reporter

Levine - deposition

1 A The Medical and Scientific Advisory Council?

2 Q That's correct.

3 A I had served as the first -- first a member and
then the

4 chairman of the publications committee of the
National

5 Hemophilia Foundation somewhere, I think, in the
period of

6 around 1974, 1975 on for several years and was
increasingly

7 writing about hemophilia and its treatment and was
invited by

8 the National Hemophilia Foundation to join that
group because

9 of the research I was doing in the field.

10 Q Your position as chair of the publications
committee was

11 that your first involvement with National
Hemophilia

12 Foundation?

13 A Probably not. I had -- between 1971 and 1975,
I had been

14 the medical director of the local chapter of the
New England

15 Hemophilia Association, which is the local chapter
of the

16 National Hemophilia Foundation. I'm sure I had
various

17 intersections with NHF through that time period.

18 Q What is your understanding of the function of
the National

19 Hemophilia Foundation?

20 A It's the major lay organization for people with
hemophilia,

cancer 21 much in the same way the heart association or the
those 22 association or multiple sclerosis society or any of
bring in to 23 organizations is an organization that seeks to
in the 24 people with hemophilia and seeks to foster research
its 25 disease and close the gap between technology and

Levine - deposition

1 application to the patient.

2 Q By "close the gap between technology and
application," do

3 you mean the National Hemophilia Foundation
actually does

4 research?

5 A No. It expedites research taking place, and it
seeks to

6 find federal and other sources of funding for such
research,

7 and it indeed it raises the funds for the research
itself.

8 It also raises funds to train
hematologists to become

9 subspecialists in hemophilia. It does do some
clinical data

10 collection which could be considered research.

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

18

Laura M. Brennan, Official Reporter

1 Q. What kind of clinical data collection?

2 A. At the present time, for example, there are a
variety of

3 studies that are being carried out collaboratively
between

4 the Centers for Disease Control, the Office of
Maternal and

5 Child Health of the United States Public Health
Services, and

6 the National Hemophilia Foundation on the
demographics of the

7 AIDS epidemic and hemophilia, that sort of thing.

8 Q. I see. So, it's not a governmental agency, is
it?

9 A. No, although it receives considerable government
10 support.

11 Q. It receives some funds from the government?

12 A. Yes.

13 Q. What are the other sources of its funding?

14 A. The other two major sources would be from
patients

15 themselves and from the chapters that submit annual
portions

16 of everything they raise to the national
organization. Then

17 the third source would be from contributions from

18 pharmaceuticals, plasma manufacturers, people who
make

19 medical equipment and from the medical -- from the
physicians

20 themselves.

21 Q. Have the defendants in this case supported with
monetary

22 contributions the National Hemophilia Foundation?

23 A. Yes.

24 Q. You were a medical director of the National
Hemophilia

25 Foundation from 1985 through 1987, correct?

LAURA M. BRENNAN, Official Reporter

1 A. Yes, and comedical director for two years prior
to that.

2 Q. Did you ever break in 1986 when you were not a
medical
3 director or codirector?

4 A. No.

5 Q. So, from 1983 through 1985 you were a
codirector, and

6 then from 1985 through 1987 you were director?

7 A. That's correct.

8 Q. Doctor, you said also that the patients, the
hemophilia
9 patients themselves --"

10 MS. THOMAS: Your Honor, may we have the
rest of

11 Page 43 read in?

12 MR. BERKMAN: It was designated to Line 3,
but I

13 will read the rest of that page if you like.

14 BY MR. BERKMAN:

15 "Q. During that time, Dr. Levine, approximately how
much

16 money was contributed by Armour Pharmaceutical to the
17 National Hemophilia Foundation?

18 A. Gee, I have no idea. That's not something I
would have

19 been involved in or aware of at that time. I really
can't

20 answer.

21 Q. Could you estimate how much money had been given
by that

22 company to the Foundation?

23 A. It would be a very vague guess, and it would
have to do
24 with how much money it would cost to run a symposium
or
25 something of that sort. I don't know. I wasn't
involved in

LAURA M. BRENNAN, Official Reporter

1 that aspect of the organization.

2 Q. Okay. You say it would be related to running a
3 symposium or whatever. When the contributions were
made by
4 the manufacturers were they made for a specific
purpose, put
5 on an exhibit or a symposium?"

6 MR. ALBERT: I wouldn't be able to complete
this
7 answer.

8 MR. BERKMAN: Why don't you read the first
part.

9 BY THE WITNESS:

10 "A. They often were. I don't know if they --"

11 MR. BERKMAN: Why don't we continue on Page
45.

12 BY MR. BERKMAN:

13 "Q. Doctor, you also said that the patients -- the
14 hemophilia patients themselves also supported the
15 Foundation. Do you mean they made monetary
contributions to

16 the Foundation?

17 A. They generally made their contributions to a
local
18 chapter, and the local chapters would then give to
the NHF

19 some sizable portion of what they brought in in the
course of

20 a year.

21 Q. I see.

22 A. I am sure there were some people who made direct

23 contributions to the NHF, as well.

24 Q. By what methods or what publications did the
National

25 Hemophilia Foundation communicate with hemophilia
patients?

LAURA M. BRENNAN, Official Reporter

1 A. Quite a few varieties of methods. They
communicated
2 through the chapters who regularly sent them a
variety of
3 bulletins, publications, pamphlets, manuals,
videotapes, et
4 cetera, for use in patient education.
5 They also disseminated periodic sets of
similar
6 materials to the medical profession. They also had
a link,
7 several links, with the federal government to be sure
that
8 arms of the government were sending out materials to
everyone
9 who needed to receive them in the medical profession.
10 Q. Could you give me an example of what you mean by
the
11 Hemophilia Foundation was making sure the government
was
12 sending out materials?
13 A. The Hemophilia Foundation met fairly regularly
with
14 individuals at the Office of Maternal and Child
Health and
15 suggested a series of symposia or consensus
conferences that
16 should be held. They did the same thing with the
Bureau of
17 Biologics of the Food and Drug Administration and
18 subsequently the Centers for Disease Control.
19 Q. Who attends these symposiums or consensus
meetings?
20 A. Usually experts in the field, although the

medical

21 public at large is invited. There are usually
results from

22 such a meeting -- a paper or a pamphlet or a strategy
to

23 disseminate the information.

24 Q. So, there you are talking more about
communicating with

25 treaters, not with patients?

LAURA M. BRENNAN, Official Reporter

with 1 A. No. In many cases it involved communicating
Office of 2 patients because there was a joint effort by the
to be 3 Maternal and Child Health and the National Hemophilia
5 sure that bulletins were sent regularly both to
treaters and 6 patients. There were two parallel series of
documents 7 produced, one for treaters and one for patients.
8 Q. So, in other words, if strategies or papers were
9 developed from these meetings of experts, this
information 10 would then flow down to the hemophilia patients
through the 11 Hemophilia Information Exchange?
12 A. That was one route, yes.

13 Q. What other routes were there?

14 A. Well, I have already described a bunch of them.

15 Q. Through chapters, chapter advisories?

16 A. Yes.

17 Q. Were there also something called a patient
alert?

18 A. Yes.

19 Q. What type of publication was that?

20 A. That was part of the Hemophilia Information
Exchange.

21 That was a subsection or subset of the Hemophilia
Information

22 Exchange written in clearly understandable lay

language and

23 intended for patients.

24 Q. Were the chapter advisories intended for the
patients

25 who read or were they intended for the chapter?

LAURA M. BRENNAN, Official Reporter

who it 1 A. Some of each. In each document it would specify
the 2 was intended for and in many cases would encourage
3 chapter to disseminate it widely to its membership.

that 4 Q. What was the purpose of a patient alerted to
5 publication?

one you 6 A. There were many purposes. It depends on which
7 are talking about.

the 8 Q. Was that the one document that went directly to
9 hemophilia patient?

go 10 A. No. Patient alerts direct -- generally did not
to the 11 directly to a hemophilia patient. They usually went
both of 12 chapter or to the treatment center or in most cases
three of 13 which or physicians in general. In many cases all
sure 14 which were asked to disseminate to the patients to be
15 that patients would see these materials.

hemophilia 16 Q. Did you have any mailing list of all the
17 patients in this country?

of all 18 A. In the strictest sense no one has a mailing list
the answer 19 the patients with any illness in any country. So,
extensive 20 would have to be no. The chapters maintained

21 mailing lists of people with hemophilia in their
regions.

22 Q. Well, would it be fair to say, then, that the
National

23 Hemophilia Foundation did not send out mass mailings
to

24 hemophilia patients throughout the country?

25 A. No, it would not.

LAURA M. BRENNAN, Official Reporter

1 Q. You did not do that?

2 A. No. I said it would not be fair to say that.

3 Q. Under what circumstances was that done?

4 A. Well, it was done through the route that I
mentioned,

5 using the --

6 Q. The chapter?

7 A. -- the chapter network.

8 Q. I understand that. You would send things to the
9 chapters and say, 'Disseminate this to the hemophilia
10 patients.' My question, is that fair?

11 A. That's part of the dissemination network, yes.

12 Q. Were there any mailings directly from the
Foundation --

13 is that in New York?

14 A. Yes.

15 Q. -- out of New York from the headquarters to the
patients

16 all over the country? Was that ever done --

17 A. I am sure --

18 Q. -- that you know of?

19 A. I am sure that some numbers of patients from
around the

20 country do appear on the lists of NHF usually by vent
of some

21 special reason.

22 For example, these may be patients who
played major

23 leadership roles in the chapters or they may be
people who

In 24 have some other direct ties with the national office.
25 some cases the NHF maintained lists of patients in
the few

LAURA M. BRENNAN, Official Reporter

and so 1 areas in the country not covered by local chapter,
2 they in effect would become a local chapter for those
areas.

3 There probably were other circumstances as
well
4 that would cause them to mail directly, but the
majority of
5 the mail that would have been received by the patient
would
6 have been received by them indirectly through one of
the
7 various variety of sources I have talked about. Now,
of
8 course, there are other methods of communication than
mail.

9 Q. Were there any other methods that the National
10 Hemophilia Foundation relied on or used to
communicate with
11 hemophilia patients throughout the country?

12 A. Yes.

13 Q. What other methods?

14 A. The media.

15 Q. How?

16 A. We regularly held news conferences with national
media
17 services to be sure that up-to-date information
appeared in
18 the press or that when something appeared in the
press that
19 was not complete information or accurate, that we
would
20 correct it.

21 Q. While you were comedical director or medical
director

22 who was responsible for it?

23 A. Multiple people. The principal person would
have been

24 the executive director of the Foundation and the
president of

25 the organization, as well.

LAURA M. BRENNAN, Official Reporter

1 Q. And the president?

2 A. Yes.

3 Q. Who was the president in 1983?

4 A. We were in transition between the presidency of
Charles
of
5 Carmen, currently president of the World Federation
6 Hemophilia, and Nathan Smith, I believe.

7 Q. Did you have a director of publicity of the
Foundation,
8 if not by that title, someone who held that basic job
9 function?

10 A. Yes."

11 MR. BERKMAN: Your Honor, we are coming to
a
12 slightly new part and I thought it might be a good
time.

13 THE COURT: That will be a good spot.

14 Well, we now have a break of a few days.
However,

15 counsel tell me that it looks like we are going to
finish the

16 evidence in the case next week; that is, Monday,
Tuesday and

17 Wednesday of next week, which means that we can come
back and

18 apparently finish up the case before Thanksgiving.
In other

19 words, we will have the following Monday, Tuesday and
20 Wednesday for final arguments and instructions and
your

21 deliberations. That's the way it looks now. We are
moving

22 along fairly well.

23 Have a good about a five-day break. So, I
will see

24 you at 9:30 on Monday the 15th; 9:30, Monday the
15th.

25 (Jury out.)

LAURA M. BRENNAN, Official Reporter

1 THE COURT: What do we have left now?

figure 2 MR. BERKMAN: Only to finish this, which I
from 3 is another 45 minutes at most, and call Mr. Young
4 Baxter, who may only take 45 minutes or an hour for
5 cross-examination.

6 THE COURT: Then that's the defense
evidence?

7 MR. BERKMAN: That's it.

8 MR. BARR: Your Honor, I have some offers
of proof 9 and matters like that to make sometime. Can I have
an 10 opportunity to do that?

11 THE COURT: Yes, not now.

12 MR. BARR: Sometime.

13 THE COURT: Without binding you in any way,
14 Mr. Ring, what would be your hunch as to what
rebuttal might 15 take, not what it's going to be necessarily but how
long 16 might it take?

17 MR. RING: At worst, Tuesday afternoon
based on 18 what he says, at worst. Maybe we can finish Monday.

19 THE COURT: Okay. Then I would think we
would 20 spend Wednesday on instructions, clean up maybe the
balance 21 of Tuesday for any offers or motions or anything of
that

will 22 kind, and then Wednesday for instructions. And you

23 have -- well, let's see.

24 MR. BARR: Your Honor, we have no idea what

25 rebuttal will be, but the Court will allow
surrebuttal, I

LAURA M. BRENNAN, Official Reporter

1 take it.

2 THE COURT: Yes, sure.

3 I was just thinking. Mr. Berkman suggested
you get

4 the jury instructions to me on Monday. To the extent
that

5 that would be doable, let's do that. Maybe we can
even get

6 that settled before the 18th.

7 MR. BERKMAN: Right, exactly.

8 THE COURT: At least before I leave.

9 MR. BERKMAN: Yes.

10 THE COURT: All right.

11 MR. BERKMAN: That would be helpful in
preparing

12 our closings.

13 THE COURT: Right.

14 I have decided pretty much, by the way, on
this

15 question of the standard of care, and I tell you this
because

16 it may be helpful in drafting instructions. You can
submit

17 whatever you want, but in terms of what I am going to
give,

18 you might want to give some thought to what I am
about to

19 say.

20 I have felt right along that the standard
we are

21 talking about is reasonable care under the
circumstances.

22 Whether particular members of the industry or the
industry as
23 a whole were doing certain things will be something
for the
24 jury to consider on the question of what reasonable
care was,
25 but it won't be the standard. It won't be
controlling.

LAURA M. BRENNAN, Official Reporter

1

1 I see this case as one where the plaintiff argues
that

2 the whole industry was delinquent, and, therefore, to the
3 extent that there is a basis for that argument, it wouldn't do
4 to say that the industry can lift itself by its boot straps
and
5 say, "This is what we did. Therefore, we didn't have to do
6 anything more." And there is case authority for that
7 proposition, as you know.

8 So I haven't drafted it yet, and I just simply tell
9 you this so that maybe you can try your hand at it to get
10 something that you like better than what I might come up with,
11 but what I am going to tell the jury is something along the
12 lines of the IPI instruction on negligence, except instead of
13 using "ordinary care," I'm going to use "reasonable care," the
14 word "reasonable care." And then I'll have a supplemental
15 instruction that will tell them all the things they can or at
16 least give them illustrations of things they can consider in
17 determining whether any of the defendants was negligent. And
18 that will include what people were doing, what people were
19 recommending, what the state of knowledge was and so on,
20 recommendations by governmental agencies, any knowledgeable
21 people.

22 What I haven't worked out yet, and I think it's going
23 to be the most difficult part of the instructions, is the
24 alternative liability instructions. What I don't know is

25 whether I should have the jury do a two or three-step analysis

LAURA M. BRENNAN, Official Reporter

2

1 or not. And I've been turning that over in my mind.

2 MR. BERKMAN: Your Honor --

3 THE COURT: You may be able to come up with something
4 useful in that regard.

5 MR. BERKMAN: May I point out that I believe, and you
6 may consider this and you think about it, I believe the
7 alternative liability is indeed an alternative to negligence
8 when a plaintiff has stipulated there is no way to prove a
9 basic causation issue. Now, it's not when the plaintiff is
10 unable to prove the causation issue tries to prove that it
then
11 becomes an alternative liability case.

12 So I think the Court is going to have to resolve
13 whether it's instructing the jury on negligence or on
14 alternative liability. And I'd also like to know what burden
15 of proof, if any, the Court is expecting the defendants to
bear
16 as we put on our case since I really don't know what burden of
17 proof you are holding as the standard of law.

18 MR. RING: If I may just say they are not
19 alternatives. We have the burden of showing negligence. They
20 have the burden of proving that that negligence and causation,
21 they have to link negligence and causation. That's their
22 burden, is the causation. So it's not that difficult.

23 MS. GOURLEY: Well, Your Honor, except under Illinois

24 law, aggravation of injury is a separate and distinct tort.

25 THE COURT: That, by the way, is something I meant to

LAURA M. BRENNAN, Official Reporter

1 mention. I'm glad you raised that. I haven't read the cases
2 that Armour cites in favor of that proposition, but if they
3 indeed hold that the aggravator can only be held for the
4 quantum of aggravation, what in the world would the jury do
5 with that in this case? I mean, what evidence is there that
6 some reinfection or some aggravation at some particular point
7 in time accelerated the process by some identifiable or
8 quantifiable amount? And I'm not asking you for an answer on
9 that.

10 MR. RING: Okay.

11 THE COURT: But we've got to tell the jury something.

12 I can't just say, "Tell me who contributed." I think the
13 defendants are entitled to know whether -- well, as a matter
14 of fact, if more than one defendant is found guilty, we'll pretty
15 much know that the jury has decided with the plaintiffs on the
16 aggravation factor, although that does raise an additional
17 question that I've been wondering about, and that is, that if
18 the jury reaches the point where it's now the defendants'
19 burden, each of them, to prove that it was not the proximate
20 cause, and let's say two of them carry that burden and two
21 don't, then the jury could simply find that the defendants
22 have failed to carry the burden, not that one was the initial
23 infector and the other one was the aggravator, but that
24 neither of them carried the necessary burden.

And what has dawned on me without any help from

LAURA M. BRENNAN, Official Reporter

4

1 anybody in the case is that that may be what the Wysocki Court
2 was talking about when they said the holding was limited to
the
3 two defendant case, but maybe not, because conceivably even in
4 a two defendant case the jury could come back and say, "Sorry,
5 Defendant A and Defendant B, neither of you has carried the
6 burden, and, therefore, you're both guilty."

7 So I invite you to think about these things. They
are
8 not easy. And I'm sure I will not be the final, the final
word
9 on this, nor will you.

10 MR. BERKMAN: Again, may I be rude and try to catch
an
11 airplane?

12 THE COURT: Sure, by all means, by all means. Court
13 is in recess.

14 MS. KIMBALL: Thank you, Your Honor.

15 (Adjournment 5:40 p.m. until 9:30, November 15, 1993.)

16

17

18

19

20

21

22

23

24

LAURA M. BRENNAN, Official Reporter

