

1 BE IT REMEMBERED that on Tuesday, May 2, 1989,
2 commencing at 2:30 p.m. thereof, at 151 Union Street,
3 Suite 551, San Francisco, California, before me, LORNA
4 SCHANZENBACH, a Notary Public in and for the County of San
5 Francisco, personally appeared

6 MILTON MOZEN, PH.D.,
7 called as a witness by the Plaintiff, who, having been
8 first duly sworn, was examined and testified as follows:

9 --oOo--

10 Law Offices of John Rapp, 2121 Davies Pacific
11 Center, 841 Bishop Street, Honolulu, Hawaii, represented
12 by JOHN RAPP, Attorney at Law, appeared as counsel on
13 behalf of the Plaintiff.

14 O'Connor, Cohn, Dillon & Barr, 151 Union Street,
15 Suite 551, San Francisco, California, represented by SUSAN
16 REIFEL, Attorney at Law, appeared as counsel on behalf of
17 the Defendant.

18 Also present: Chris Bayuk and Marirose
19 Piciucco.

20 ---oOo---

EXAMINATION BY MR. RAPP

MR. RAPP: Q. Would you state your name for the record, please?

A. Milton Mozen.

Q. Dr. Mozen?

A. Yes.

Q. You work for Cutter?

A. Yes.

Q. What's your position?

A. I'm Director of Biochemical Research and Development.

Q. Are you Cutter's responsible head? Is that the FDA term?

A. No. No and yes.

Q. That's the term, but you're not the person?

A. Yes.

Q. Who is that?

A. Our responsible head is a woman named Carol Moore.

Q. And she works at what location?

A. In Berkeley.

Q. And how long have you been with Cutter?

A. Almost 22 years.

Q. What were your prior positions? Maybe we can work backwards to about 1980 -- or have you had the same

1 title, Director of Biochemical Research and Development?

2 I think I fouled that up.

3 A. You mean for the entire time that I was with
4 Cutter?

5 MS. REIFEL: You're going back to 1980?

6 MR. RAPP: Yes.

7 THE WITNESS: In 1980, I think my title was
8 Director of R & D Biologicals.

9 MR. RAPP: Q. Then what happened?

10 A. Well, we've had certain consolidations and
11 change in direction of our research so that certain units
12 that I was administering moved into other areas, and we
13 had some just general shifting around. They changed the
14 title.

15 Q. Since 1980, was there a change in the duties
16 or more just a change in titles?

17 A. Well, duties were relatively the same. The
18 departments for which I was responsible moved to other
19 areas. As an example, I used to be director of the
20 department of microbiology, and that moved into another
21 director's domain. And I'm not certain, as far as dates
22 are concerned, when all of these things occurred.

23 Q. Just tell me generally then what have been
24 your duties at Cutter since 1980.

25 A. Well, in general, I direct the department, or

1 several departments engaged in research directed toward
2 developing new products. And these products primarily
3 have their origin in human plasma. And the work also
4 includes, or the research also includes product
5 improvement and process improvement.

6 Q. So I take it you're involved with Factor
7 VIII?

8 A. Yes.

9 Q. Did you do anything to prepare yourself to
10 give this deposition today?

11 A. No.

12 Q. Just walked in cold?

13 A. Cold.

14 Q. Did you review any papers or documents or
15 anything?

16 A. Just glanced at the notice that you had sent
17 to my attorney regarding the deposition request.

18 Q. Did you bring any papers with you that have
19 anything to do with AIDS or Factor VIII?

20 A. No.

21 Q. Other than your company's attorneys, did you
22 talk to anybody about the deposition, what might come up?

23 A. No.

24 Q. Didn't have any discussions with any of your
25 fellow employees about it?

1 A. No.

2 Q. Do you have any information about any recalls
3 or withdrawals of any non-heat-treated Factor VIII?

4 MS. REIFEL: I'm not sure what you mean by
5 "any information." It's pretty vague.

6 MR. RAPP: Just getting started.

7 MS. REIFEL: Okay. Does he know whether one
8 ever occurred?

9 MR. RAPP: All that sort of thing.

10 Q. Do you have any information about recalls or
11 withdrawals of any non-heat-treated Factor VIII?

12 A. You mean by our company?

13 Q. Well, yes.

14 A. Well, I'm aware that from time to time, we
15 have occasion and reason to recall products.

16 Q. Factor VIII?

17 A. Yes.

18 Q. Non-heat-treated Factor VIII?

19 A. Yes.

20 Q. Can you tell me how many recalls or
21 withdrawals of non-heat-treated Factor VIII there have
22 been?

23 A. No.

24 MR. BAYUK: With respect to what?

25 MR. RAPP: Let's see. What company are you

1 representing?

2 MR. BAYUK: Miles. But your question is
3 vague. Do you want recalls with respect to AIDS, or --

4 MR. RAPP: I'd just like to ask that Miles
5 select one representative at the deposition. I don't care
6 who it is, but I don't want to be getting shot at from
7 every conceivable direction. It confuses me.

8 MR. BAYUK: Okay.

9 MR. RAPP: But I was talking about recalls
10 for any reason of non-heat-treated Factor VIII.

11 Q. Who could give me information about these
12 recalls? How many there were?

13 MS. REIFEL: Well, I would object to the
14 extent you're asking for recalls for any other reason than
15 potential AIDS transmission or plasma from someone who is
16 suspected to have AIDS. If you want to limit it to that
17 question, I'll let him answer it.

18 MR. RAPP: Okay. Otherwise, you're going to
19 instruct him not to answer?

20 MS. REIFEL: It's outside the scope of your
21 deposition notice, and it's not relevant to the subject
22 matter of this action.

23 MR. RAPP: So yes, you are telling him not to
24 answer my question?

25 MS. REIFEL: Yes.

1 MR. RAPP: Could you read back that last
2 question, please?

3 (Record read.)

4 MR. RAPP: Q. I'll go along with your
5 counselor's suggestion. I'd like to ask you about recalls
6 of Factor VIII because of some fear that it might contain
7 a virus of some sort.

8 Now, can you tell me whether there have been any
9 such recalls?

10 A. I'm not aware of any.

11 Q. Just tell me what recalls there were of
12 non-heat-treated Factor VIII. What year did they take
13 place?

14 A. I can't answer that. I don't know the answer
15 to that.

16 Q. Is there somebody else in your company that
17 would be able to tell me the answers?

18 A. Yes.

19 Q. Who would that be?

20 THE WITNESS: Is that within the scope of
21 this deposition?

22 MS. REIFEL: I don't see anything concerning
23 recalls or withdrawals in the deposition notice.

24 MR. RAPP: I'm just asking who would be able
25 to tell me.

1 MS. REIFEL: It's outside the scope of the
2 deposition notice, so limit it to that.

3 MR. RAPP: Are you going to instruct him not
4 to answer that one?

5 MS. REIFEL: Yes.

6 MR. RAPP: Q. Now, there were recalls of
7 non-heat-treated Factor VIII in 1983, right? You can
8 remember that, can't you?

9 MS. REIFEL: I have a relevancy objection to
10 this entire line of questioning, but I'll let him go ahead
11 and answer.

12 THE WITNESS: Well, the dates are vague to
13 me. I'm just not certain, and I don't wish to speculate.

14 MR. RAPP: Q. Were you involved in the
15 decision to make the recalls of non-heat-treated Factor
16 VIII?

17 A. No.

18 Q. Were you involved in discussions that led up
19 to those recalls?

20 A. I was involved in some discussions, yes.

21 Q. Tell me about those discussions.

22 MS. REIFEL: What do you want to know? I
23 think that's a pretty vague question.

24 MR. RAPP: Q. Let's start with who took part
25 in them.

1 MS. REIFEL: Can we identify which discussion
2 we're talking about, because you've used recalls plural,
3 and I'm not sure he was involved in any more.

4 MR. RAPP: Q. Let's start with the first
5 discussion you can remember about whether or not some
6 Cutter product should be recalled, Cutter non-heat-treated
7 Factor VIII.

8 A. You mean for any reason?

9 Q. Yes. We can exclude things that happened
10 before 1980.

11 MS. REIFEL: Well, I'm going to instruct him
12 to also exclude any recalls for any other reason than it
13 was suspected that the plasma came from a donor who might
14 have AIDS. That's what we're here talking about today and
15 that's what your deposition notice says.

16 MR. RAPP: Q. Okay. Go ahead then.

17 A. The question again, please?

18 Q. You're supposed to tell us about recalls of
19 non-heat-treated Factor VIII because it was suspected that
20 the plasma came from a donor that had AIDS and the
21 discussions that you heard or were a part of.

22 A. I thought you asked me something more
23 specific than that. Was there something more specific?

24 Q. Well, it was first, discussions.

25 A. And your question is just to tell you about

1 those discussions?

2 Q. Yes.

3 A. Well, we had a committee at Cutter at that
4 time that reviewed various matters related to our product
5 line. And there were a number of discussions at our
6 monthly meetings about issues related to all of our
7 biological products, including Factor VIII.

8 Q. What's the name of this committee?

9 A. I think at that time, we called it the
10 Biological Management Committee.

11 Q. Has its name been changed?

12 A. It doesn't exist now.

13 Q. When did it cease to exist?

14 A. I don't remember.

15 Q. What's your best recollection on that
16 subject?

17 A. Two years ago.

18 Q. Why was it disbanded?

19 A. Well, largely because many members of our
20 team moved their place of employment to our headquarters
21 in West Haven, Connecticut.

22 Q. All right. Can you tell me who the members
23 were in 1982, 1983?

24 A. Not specifically.

25 Q. Okay. Just name those that you can remember

1 were members.

2 A. Well, there was a man named Jack Ryan. There
3 was our Director of Regulatory Affairs; his name was
4 Steven Ojala, O- j- a- l- a. There was our Director of
5 Quality Assurance, who was a man named John Cherry. A
6 number of other marketing people, perhaps Wayne Johnson,
7 Jan Hjorth.

8 Q. J- a- n?

9 A. H- j- o- r- t- h.

10 Q. H- j- o- r- t- h?

11 A. Right.

12 Q. And "Jan" is J- a- n?

13 A. Right.

14 Q. What was his area?

15 A. Well, he was largely involved with
16 international affairs as it pertains to marketing our
17 products.

18 Q. And who else was on the Biological Management
19 Committee?

20 A. John Hink; Conrad Turner.

21 I don't believe I remember any others at this time.

22 Q. If you think of any as we go through -- you
23 know, that always happens, "Oh, yes. So and so, Mr.
24 Cutter was on it" -- let us know.

25 Now, some of these people are still with Cutter, I

1 take it?

2 A. Yes.

3 Q. Which ones? Jack Ryan?

4 A. Yes.

5 Q. Ojala is left, of course. Oh, I miss

6 pronounced that, I bet. Is it "Ojala"?

7 A. Yes.

8 Q. John Cherry, is he still with Cutter?

9 A. No.

10 Q. Where does he live now?

11 A. I don't believe I know.

12 Q. Do you know who he works for?

13 A. No.

14 Q. Where did he live when he was here?

15 A. Somewhere in the GRO-C

16 Q. Would your company have any information which

17 might indicate where he is now?

18 A. I don't know.

19 MS. REIFEL: That calls for speculation.

20 MR. RAPP: Q. How about Wayne Johnson: Is

21 he still with your company?

22 A. Yes.

23 Q. And Jan Hjorth?

24 A. No.

25 Q. What's he doing now?

1 A. He's retired.

2 Q. Do you know where he lives?

3 A. No.

4 Q. Where did he last live?

5 A. GRO-C

6 Q. And did he leave your company to go into
7 retirement?

8 A. Yes.

9 Q. How about Conrad Turner: Is he still with
10 your company?

11 A. No.

12 Q. What happened to him?

13 A. He took another job.

14 Q. Where?

15 A. With Alpha Therapeutics.

16 Q. Is he still with them, as far as you know?

17 A. Yes.

18 Q. Were there any minutes, or notes, or even
19 handwritten notes kept regarding the committee meetings by
20 anybody?

21 A. I'm not sure what you mean by "kept."

22 Q. Well, people make notes and stick them in a
23 file, and maybe they're still around someplace.

24 MS. REIFEL: That calls for speculation to
25 the extent you're asking what people might have done and

1 whether any notes might still exist.

2 MR. RAPP: Q. How about it?

3 A. Maybe.

4 Q. Did you keep notes?

5 A. Yes.

6 Q. Do they still exist?

7 A. No.

8 Q. When were they destroyed?

9 MS. REIFEL: If you remember.

10 THE WITNESS: I don't remember.

11 MR. RAPP: Q. What led to the destruction of
12 those notes?

13 A. I moved my office about a year and a half
14 ago, and I did a lot of cleaning up at that time.

15 Q. And you think that's when you tossed them?

16 A. If I had to speculate, I would say that, yes.

17 Q. Now, were these handwritten notes, or were
18 they typed?

19 A. Both.

20 Q. And you had prepared them?

21 A. The handwritten notes, I would have prepared,
22 yes.

23 Q. And who prepared the typed ones?

24 MS. REIFEL: If you know.

25 THE WITNESS: Well, I know there was a

1 secretary to the committee, but I don't recall who that
2 was.

3 MR. RAPP: Q. So there was a practice that
4 you would keep minutes and type them up?

5 A. Say that again, please.

6 Q. Was there a practice that this secretary
7 would keep minutes and type them up?

8 A. Yes.

9 Q. I see. And where would those -- where were
10 those kept?

11 A. Each member would receive the minutes. And
12 if they elected to file them and keep them, that's where
13 they would be.

14 Q. And was there any master set maintained by
15 anyone, the secretary, or the head of the committee, or
16 the company?

17 MS. REIFEL: If you know.

18 THE WITNESS: I don't know for certain.

19 MR. RAPP: Q. Do you know if anyone has
20 checked with the various committee members and the
21 secretary to see if any of these notes or minutes still
22 exist?

23 A. I'm not aware of any such checking.

24 Q. Nobody came to you and said, "Hey, do you
25 still have minutes of the committee?"

1 A. That's correct.

2 Q. And you didn't go to anybody else and ask for
3 their minutes?

4 A. That is correct.

5 MR. RAPP: Well, I'd like to request that
6 someone find out if these minutes do exist and produce
7 them.

8 MS. REIFEL: Fine. You can make a formal
9 request.

10 MR. RAPP: We did, and I think this is
11 covered by our request. But you must have forgotten.

12 MS. REIFEL: I don't know what request you're
13 referring to.

14 MR. RAPP: I know the latest one covered it.
15 And I think the earlier ones, also.

16 Q. So your best recollection is that you threw
17 away your set a year and a half ago?

18 A. That's my best recollection, yes.

19 Q. And at that time, did you have a complete set
20 of all the typewritten minutes?

21 A. I think so.

22 Q. Did these minutes reflect discussions about
23 Factor VIII and AIDS?

24 MS. REIFEL: I thought that was presumed in
25 your question. I'm going to object on the grounds of

1 relevancy to the extent you've been asking for biological
2 committee minutes that didn't have to do with this meeting
3 concerning withdrawal of Factor VIII because it was
4 suspected to come from someone with AIDS. I thought all
5 of of that was presumed.

6 MR. RAPP: You're objecting retroactively?

7 MS. REIFEL: I suppose so.

8 MR. RAPP: Okay.

9 Q. So can you answer my question now?

10 A. I'll have to hear it again.

11 Q. These notes did include discussions about
12 Factor VIII and AIDS?

13 A. To some extent.

14 Q. Do you know if your minutes, your own set, if
15 copies were ever produced to your company's lawyers?

16 A. I'm not aware of any.

17 Q. Do you know if anybody's set of minutes was
18 ever produced to your company's lawyers?

19 A. I'm not aware of any.

20 Q. Or to any lawyers that were asserting claims
21 against your company?

22 A. I'm not aware of any.

23 Q. So a year and a half ago, you moved your
24 office. That would have been somewhere around December
25 1987.

1 MS. REIFEL: What's the relevance of this?

2 Can we move on?

3 MR. RAPP: I just want to try to find out our
4 best view as to when these were discarded.

5 Q. Would it have been about December '87?

6 A. Approximately.

7 Q. Can you tell me if it was before or after
8 Christmas?

9 A. Before.

10 Q. Before Christmas. Why can you say that?

11 A. Because I said earlier, it was about a year
12 and a half. But now when you pin me down, as I recall, it
13 was towards the end of the summer. So it was probably
14 August of 1987. But that's still within my definition of
15 approximately a year and a half.

16 Q. That's fine. So now you can remember it was
17 really around August of '87?

18 A. Yes.

19 Q. Okay, good. Did you talk to anybody about
20 whether you should dump that file of minutes and notes?

21 A. No.

22 Q. Just made the decision entirely on your own?

23 A. Yes.

24 Q. Did you ever find out any information about
25 whether the others that had these were throwing theirs

1 away, also?

2 A. No.

3 Q. Did you ever get any general information
4 about retaining documents from anybody else in the
5 company, any kind of documents?

6 MS. REIFEL: This entire line of questioning
7 is outside the scope of the deposition notice and not
8 relevant. Are you going to move along?

9 MR. RAPP: Well, I will when you leave me no
10 choice.

11 MS. REIFEL: I have a continuing relevancy
12 objection if you're going to proceed in this manner.

13 MR. RAPP: Q. Now, as of August 1987, do you
14 know whether there were any lawsuits pending against your
15 company relating to Factor VIII and AIDS?

16 MS. REIFEL: Objection. Irrelevant.

17 MR. RAPP: Q. Unless she tells you not to
18 answer, I think you can, even if she puts her objection
19 for the record. Correct me if I'm wrong, but --

20 THE WITNESS: Could I hear the question
21 again, please?

22 MR. RAPP: Q. There were lawsuits pending at
23 that time, were there, about AIDS?

24 A. I believe so, yes.

25 Q. Did you think that the notes might have some

1 usefulness in connection with any of those lawsuits?

2 MS. REIFEL: Objection. Calls for
3 speculation, irrelevant, outside the subject matter of the
4 deposition notice. You don't have to answer it.

5 MR. RAPP: Q. Was there any time anybody in
6 your company or outside of your company ever said anything
7 along these lines: "It would be just as well to throw
8 away old papers or documents relating in any way to AIDS
9 and Factor VIII"?

10 MS. REIFEL: Objection. Vague, overbroad,
11 irrelevant outside the scope of the deposition notice.
12 Don't answer it.

13 MR. RAPP: Q. I'm sorry. We got off on the
14 side there about those notes, but you were starting to
15 talk about your recollections of these meetings where
16 there were discussions about Factor VIII and AIDS.

17 Now, are you able to give me any kind of a time
18 frame about when such discussions started or first took
19 place?

20 MS. REIFEL: You're talking about any
21 discussions in general about fact Factor VIII and AIDS, or
22 discussion about a withdrawal or recall?

23 MR. RAPP: The former. I guess I shifted
24 gears, didn't I? I'll have to --

25 THE WITNESS: Well, I suspect, from the

1 broadness of your question, I would say that mostly
2 through '83, '84, we found those subjects subjects of our
3 discussion, yes.

4 MR. RAPP: Q. Can you tell me what prompted
5 the first discussion you can recall about the possibility
6 that there might be an AIDS virus in the Factor VIII?

7 MS. REIFEL: Objection. Calls for
8 speculation. You can go ahead and answer if you know.

9 THE WITNESS: I don't know.

10 MR. RAPP: Q. Well, was there a time when --
11 you know, for the first time you considered the
12 possibility that the AIDS virus might be in the Factor
13 VIII?

14 A. Yes.

15 Q. And what prompted your first thinking on that
16 subject?

17 MS. REIFEL: I'm going to ask you to clarify
18 that question. Are you asking him when he thought the
19 AIDS virus might be in the product, or something that
20 might be responsible for causing AIDS in users? Those are
21 two different questions.

22 MR. RAPP: Q. Okay. You can answer both of
23 them or give me an answer that's broad enough, if you
24 like.

25 A. Well, I think perhaps in 1984, as I recall,

1 early '84, there was a report concerning the transmission
2 of AIDS by a blood transfusion given here in San Francisco
3 to an infant who subsequently developed AIDS, as I recall
4 today was the first time I can think of when it appeared
5 that AIDS was transmissible via blood.

6 Q. You said that was early 1984?

7 A. To the best of my recollection, yes.

8 Q. Is this a 19-month-old infant?

9 A. I don't remember.

10 Q. And where did you hear about this from?

11 A. Probably the first time in the Chronicle.

12 Q. And before that, had you been involved in any
13 discussions about the possibility that the AIDS virus
14 might be in the Factor VIII?

15 MS. REIFEL: I object to the form of the
16 question.

17 MR. RAPP: Q. Can you answer?

18 A. I can't answer it, not in the time frame of
19 prior to 1984 that you just referred to. You asked me
20 prior to early '84, and that is not a relevant question in
21 that time frame.

22 Q. Why not?

23 A. There was no information concerning the
24 etiologic agent responsible for the disease AIDS.

25 MR. RAPP: Can you mark this as Exhibit 1,

1 please?

2 (Plaintiff's Exhibit 1 marked.)

3 MR. RAPP: Q. Do you recognize Exhibit 1,
4 which is a letter or something dated July 9, 1982, to L.G.
5 Hershberger?

6 MS. REIFEL: Can you read it?

7 THE WITNESS: I can't read it, but I believe
8 I've seen it before.

9 MR. RAPP: Q. Okay. Is this a document that
10 was sent to your company?

11 A. Yes.

12 Q. In about July 1982?

13 A. Yes.

14 MS. REIFEL: That calls for speculation, but
15 the document speaks for itself.

16 MR. RAPP: Q. Did you see this document soon
17 after it was received by your company evidently in 1982?

18 A. I don't remember specifically.

19 Q. Based upon where you were positioned in your
20 company and the normal procedures that were followed in
21 your company, would you have seen this?

22 A. Yes.

23 Q. And after this document was received by your
24 company, was it filed away in the business records of
25 Cutter?

1 MS. REIFEL: Calls for speculation.

2 THE WITNESS: I don't know where it would be
3 filed.

4 MR. RAPP: Q. Did you file a copy anywhere?

5 A. I don't remember.

6 Q. Did you put a copy in any kind of folder, or
7 drawer, or --

8 A. I just don't remember.

9 Q. Seeing Exhibit 1 now, does this lead you
10 to -- do you want to correct your prior answer or change
11 it in any way about early '84 as being the first thinking
12 you really did on the subject of AIDS and Factor VIII, or
13 do you still stand by that answer?

14 MS. REIFEL: I think that misstates his prior
15 testimony. We were talking about AIDS and blood
16 previously.

17 THE WITNESS: I can't answer the question in
18 that form. I'm sorry.

19 MR. RAPP: Q. Does Exhibit 1 lead you to
20 question the accuracy of any of your prior answers so far
21 in the deposition?

22 A. No.

23 Q. It doesn't. Okay.

24 After Exhibit 1 came into your company, did you
25 have any discussions about it with anybody?

1 A. Not that I recall.

2 Q. Do you know whether any writings were
3 generated as a result of Exhibit 1?

4 MS. REIFEL: By whom?

5 MR. RAPP: Q. By anyone in your company.

6 MS. REIFEL: Calls for speculation.

7 THE WITNESS: No.

8 MR. RAPP: Q. You don't know. Did you write
9 up any kind of a report --

10 A. No.

11 Q. -- or analysis, or see any that anyone else
12 wrote up?

13 A. Not that I recall.

14 Q. Did anyone at your company do anything as a
15 result of Exhibit 1?

16 MS. REIFEL: Objection. Vague and calls for
17 speculation.

18 THE WITNESS: I don't even know what that
19 means.

20 MR. RAPP: Q. Well, take any action;
21 undertake any studies; have any discussions; respond; do
22 anything; or did you just say, "Oh, who cares?" That's
23 what I'm getting at.

24 A. That's too vague.

25 Q. All right. Was there any kind of action

1 taken by anyone in your company as a result of Exhibit 1?

2 A. Not that I'm aware of.

3 Q. So your best recollection is that no action
4 was taken in response to Exhibit 1?

5 MS. REIFEL: I object to the form of the
6 question. And it calls for speculation as to what anyone
7 did.

8 THE WITNESS: I'm not sure what kind of
9 action you're referring to.

10 MR. RAPP: Q. Well, what I mean is any kind
11 of reaction, or you do anything, or you undertake any
12 discussions, you talk about it.

13 A. Well, I have to presume that we talked about
14 it, yes.

15 Q. And would you have taken part in those
16 discussions?

17 A. Again, I would presume so, yes. But I can't
18 remember specifically.

19 Q: Do you have any general recollections?

20 A. No.

21 Q. As a result of Exhibit 1, did you develop a
22 concern that Factor VIII might contain the AIDS virus?

23 MS. REIFEL: And you're talking about Exhibit
24 1 in isolation without any other information?

25 MR. RAPP: Yes.

1 THE WITNESS: You're asking me about July
2 1982, and you're referring to an AIDS virus. That's
3 impossible to answer such a question.

4 MR. RAPP: Q. All right. I apologize if my
5 questions are lousy. But please tell me why you give that
6 answer, that it's impossible to answer that. Because AIDS
7 hadn't been identified at that time?

8 A. We didn't know anything about viruses and
9 AIDS in 1982.

10 Q. You did know after your company received
11 Exhibit 1 that at least three patients who had received
12 Factor VIII had developed this Pneumocystis Carinii
13 pneumonia, right?

14 A. That's what the document says.

15 Q. That's some information that your company
16 received about July 1982, right?

17 A. That's correct.

18 Q. And that's an unusual disease, isn't it?

19 MS. REIFEL: Do you know?

20 THE WITNESS: I know that it was unusual, but
21 it started to become commonly seen about this time in
22 various segments of our population.

23 MR. RAPP: Q. It was an uncommon disease in
24 the early 1980's, right? You know that?

25 A. -- So I've read, yes.

1 Q. And most of the cases of it since, say, 1982
2 have been because of AIDS, right?

3 A. Yes.

4 Q. That's one of the diseases that people with
5 AIDS develop?

6 A. Yes.

7 Q. And the fact that these three patients with
8 this type of pneumonia had received Factor VIII suggested
9 that there was a possibility that the Factor VIII may have
10 had something to do with the pneumonia, right?

11 MS. REIFEL: Objection. Calls for
12 speculation.

13 THE WITNESS: It's too speculative to say.

14 MR. RAPP: Q. Well, as a scientist, that's
15 something that you would feel would deserve further
16 explanation, right?

17 A. There were any number of explanations that
18 could it be advanced for that, yes.

19 Q: But when you read this memo, it did cause
20 concern in your mind about the safety of your Factor VIII,
21 right?

22 A. We're always concerned with the safety of
23 hemophiliacs. That's a very prime concern we have.

24 Q. And this memo caused added concern, right?

25 A. Well, it's a very vague memo in terms of a

1 cause and effect. So that it could be a concern, but I
2 can't describe the level as high, medium, or low.

3 Q. Didn't this memo hit your company like a bomb
4 shell and really cause a lot of worry about your Factor
5 VIII?

6 MS. REIFEL: Objection. Argumentative. You
7 don't have to answer the question.

8 THE WITNESS: I wouldn't answer that
9 question.

10 MR. RAPP: Q. When you read this memo, no
11 doubt you noticed the last sentence in the second
12 paragraph, "No two of the patients are known to have
13 received concentrate from the same lots," right?

14 A. Where are you reading, please?

15 Q. The last sentence of the second paragraph,
16 "No two of the patients are known to have received
17 concentrate from the same lots."

18 MS. REIFEL: I'm sorry. What was your
19 question with regard to that?

20 MR. RAPP: Q. Well, you read that sentence,
21 of course, back in July 1982, right?

22 A. I presume so.

23 Q. And did that sentence have any meaning to you
24 or significance to you?

25 A. I couldn't remember that far back.

1 Q. What's your -- as you read it now, does it
2 have any meaning to you, or did you draw any conclusions
3 from it?

4 MS. REIFEL: Objection. Irrelevant what he
5 thinks about it now.

6 MR. RAPP: Q. Can you answer?

7 A. I don't even understand the question.

8 Q. Doesn't that last sentence mean that more
9 than one lot of Factor VIII must have -- contain whatever
10 it was that was causing this pneumonia problem?

11 A. No.

12 Q. Why not?

13 A. Because we haven't established that this has
14 anything to do with the pneumonia problem.

15 Q. Now, you do know that the Factor VIII did
16 have something to do with the pneumonia problem, right?

17 MS. REIFEL: Objection as to what he knows
18 now.

19 THE WITNESS: Are we talking about 1989 or
20 1982?

21 MR. RAPP: Q. Now we're talking about 1989.
22 We now know that the Factor VIII was causing pneumonia,
23 right?

24 A. That certainly is the -- in 1989 -- the
25 presumption, yes.

1 Q. That's the current state of the knowledge?

2 A. Yes.

3 Q. And back in July 1982, it wasn't known. It
4 was a possibility that was being explored, right?

5 A. Perhaps, yes.

6 Q. In fact, your company was exploring the
7 possibility, right?

8 A. I don't know what "exploring the possibility"
9 means.

10 Q. Looking into, trying to get an answer.

11 A. No.

12 Q. Your company was not?

13 A. No.

14 Q. At any point, did your company try to explore
15 the possibility that the Factor VIII contained the AIDS
16 virus?

17 MS. REIFEL: Objection. Vague.

18 THE WITNESS: I don't understand the
19 question.

20 MR. RAPP: Q. My question is very simple.
21 At any point in time, did Cutter try to explore the
22 possibility that Factor VIII contained the AIDS virus?

23 MS. REIFEL: Objection. Vague and ambiguous.
24 I don't think he understands what you mean by "explore."

25 THE WITNESS: It is too vague. I'm sorry.

1 MR. RAPP: Q. Is my terminology okay about,
2 you know, the AIDS virus? Should I say HIV or something
3 else?

4 A. That's fine. But we keep jumping in time
5 from '84 to '89 to '82. And it's difficult for me to
6 answer in the context of the time frame that we're dealing
7 with.

8 MR. RAPP: Q. All right. Did we solve our
9 problem about "explore the possibility," or do we need to
10 talk more about what we mean by that?

11 MS. REIFEL: I'll continue my objection as
12 vague. Maybe you could ask -- I don't know.

13 THE WITNESS: You have to understand, in
14 1982, there were a great number of speculations regarding
15 the cause of AIDS. And they were all very, very tenuous.
16 So there was nothing that one could really do to answer
17 your question of explore -- whatever you asked me we were
18 exploring.

19 Yes, we read the journals, we talked to people. We
20 were interested in these many ideas that were set forth as
21 to what would cause AIDS. That's all we know: It was a
22 disease, and nobody knew what caused it.

23 MR. RAPP: Q. Did your company try to do
24 anything to find out whether the Factor VIII was causing
25 AIDS?

1 MS. REIFEL: Objection. Vague, ambiguous.
2 What time frame are we talking about? And I'm going to
3 object to the term "anything."

4 MR. RAPP: Q. How about it?

5 A. I don't know what you mean by "did we do
6 anything to find out."

7 Q. Well, I meant take any steps, analyze
8 anything, do any tests, do anything at all to try to find
9 out if the Factor VIII was spreading AIDS.

10 MS. REIFEL: I object again on the grounds
11 it's vague and ambiguous. Are you talking about this
12 Factor VIII that was referenced in the July '82 document?
13 Are you talking about Koate? What time frame? Are you
14 talking about testing the product, following the patients?

15 MR. RAPP: All those things.

16 MS. REIFEL: Why don't you ask a specific
17 question.

18 MR. RAPP: Q. Let me ask it this way: Can
19 you tell me of any steps your company took to try to
20 determine whether Factor VIII --

21 MS. REIFEL: Cutter's Koate?

22 MR. RAPP: No, just Factor VIII was causing
23 people to come down with AIDS.

24 THE WITNESS: When?

25 MR. RAPP: Q. At any time.

1 MS. REIFEL: I'm going to continue my
2 objection that it's vague and overbroad. If you can
3 answer it --

4 THE WITNESS: Yes.

5 MR. RAPP: Q. What's steps?

6 A. We read the literature. We tried to learn
7 all we could about the disease AIDS. We went to
8 scientific meetings. We listened to the experts.

9 Q. Anything else?

10 A. Not as I understand your question, no.

11 Q. Now, can you tell me whether before July 1982
12 there had been any thought given to the possibility that
13 Factor VIII was causing AIDS?

14 MS. REIFEL: Thought by whom?

15 MR. RAPP: Anybody.

16 THE WITNESS: I don't know.

17 MR. RAPP: Q. Before July 1982, can you tell
18 me whether there was any thought given to that by anybody
19 at your company?

20 MS. REIFEL: Objection. Calls for
21 speculation.

22 THE WITNESS: I'm not aware of any.

23 MR. RAPP: Q. And you certainly didn't think
24 about it before July 1982?

25 A. Certainly not.

1 Q. Now, can you tell me that, as a result of
2 receiving Exhibit 1, you did give thought to that subject?

3 A. I'm not aware of that, no.

4 Q. Your recollection is that it was not until
5 1984 that you began thinking about the possibility that
6 the Factor VIII was causing AIDS?

7 A. I didn't say that.

8 Q. All right. When did you then first give
9 thought to that possibility?

10 A. There was no first. It was, as you lawyers
11 like to say, a preponderance of evidence building up over
12 the year from '82 and '83 and '84 that one began to
13 associate perhaps with greater certainty that the Factor
14 VIII may be causing the disease AIDS.

15 MR. BAYUK: I'm sorry to interrupt. You have
16 a call on Line 9.

17 MR. RAPP: Yes. Let's take a break.

18 (Short break taken.)

19 MR. RAPP: Q. Dr. Mozen, is it true that
20 before July of 1982, you had never heard of any cases of
21 this Pneumocystis Carinii pneumonia in hemophiliacs?

22 A. Yes.

23 Q. And looking at the last sentence of the third
24 paragraph, it is true that your company was being told in
25 July of 1982 that the possibility of a transmissible agent

1 had been suggested for this kind of pneumonia?

2 MS. REIFEL: The document speaks for itself.

3 THE WITNESS: If that's what it says.

4 MR. RAPP: Q. That's what it says. And your
5 company received that information, right, in July of 1982?

6 A. Yes.

7 Q. And your company knew that there was concern
8 about the possible transmission of whatever was causing
9 this pneumonia through blood products?

10 MS. REIFEL: Calls for speculation, and
11 "concern" is vague.

12 THE WITNESS: The document speaks about there
13 is concern, and I would say it speaks for itself, yes.

14 MR. RAPP: Q. And you also had that concern
15 after you received this document, correct?

16 A. I don't know.

17 Q. At some point, you did develop a concern
18 about the possible transmission through blood products of
19 whatever was causing this kind of pneumonia, right?

20 A. Yes.

21 Q. And when was that?

22 A. I thought I explained earlier that there was
23 no single period or no single time when I can say we had
24 the concern. It was a growing concern as the evidence
25 grew.

1 Q. So was Exhibit 1 the first little bit of
2 evidence on that subject?

3 A. It could be considered that, yes.

4 Q. And the evidence continued to build. And
5 then in 1984, a conclusion was pretty much reached that
6 indeed, Factor VIII was capable of transmitting what
7 became known as AIDS, right?

8 A. Yes.

9 Q. Can you tell me whether it was normal or
10 unusual for your company to be receiving a letter like
11 this from the Centers for Disease Control?

12 MS. REIFEL: Calls for speculation. But if
13 you know --

14 THE WITNESS: I don't know. I don't know
15 what you mean by "normal" or "unusual."

16 MR. RAPP: Q. Just, you know, like out of
17 the dictionary. Normal are things that happen frequently;
18 unusual are things that don't happen very often.

19 A. Well, we had I presume received letters from
20 the CDC from time to time. I can't specifically remember
21 what they are or the subject matter.

22 Q. Let's focus on 1980 and 1981. Can you tell
23 me about how often your company would receive letters of
24 this nature from the CDC?

25 A. No, I can't.

1 MS. REIFEL: Objection. Irrelevant. You can
2 answer if you can remember.

3 THE WITNESS: No, I can't remember that.

4 MR. RAPP: Q. Wasn't it quite unusual for
5 the CDC to be sending a letter of this nature to your
6 company?

7 MS. REIFEL: Objection. Irrelevant.

8 THE WITNESS: You want my opinion on the
9 definition of unusual in this instance?

10 MR. RAPP: Q. No, I just want a straight
11 answer to my question, which is: Wasn't it quite unusual
12 for your company to be receiving a letter such as Exhibit
13 1?

14 A. Not in my opinion, no.

15 Q. Why do you give that answer?

16 A. Because in my opinion, that's their job, the
17 CDC. And they were simply doing their job, and I don't
18 find that unusual.

19 Q. Can you give me any idea about how often
20 letters of this nature would be received by your company?

21 A. No.

22 MS. REIFEL: Objection. Irrelevant.

23 MR. RAPP: Q. Is there anybody else in your
24 company that could give me a better idea?

25 MS. REIFEL: Objection. Irrelevant. Don't

1 answer.

2 MR. RAPP: Q. The second to the last
3 paragraph of this letter says that, "Cases of
4 opportunistic infections or suspected acquired
5 immunodeficiency should be reported."

6 Did your company ever make any such reports?

7 A. Not that I'm aware of.

8 Q. Does your company in the course of its
9 business learn of cases of opportunistic infections or
10 suspected acquired immunodeficiency?

11 MS. REIFEL: Objection. Overbroad. Vague
12 and ambiguous. When?

13 MR. RAPP: Let's say in 1982, '83.

14 MS. REIFEL: Did the company receive reports
15 of AIDS?

16 MR. RAPP: Let me just start over.

17 Q. Did you think this second to the last
18 paragraph applied to your company?

19 MS. REIFEL: At the time you read it, if you
20 remember.

21 THE WITNESS: Well, I would assume that it
22 really refers to the treating physician who diagnosis such
23 opportunistic infections to report that to the CDC.

24 MR. RAPP: Q. Okay. Now, do you have a
25 recollection of discussions taking place in July or August

1 of 1982 prompted by this letter?

2 A. No.

3 Q. If there were any discussions, based upon the
4 way things worked at your company, is it likely that the
5 members of the Biological Management Committee that you
6 named earlier would have been involved in those
7 discussions?

8 A. Yes.

9 Q. Have you done any checking with any of these
10 people that were a member of that committee and that are
11 still with your company to see if they had any memories
12 that you don't of those discussions?

13 A. No.

14 Q. Has anyone, to your knowledge?

15 A. Not to my knowledge.

16 Q. So you can't say that Wayne Johnson, for
17 example, wouldn't have recollections of such discussions
18 that you don't have?

19 MS. REIFEL: The question has been asked and
20 answered.

21 MR. RAPP: Q. Will you answer?

22 A. I can't say.

23 MR. RAPP: Susan, I'd like to request that
24 the rest of these people who were positioned so that they
25 would have been involved in those discussions be produced,

1 because they might have knowledge that Dr. Mozen doesn't
2 have.

3 MS. REIFEL: Make a formal request and
4 include the subject matter that you want them to testify
5 to, because I think it's overbroad and is not contained in
6 your deposition notice today.

7 MR. RAPP: Well, I think my deposition notice
8 called for all the knowledge of your company on those
9 subjects. And what seems to be apparent is that there are
10 others who may well have knowledge of those areas, and
11 evidently there's been no checking. So my request is that
12 you produce them pursuant to the deposition notice that
13 we're here for today.

14 MS. REIFEL: Make a formal request. My
15 position is that you have not asked Dr. Mozen a question
16 that is even -- asked him questions that are capable of a
17 response without further clarification. So let's proceed
18 with him, and I think he probably has a lot to tell you.

19 MR. RAPP: Good. But I hereby am formally so
20 requesting.

21 MS. REIFEL: Send out a notice.

22 MR. RAPP: Let's get back to the subject of
23 recalls. I've got a four-page letter here I'd like to be
24 marked as Exhibit 2.

25 (Plaintiff's Exhibit 2 marked.)

1 MR. RAPP: Q. Do you recognize Exhibit 2?

2 A. Yes.

3 Q. What is it?

4 A. Well --

5 MR. BAYUK: Do you need a few minutes to read
6 it, Dr. Mozen?

7 THE WITNESS: I need a few minutes to look at
8 it. It's information related to a withdrawal of our
9 antihemophilic factors made at the end of October 1983.

10 MR. RAPP: Q. Is Exhibit 2 something that
11 was sent out by Cutter to various hemophilia treaters?

12 A. Yes.

13 Q. You recognize the signature of Jack Ryan --

14 A. Uh-huh.

15 Q. -- on page 1?

16 Do you recognize the signature of John H. Cherry on
17 page 2?

18 A. Yes.

19 Q. Was Exhibit 2 filed away in the records
20 maintained by Cutter in the ordinary course of its
21 business?

22 MS. REIFEL: Calls for speculation.

23 THE WITNESS: I would presume so.

24 MR. RAPP: Q. And did you receive a copy
25 yourself in late 1983?

1 THE WITNESS: I don't remember.

2 MS. REIFEL: Of any of these four pages?

3 MR. RAPP: Yes.

4 THE WITNESS: I don't remember.

5 MR. RAPP: Q. Were there any recalls or
6 withdrawals of Factor VIII by your company prior to the
7 recalls that are reflected in this exhibit?

8 MS. REIFEL: Recalls because plasma came from
9 a donor suspected to have AIDS?

10 MR. RAPP: No, I'll just stand by my question
11 as phrased.

12 MS. REIFEL: You've asked that question
13 before, and I'll instruct him not to answer once again,
14 limiting -- you can answer if the question is limited to
15 recalls because plasma was thought to come from a donor
16 suspected of having AIDS. Anything else is irrelevant.

17 MR. RAPP: Q. Go ahead.

18 A. Irrelevant.

19 Q. Okay.

20 MS. REIFEL: Do you want him to answer the
21 question limiting it to AIDS?

22 MR. RAPP: Yes.

23 MS. REIFEL: Okay.

24 MR. RAPP: But I'm not withdrawing my
25 question.

1 Q. Does Exhibit 2 reflect the first recalls or
2 withdrawals that were made by Cutter of Factor VIII
3 because of a concern that the Factor VIII could be
4 transmitting any kind of a virus?

5 MS. REIFEL: Objection. Vague. Go ahead and
6 answer if you can.

7 THE WITNESS: No, because your question
8 contains a premise that it doesn't exist.

9 MR. RAPP: Q. What do you mean by that?

10 A. You asked if we -- if there was any other
11 recall that was due because of a concern of a virus. So
12 the premise is that this recall was made because we had a
13 concern of a virus. And that premise is not correct.

14 Q. I see. Well, let's just say, starting on
15 January 1, 1980, were there any recalls of Factor VIII?

16 A. Prior to January 1?

17 Q. No. I want to start January 1, 1980.

18 A. Yes?

19 Q. What was the first recall.

20 MS. REIFEL: Don't answer it unless it was a
21 recall because plasma was suspected to come from a donor
22 with AIDS.

23 There's a very simple question that you can ask
24 that would speed things along.

25 MR. RAPP: What?

1 MS. REIFEL: Ask him about what recalls there
2 were because plasma was believed to come from a donor with
3 AIDS.

4 MR. RAPP: Okay, great.

5 THE WITNESS: This is it.

6 MR. RAPP: Q. This is the first one?

7 A. Exhibit 2.

8 Q. This is the first one?

9 A. The only one I can recall at this time.

10 Q. First and last?

11 A. Yes.

12 Q. Were there any other recalls that had
13 anything at all to do with AIDS?

14 I mean, your counsel uses very precise wording:
15 Donors suspected to have AIDS. And I don't want to get
16 hung up on whether it was donors or recipients or any
17 other thing that made you or anybody at your company to
18 worry about AIDS.

19 I want to know: Were there any other recalls that
20 had anything to do with AIDS or the possibility of AIDS or
21 this pneumonia?

22 A. Not that I'm aware of.

23 Q. All the other recalls except for that
24 reflected in Exhibit 2 had to do with matters unrelated to
25 AIDS? I'm just restating it, but I want to be sure you --

1 A. Yes.

2 Q. Did any of the other recalls have anything to
3 do with hepatitis?

4 A. In what time frame?

5 Q. Any time frame.

6 MS. REIFEL: Objection. Irrelevant.

7 THE WITNESS: I don't remember.

8 MR. RAPP: Q. Is there somebody else in your
9 company that would be in a better position to answer that
10 question?

11 MS. REIFEL: Don't answer. We're not here
12 talking about hepatitis. It's not contained in the
13 deposition notice.

14 MR. RAPP: Q. Before the recall that's
15 reflected in Exhibit 2, had there been any times where
16 there was discussion about whether or not a recall should
17 be done because of anything related to AIDS or that
18 pneumonia?

19 A. Not that I'm aware of.

20 Q. So Exhibit 2 reflects the first time anybody
21 even thought about recalling a Cutter Factor VIII product
22 because of AIDS?

23 MS. REIFEL: Objection. Calls for
24 speculation and misstates his prior answer.

25 MR. RAPP: Q. Can you answer?

1 A. I don't know what people thought.

2 Q. Well, let's talk about your thoughts and
3 information that you know about as a result of your
4 discussions with other Cutter employees, okay?

5 A. Okay.

6 Q. So was Exhibit 2 the first time that there
7 was ever thought given to your knowledge to a recall
8 because of AIDS?

9 A. Yes.

10 Q. Tell me what led up to the recall that's
11 reflected in Exhibit 2.

12 A. It was a donor in a plasmapheresis center
13 from whom we bought plasma in Austin, Texas. And this
14 donor died of AIDS subsequent to donating his plasma for
15 fractionation.

16 Q. What's the name of that donor?

17 MS. REIFEL: I have a relevancy objection as
18 they're no evidence that the plaintiff in this case ever
19 received any of the lots that came from that donor.

20 MR. RAPP: Well, we're working on that.

21 MS. REIFEL: Pardon?

22 MR. RAPP: That's what we're working on.
23 Maybe we haven't done it yet.

24 Q. Anyway, what's the name of that fellow?

25 A. GRO-A

1 Q. And the plasmapheresis center in Austin, is
2 that operated by Cutter?

3 A. Not to my knowledge.

4 Q. Who operates that?

5 A. I don't know.

6 Q. Cutter does operate some plasmapheresis
7 centers?

8 A. Yes.

9 Q. Where? Let's talk about 1980 to 1984, that
10 time frame.

11 A. In many, many locations throughout the
12 country.

13 Q. How did your company find out that GRO-A
14 died of AIDS?

15 A. I believe the center manager of the Austin
16 center read it in the newspaper and recognized the name,
17 and that individual had donated at the center.

18 Q. Was there any kind of procedure or program
19 set up to try to find out about the health of past donors?

20 MS. REIFEL: I have a continuing relevancy
21 objection to this line of questioning since there is no
22 evidence that plaintiff received a lot that came from the
23 donor in this particular withdrawal.

24 MR. RAPP: Q. Can you answer? Any kind of
25 program or procedure?

1 A. Well, all donors undergo a medical
2 examination and laboratory testing to ascertain the health
3 of the donor. And only -- according to federal
4 regulations, only healthy donors are permitted to undergo
5 plasmapheresis.

6 Q. What I'm getting at, after a fellow has
7 donated plasma, is there any program set up or was there
8 at any time after 1980 to follow their condition?

9 A. No.

10 Q. So it was more or less luck that --

11 MS. REIFEL: Objection. Argumentative.

12 MR. RAPP: All right.

13 Q. It was a fortuity that the manager happened
14 to find out that GRO-A had died of AIDS, right?

15 A. I'm not sure I would select the word
16 "fortuitous." It happened.

17 Q. It wasn't the result of any procedure, right?

18 A. No procedure.

19 Q. Other than GRO-A, has your company gained
20 information on the continuing health of any other donors?

21 MS. REIFEL: Objection. Irrelevant. You can
22 answer it, if you know.

23 THE WITNESS: All donors, as I testified
24 earlier, have to meet the criteria of being healthy at the
25 time they donate. Many of them are repeat donors; they

1 come back week after week. And to that extent, their
2 health is monitored. Many of the donors are transients.
3 And to that extent, after they donate, we don't always
4 know their whereabouts.

5 MR. RAPP: Q. Other than GRO-A has your
6 company ever received any information or indication that
7 any other donors have seroconverted?

8 MS. REIFEL: Objection. Irrelevant.

9 MR. RAPP: Q. Did I use the right word
10 there? Become seropositive?

11 A. What's the time?

12 Q. Any time after January 1980.

13 A. Could you repeat that question?

14 Q. We know GRO-A came down with AIDS, right?

15 A. Correct.

16 Q. Do you know whether any other donors to
17 Cutter Factor VIII either became seropositive or came down
18 with AIDS after January 1, 1980?

19 MS. REIFEL: Objection. Irrelevant. And I'm
20 going to ask you to limit it to the end of 1985.

21 THE WITNESS: Well, I can only say that the
22 law does not permit a donor who is seropositive to donate.
23 So the answer would be no, because we adhere very strictly
24 to the regulations as promulgated by the FDA.

25 MR. RAPP: Q. But you'd agree that it's

1 Q. Does your company keep track of the buyers of
2 each lot of Factor VIII?

3 A. Yes.

4 Q. Why?

5 A. I don't know why. We just do.

6 Q. That's from a song.

7 A. That's right. I know that song.

8 MS. REIFEL: Just a point of clarification,
9 when you say "buyers," you say the entity that Cutter
10 sells the product to.

11 MR. RAPP: Yes.

12 MS. REIFEL: Not the user.

13 MR. RAPP: Right.

14 Q. Mr. Ryan says on page 1 of Exhibit 2 that,
15 "Cutter has sufficient inventories of all product codes to
16 meet your needs."

17 I take it that statement was true?

18 A. I presume so, yes.

19 Q. Do you have any personal knowledge on that
20 subject?

21 A. No.

22 Q. Do you know whether there was any -- let's
23 say from 1980 through 1989, through the present, would
24 that same statement be generally true throughout that
25 period?

1 MS. REIFEL: Objection. Irrelevant. And I'm
2 going to limit it to the end of '85. If you can answer --
3 I wasn't aware that there was any allegation that Cutter
4 didn't provide enough product for plaintiff.

5 MR. RAPP: Q. Can you answer?

6 A. I know, from time to time, the availability
7 of Factor VIII is in short supply. But specifically, what
8 years and when that is, I couldn't tell you.

9 Q. Is there somebody else in your company that
10 would have that information?

11 A. Yes.

12 Q. Who?

13 A. Probably Wayne Johnson as an example.

14 Q. So your company must have records that show
15 its inventory?

16 A. Yes.

17 Q. And people like Wayne Johnson would have that
18 information?

19 A. Certainly access to that information.

20 Q. But you can't really give me information
21 about the level of the inventories from time to time?

22 A. Only that they fluctuate.

23 MS. REIFEL: I think you're getting beyond
24 the scope of the deposition notice, at least to the extent
25 that I said Mr. Mozen was here to talk about.

1 MR. RAPP: I'd like to formally request that
2 Wayne Johnson or somebody else with knowledge of the
3 inventory levels be produced.

4 MS. REIFEL: Fine. Send out a notice
5 defining the subject matter that you want him to talk
6 about. I don't think you've done it yet. It's a little
7 bit late.

8 MR. RAPP: Not so. I have until May 10. And
9 it's my position it's within the scope of the present
10 notice, but I know you don't agree.

11 Q. Now, were you personally involved in the
12 discussions that led up to this recall?

13 A. Yes.

14 Q. Just generally tell me your recollections of
15 those discussions.

16 A. Well, my recollection is that the sudden
17 discovery that one of our donors died of AIDS prompted us
18 to check into our production records as to which lots of
19 product were made from that plasma. And that because of
20 the great uncertainties as to the cause of AIDS, but
21 perhaps a growing suspicion that it might be a
22 transmissible disease, it was deemed the safe and prudent
23 thing to do, to recall the product.

24 Q. So who did you have these discussions with?

25 A. Mostly our salespeople, our regulatory

1 people, our quality assurance people, production.

2 Q. I need some names. Mr. Ojala?

3 A. Oh, yes. Mr. Ojala, at that time I believe
4 he was our responsible head.

5 MS. REIFEL: I'd just like to note a
6 relevancy objection again since there's no evidence that
7 this plaintiff in this case got this product, at least no
8 evidence that's been provided to me.

9 MR. RAPP: Well, that's the guy tomorrow
10 that's going to link that up, right?

11 MS. REIFEL: He's going to tell us what
12 product your client used? I wish somebody could.

13 MR. RAPP: No. Where these lots went.

14 Q. By the way, was your company successful in
15 getting back all of these lots?

16 A. When you say "getting back all of these
17 lots," you mean every last vial that was out in the field?

18 Q. Right.

19 A. No.

20 Q. You know you were not?

21 A. Yes, I know we were not.

22 Q. So some of the product that contained plasma
23 from Mr. GRO-A that ended up dying of AIDS was never
24 recovered?

25 A. ...That is correct.

1 Q. Are there -- is there some way we can find
2 out which of the lots were totally recovered and which
3 were not?

4 MS. REIFEL: Objection. Irrelevant. Don't
5 answer the question. It's outside the scope of the
6 deposition notice.

7 MR. RAPP: Q. Well, how many approximately
8 discussions were you personally involved in that led up to
9 this recall?

10 A. Few. Two or three perhaps.

11 Q. And let's see. The recall is taking place
12 October 31, 1983. Approximately when were the
13 discussions?

14 A. Approximately October 1983. I couldn't
15 pinpoint it any closer than that.

16 Q. So about when did Cutter learn that this
17 GRO-A had died of AIDS?

18 A. About the same time.

19 Q. So you moved very quickly in effecting this
20 recall?

21 A. Yes.

22 Q. And about how long after Cutter learned that
23 GRO-A had died of AIDS did Cutter decide to recall the
24 product?

25 A. I don't know precisely. But I think I just

1 testified that it was a relatively short time.

2 Q. And who actually made the decision that this
3 recall would be ordered?

4 A. There would be several people involved in
5 that kind of decision. Mr. Ryan at this time was the
6 division vice president and general manager; our director
7 of quality assurance.

8 Q. Who was that?

9 A. That was John Cherry at that time.
10 And presumably Dr. Ojala would have been involved
11 in that decision.

12 Q. Anyone else?

13 A. Not that I'm aware of.

14 Q. Were you at a meeting where the decision was
15 actually made?

16 A. Well, you know, when you say "it was actually
17 made," I was certainly at meetings when we were leaning in
18 that direction. I don't know the precise moment that
19 someone said, "This is when it will be done."

20 Yeah, I would say I was.

21 Q. Now, did anyone suggest that the recall
22 should not be done?

23 A. Not in those terms.

24 Q. Well, I gather there were some that were for
25 recall and some that were not. Who was suggesting not?

1 MS. REIFEL: Objection. Irrelevant.

2 THE WITNESS: I can't really remember that
3 far back or the specifics. I don't recall.

4 MR. RAPP: Q. Was every one of like mind
5 about whether or not to have a recall?

6 A. As far as I recall.

7 Q. What was your position?

8 A. My position was that I didn't believe the
9 product would be any more at risk than others. But
10 because of the uncertainties of the causative -- or
11 because of the uncertainties of what was causing AIDS, if
12 we wanted to be ultrasafe, we should do the recall.

13 Q. So I gather then that because AIDS is deadly,
14 you felt it was appropriate to be ultrasafe?

15 A. It was what?

16 Q. Appropriate to be ultrasafe?

17 A. Yes.

18 Q. I'm not quite sure I understand this. You
19 say you didn't think that the product was necessarily any
20 more at risk than the rest of the product. Can you
21 explain that?

22 A. We didn't know that AIDS was transmissible by
23 Factor VIII or by blood or blood products. That was one
24 of the speculations of the time.

25 Q. It turns out that speculation is true, right?

1 A. Yes.

2 Q. I'm sorry for butting in. Go ahead.

3 A. That's it.

4 Q. Well, you say you didn't think this
5 particular product that GRO-A had donated to was any
6 more at risk than any others. Why do you say that?

7 A. Because Mr. GRO-A would have been one
8 donor in a pool of plasma which could represent as many as
9 10 or 15 thousand donors. And it was hard for me to
10 scientifically acknowledge that, with that kind of a
11 dilution, that the product derived from that pool could
12 transmit a disease.

13 Q. What do we know now about this dilution
14 issue?

15 MS. REIFEL: Objection. You don't have to
16 answer what you know today.

17 THE WITNESS: You have to keep it in the time
18 frame.

19 MR. RAPP: Q. We do know today that even
20 though there's a dilution, that the AIDS can still be
21 transmitted, right?

22 A. No.

23 Q. What is the current state of knowledge?

24 MS. REIFEL: Objection. Irrelevant. But you
25 can go ahead and answer if you understand the question.

1 THE WITNESS: I would say what we know today
2 would be that if, in fact, Mr. GRO-A was the only
3 donor in that pool that was infectious, based on today's
4 information, the product derived would not be infectious.

5 MR. RAPP: Q. Can you explain that? What's
6 the basis for that?

7 A. The basis is that there are now -- there is
8 no information about, for example, how many virus
9 particles one might expect to find in an infected plasma.
10 We can calculate how much the dilution would be. We know
11 how much of the virus is destroyed during the processing
12 or the manufacture of Factor VIII. And the bottom line
13 is, there wouldn't be enough virus remaining to infect
14 anyone.

15 Q. So that if there's only one donor with AIDS,
16 all the vials would be safe, or would a certain number of
17 them that happened to get the virus be unsafe?

18 MS. REIFEL: A certain number of vials or
19 users?

20 MR. RAPP: A certain number of the vials.

21 MS. REIFEL: So you're asking whether there's
22 a difference in vials made from the same lot?

23 MR. RAPP: Q. You seemed to be saying if
24 it's diluted enough, it's probably safe. I want to
25 understand whether they're all safe.

1 A. Yes.

2 Q. They're all safe?

3 A. Yes.

4 Q. Because they might have some virus, but it
5 takes more than just a few of these viruses to get you?

6 A. Well, the few viruses you're talking about
7 from the information we have would be inactivated by the
8 processes used to prepare Factor VIII.

9 Q. Now, are we talking about the days before
10 heat treating?

11 A. Yes.

12 Q. You say there's some information. Is this
13 some kind of a study or something?

14 A. Yes.

15 Q. What are these?

16 A. Well, we published some information showing
17 that the virus which we now call HIV when deliberately
18 added to plasma and then fractionated to Factor VIII, even
19 without heat treatment, that a large portion of that virus
20 is inactivated.

21 Q. Where did you publish these?

22 A. Well, that particular paper was published in
23 a journal called the Lancet.

24 Q. And who wrote that?

25 A. I was one of the co-authors, along with Dr.

1 Jay Levy from the University of California San Francisco,
2 and another colleague of mine, Dr. George Mitra,
3 M- i- t- r- a, at Cutter.

4 Q. And do you remember what issue that was?

5 A. No.

6 Q. What year?

7 A. '84 or '85.

8 Q. Would you be willing to issue a copy to your
9 counsel so she can pass it on to me, please?

10 A. Certainly.

11 Q. Did you come up, as a result of any of your
12 work, with a number of donors that would have to go into a
13 pool before it could become infectious?

14 A. No.

15 Q. One is not enough, but no idea about how many
16 it would take?

17 A. No. Because one has to speculate on the
18 level of infectivity, and that will vary considerably with
19 the donor. So I'm just giving you a range. I think I can
20 say with certainty that one would not be enough, but I
21 can't say whether 10 might be enough.

22 Q. What's the best information you do have on
23 the number it would take?

24 A. I don't know the number; only that I will say
25 it's greater than one.

1 Q. Can you give me any better range?

2 MS. REIFEL: The question has been asked and
3 answered. I think he's told you "no."

4 THE WITNESS: The best I can do.

5 MR. RAPP: Q. Have you ever articulated to
6 anybody any range?

7 MS. REIFEL: The question has been asked and
8 answered.

9 MR. RAPP: No, it's a little bit different.

10 Q. I know you say you don't want to now. But in
11 any discussions you've ever had with anybody, have you
12 ever articulated a range?

13 A. You mean a range of donors?

14 Q. Yeah. The number of donors that it would
15 take to make a particular pool infectious.

16 MS. REIFEL: I'm not going to allow him to
17 speculate. If he says he doesn't know, he doesn't know.

18 MR. RAPP: I'm not asking him to speculate.
19 I'm asking what he said.

20 THE WITNESS: The answer is "no."

21 MR. RAPP: Q. You never said to anybody?

22 A. No, not to my recollection.

23 Q. Did you ever hear from anybody else any
24 range?

25 A. Well, there was a publication by the people

1 at the Food & Drug Administration in which they estimated
2 how many infectious particles it would take. And I
3 presume one could translate that into donors, but I
4 haven't done that.

5 Q. Any other information you got about a range?

6 A. No.

7 Q. Maybe from Dr. Levy or Dr. Mitra, or anybody
8 else saying --

9 A. Well, Dr. Levy probably talked to me about
10 it, but I don't recall exactly what numbers he came up
11 with.

12 Q. What's your best recollection?

13 A. No recollection.

14 Q. Why did you do this particular study, anyway?

15 A. Because of our interest in preparing safe
16 products for our users.

17 Q. Well, let's see. You were heat treating at
18 that time, weren't you?

19 A. Yes.

20 Q. And had no plan to sell any non-heat-treated
21 product, right?

22 A. At what time frame?

23 Q. At the time you were publishing your article
24 in Lancet.

25 A. That's right.

1 Q. Really, the question you were addressing in
2 your work is whether the material that had been sold in
3 the past and wasn't being sold any more was infectious.

4 MS. REIFEL: Is that a question?

5 MR. RAPP: Q. Right?

6 A. Wrong.

7 Q. Why is that wrong?

8 A. We were trying to determine whether the
9 heating of the product was doing it any good.

10 Q. Did you come up with an answer for that?

11 A. Yes.

12 Q. What's the answer?

13 A. We determined that the heat treatment
14 protocol that we developed was effective in destroying the
15 AIDS virus.

16 Q. That's clear today, right?

17 A. Pardon?

18 Q. That's one thing that's clear today?

19 A. Yes.

20 Q. And is the heat treating a hundred percent
21 effective in destroying the virus?

22 A. Yes.

23 Q. When did that become known?

24 A. There was no particular certainty. I would
25 say that the studies that I referred to that we published,

1 which also then included a heat treatment and I have to
2 stress under the protocol that we at Cutter used was
3 effective in inactivating AIDS virus.

4 Additionally, it took several years of clinical
5 follow-up in patients to ascertain with certainty that
6 there were no further seroconversions following the
7 exclusive use of heat treatment heated by our protocol.

8 Q. At the time you started selling the
9 heat-treated Factor VIII, was it fairly clear that the
10 heat treating was effective in destroying the AIDS virus?

11 A. No.

12 Q. What was the state of knowledge?

13 A. At the time we were licensed to sell
14 heat-treated product, we still didn't know that AIDS was
15 transmitted by a virus.

16 Q. Yes. But was it known that the heat treating
17 was effective in killing any viruses that were in there?

18 A. No, it was not known.

19 Q. It is known now?

20 A. Yes.

21 Q. And was it believed that, in all probability,
22 the heat treating was effective?

23 A. It was not known.

24 Q. It was believed though?

25 A. In science, belief doesn't mean too much.

1 Q. Why did you start marketing the heat-treated
2 Factor VIII?

3 A. As a hope.

4 Q. In the hope that it would be -- wouldn't have
5 those viruses, right, especially AIDS?

6 MS. REIFEL: What viruses?

7 MR. RAPP: Any viruses, but especially AIDS.

8 THE WITNESS: At the time, as I said several
9 times, we didn't know that AIDS was transmitted by a
10 virus.

11 MR. RAPP: Q. Yes. But the heat-treated
12 product was developed on the assumption that AIDS was
13 transmissible by a virus, right?

14 A. No. It was hoped that if AIDS or, in fact, a
15 transmissible disease, that whatever the vector of
16 transmission was, it would be destroyed by the heat
17 treatment that we had adopted.

18 Q. Okay. So let's see now. When did Cutter
19 start selling heat-treated Factor VIII?

20 A. Early in 1984.

21 Q. And when did Cutter stop selling
22 non-heat-treated Factor VIII?

23 A. Later in 1984.

24 Q. When Cutter stopped selling the
25 non-heat-treated Factor VIII, was there any inventory

1 left?

2 A. I don't know.

3 Q. Who would know that?

4 MS. REIFEL: Objection. Irrelevant.

5 THE WITNESS: I suppose some of our marketing
6 people.

7 MR. RAPP: I'd like people with that
8 knowledge to be produced, please.

9 MS. REIFEL: Make a formal request. It's
10 outside the scope of your deposition notice and anything
11 that you've asked in this case to date.

12 MR. RAPP: I think it's within it, and I'd
13 like you to bring that person.

14 Q. Now, was there discussion within your company
15 when the heat-treated Factor VIII came on line as to
16 whether you should continue selling the non-heat-treated?

17 A. Yes.

18 Q. Were you involved in those discussions?

19 A. Off and on.

20 Q. What's your first recollection of those
21 discussions?

22 A. That we discussed that issue.

23 Q. This is you and who else?

24 A. Probably members of that committee that I
25 referred to earlier.

1 Q. And were there different points of view?

2 A. I presume so. I don't know the specifics.

3 Q. What was your point of view?

4 A. That we didn't know yet whether heating was a
5 benefit.

6 Q. Therefore, may as well keep selling the
7 non-heat-treated?

8 A. Well, the non-heat-treated was requested by
9 our users until we could provide evidence that heating it,
10 which caused a -- which caused us to have to charge a
11 higher price because of a yield loss. We had to convince
12 the user that a product that's been heated is of some
13 benefit to his patient.

14 Q. All right. I want to find out the month that
15 you actually started selling the heat-treated and the
16 month that you actually stopped selling the
17 non-heat-treated. You can't tell me from your memory?

18 A. Well, we were licensed I think in February of
19 '84. And I don't recall when the cessation of selling
20 non-heat-treated occurred.

21 Q. How can I find that out?

22 A. You have to ask the right people, I guess.

23 Q. Who would that be?

24 A. Somebody in our marketing group.

25 Q. Marketing?

1 A. Yes. They're the people who sell the
2 product.

3 Q. Now, you say there was a difference in price.
4 What's your recollection as to the difference in price
5 back in '84?

6 MS. REIFEL: Objection. Irrelevant and
7 outside the scope of the deposition notice.

8 THE WITNESS: I don't recall the price.

9 MR. RAPP: Q. What's your best recollection?

10 A. No recollection, other than it was more.

11 Q. Can you give me an approximate percentage,
12 like 50 percent more?

13 A. No.

14 Q. You said that the users were still requesting
15 non-heat-treated?

16 A. That's correct.

17 Q. What do you base that on?

18 A. Fact.

19 Q. Exactly what kind of facts? They called you
20 up and said, "No, I don't want that lousy heat-treated. I
21 want that good old non-heat-treated"?

22 A. Correct.

23 Q. That sort of thing?

24 A. Correct.

25 Q. And you tried to convince them they should

1 consider the heat-treated?

2 A. Yes.

3 Q. What did you say?

4 A. I showed them the evidence for the
5 inactivation of certain viruses.

6 Q. Go ahead, please.

7 A. Essentially that.

8 Q. What evidence was that?

9 A. Well, we had looked at a few model viruses
10 that were not relevant to human disease, but that we could
11 demonstrate were inactivated by the protocol that we had
12 adopted.

13 Q. There must be some, like, reports or
14 scientific studies or memos, right?

15 A. Oh, yeah. We published that information.

16 Q. Where?

17 A. Lancet.

18 Q. Lancet. I'm going to have to subscribe to
19 that.

20 A. We had another one. I can't recall the name
21 of the journal now. I can't recall.

22 Q. Well, these would be things discussing
23 possible changes in Factor VIII, right? All these studies
24 you're talking about would be studies of whether there
25 should be changes in the process by which Factor VIII is

1 manufactured, right?

2 A. Changes in the sense of subjecting it to a
3 heat treatment process. That's correct.

4 MR. RAPP: I'd like to ask that you folks be
5 sure to produce this material in response to our
6 previously issued document request.

7 MS. REIFEL: I'd like to ask you to make a
8 formal request and give us reasonable notice. You've
9 never requested any such documents.

10 MR. RAPP: Didn't you get my document request
11 in the GRO-A case that we issued April 10? I think it's
12 covered in there, so I hope you guys are digging that out
13 for production.

14 Q. So you personally had these communications
15 with people where you showed them the evidence that the
16 heat treating protocol Cutter had developed was
17 inactivating viruses?

18 MS. REIFEL: I think that misstates his prior
19 testimony. But if you want to ask that question --

20 MR. RAPP: Q. Was my question --

21 A. Correct.

22 Q. -- fouled up?

23 A. No, that's fine.

24 Q. And these people you were having these
25 discussions with, who were they?

1 A. Well, in one case, there was something called
2 MASAC, which is the Medical Advisory -- I forgot what it
3 stands for. It's a medical advisory committee to the
4 National Hemophilia Foundation.

5 Q. And were you successful in persuading them?

6 A. Reasonably. They issued a statement
7 following my presentation suggesting that physicians
8 consider the use of heat-treated product.

9 Q. You wish they had gone further, right?

10 A. I presented the data. The decision was
11 theirs. My personal view was that the heat-treated
12 product offered a degree of safety that was greater than
13 the non-heat-treated product.

14 Q. You said that there had been a study that had
15 shown model viruses were inactivated by this heat
16 treating. Can you tell me a little bit more about that?

17 A. Well, this would be a study in which viruses
18 that can be grown to high titer can be added to the Factor
19 VIII product and fractionated through the process, and
20 ultimately subjected to the heat treatment and shown to be
21 inactivated.

22 Q. Then you have a control that you don't heat
23 treat, right?

24 A. Yes.

25 Q. And how do you test it to find out whether

1 the viruses are inactivated or not?

2 A. When viruses are active or alive, they grow
3 in certain special cells. And one can measure their
4 growth by various processes or procedures.

5 Q. Even after the Factor VIII has been
6 heat-treated, you're able to test it to see if it had
7 AIDS, or these model viruses in it?

8 A. Yes. If the model viruses are there, they'll
9 grow after heat-treated. If they have been inactivated,
10 they will not grow.

11 Q. Right. So it is possible to take -- process
12 Factor VIII and find out if it has viruses?

13 A. No.

14 Q. Why not? I thought that was going to be
15 "yes."

16 A. It doesn't work.

17 Q. Why not?

18 A. I don't know exactly why not, but it doesn't.
19 You mean if you're talking about taking a Factor VIII to
20 which no virus has been added, can we find virus in there?

21 Q. Yes.

22 A. The answer is no.

23 Q. Nobody has ever been able to develop a way to
24 do that?

25 A. That's correct.

1 Q. So if I were to give you some vials of Factor
2 VIII, there is no way anybody could test it to find out if
3 it contained the AIDS virus?

4 A. To my knowledge, there is no way that could
5 be done.

6 Q. That's because it's just scientifically
7 impossible to test it?

8 A. Well, it's been tried repeatedly and has not
9 been successful.

10 Q. The current state of the knowledge is that
11 there is no known way to find out if Factor VIII that has
12 been processed has the AIDS virus in it?

13 MS. REIFEL: It's been asked and answered.

14 MR. RAPP: I know. I just wanted to --

15 MS. REIFEL: Believe it or not.

16 MR. RAPP: I know. I just wanted to hear it
17 again.

18 THE WITNESS: Yes.

19 MR. RAPP: Q. If a patient had saved one
20 vial of every lot of Factor VIII that he ever took in his
21 life, it would be scientifically impossible to test that
22 product and to determine which lot contained the AIDS
23 virus, if one did?

24 A. That is my opinion.

25 Q. So if a patient had kept a record or a log of

1 each lot and brand of product that he took, that wouldn't
2 help find out which particular lot caused him to
3 seroconvert if he had, right?

4 A. Correct.

5 Q. Because even with the log, you couldn't do
6 anything to check to find out whether the particular
7 product had the virus in it or not?

8 A. That is correct.

9 Q. So a person that has taken Factor VIII over a
10 period of time, there is never going to be any way to find
11 out when he took contaminated Factor VIII, is there?

12 MS. REIFEL: Objection. Vague overbroad.
13 Calls for speculation.

14 MR. RAPP: Q. Based on the current
15 knowledge?

16 A. That would be my opinion.

17 MR. BAYUK: Dr. Mozen, the log, assuming a
18 patient maintained one, would help identify which
19 fractionators of Factor VIII concentrate the patient did
20 receive, correct?

21 MR. RAPP: Excuse me. I'd like to object to
22 these kind of information-laden questions in the middle of
23 my examination.

24 MR. BAYUK: Just following up on the same
25 line, Counsel. If you'd rather we wait until the end,

1 we'll do that.

2 MR. RAPP: I really would.

3 MR. BAYUK: Okay.

4 MR. RAPP: Q. Do you know if anybody has
5 ever tried to develop a way to find out if the processed
6 Factor VIII has AIDS virus in it?

7 A. I know it's been looked at in several places
8 without success.

9 Q. Who has looked at that?

10 A. Some people at the CDC and at the FDA.

11 Q. Anyone else?

12 A. I'm sure there are others, but I couldn't
13 tell you who.

14 Q. How about any of the fractionators?

15 A. I couldn't speak for all the fractionators.

16 Q. Has Cutter ever tried to?

17 A. No.

18 Q. Has it ever considered whether to try to?

19 A. Yes.

20 Q. What consideration was given to it?

21 A. We were discouraged by the failure of others,
22 who are more heavily involved in the actual virus
23 research. We were discouraged by their inability to do
24 it.

25 Q. Was there somebody within the company that

1 wanted to pursue the issue?

2 A. It was discussed.

3 Q. Who wanted to do it?

4 A. I can't recall.

5 Q. Did you?

6 A. Well, if I wanted to do it, I would have
7 wanted to do it outside of the company. We don't have a
8 facility inside that allows us to work with infectious
9 agents of that nature.

10 Q. Did you actually want to try and develop a
11 test?

12 A. We discussed it, yes.

13 Q. You and who else?

14 A. I can't remember.

15 Q. And what was their response?

16 A. I think I just testified that, given the
17 inability of people like the research staff at the CDC --
18 their inability to do this was something that would
19 discourage us from pursuing that any further.

20 Q. Was that the only reason given as to why not
21 to try to develop a test?

22 MS. REIFEL: By whom? I don't understand the
23 question.

24 MR. RAPP: Given by anyone in your company.

25 MS. REIFEL: Calls for speculation.

1 THE WITNESS: Not that I can recall.

2 MR. RAPP: Q. Do you remember people saying
3 in effect, "Why should we develop such a test? It will
4 just show which product maybe has caused people to come
5 down with AIDS"?

6 A. No, I don't recall that.

7 Q. There was no consideration given to anything
8 like that?

9 A. No.

10 Q. When a particular lot of Factor VIII is sold
11 by Cutter, does Cutter retain some samples from that lot?

12 A. Yes.

13 Q. And how long are they saved?

14 A. Through expiration.

15 Q. Through expiration?

16 A. Correct.

17 Q. And then what happens?

18 A. Dumped.

19 Q. And has that been the practice since 1980?

20 A. As far as I know, yes.

21 Q. Why is that the practice?

22 MS. REIFEL: If you know.

23 THE WITNESS: Yes. It's because if there is
24 any report from the field that we feel needs to be
25 investigated and the samples retested for certain of the

1 many released tests that are performed on each lot, then
2 we have samples available to do that.

3 MR. RAPP: Q. But why does the expiration
4 date mean there won't be any further need for testing?

5 A. Because it isn't used after that date.

6 Q. But people might develop problems after that
7 date as a result of using it before and have need to check
8 the product.

9 MS. REIFEL: Argumentative. Objection.

10 MR. RAPP: Q. Do you agree?

11 A. No, not necessarily.

12 Q. Why not?

13 A. Because the significance of such tests after
14 expiration are really not meaningful.

15 Q. I don't understand that.

16 A. Well, for example, if the complaint were that
17 the product didn't have the potency that was claimed on
18 the label and we tested it after expiration, it wouldn't
19 really give us the answer of whether that potency were
20 there at the time it was used or not.

21 Q. Okay. I can see that part. But if someone
22 were to develop a test to determine if processed Factor
23 VIII has a virus in it tomorrow, it would be useful to
24 have those old vials, wouldn't it?

25 A. Perhaps.

1 Q. Has there been any consideration since 1980
2 to saving the samples longer?

3 MS. REIFEL: Consideration by whom? The FDA
4 or --

5 MR. RAPP: Q. By anybody?

6 A. I don't know.

7 Q. I'm not aware of any.

8 Q. Is it expensive to retain these samples?

9 A. No.

10 Q. Wouldn't cost much money to keep them for 20
11 years?

12 A. Perhaps.

13 MS. REIFEL: I need to take a break either
14 now or in a few minutes. I don't know if this is a good
15 stopping point.

16 MR. RAPP: This is okay.

17 (Short break taken.)

18 MS. REIFEL: I've just informed Mr. Rapp that
19 Dr. Mozen is not available for much more than another hour
20 of testimony, and he was leaving for out of town tomorrow.
21 So if Mr. Rapp has indicated that he has many more hours
22 of questions, it appears we'll have to reconvene at
23 another time.

24 I want to note he has asked questions beyond the
25 scope of the deposition notice which has taken a

1 considerable amount of time, and that plaintiff was
2 notified that Dr. Mozen was available to begin at 2:30.
3 And I never heard anything until late this morning, any
4 indication that could we perhaps start a little earlier.

5 MR. RAPP: Well, I thought it was like coming
6 down from the mountain, that I couldn't start before 2:30
7 because of some problems with somebody else's scheduling.
8 But I was hoping we could keep going.

9 MS. REIFEL: But I had no indication that you
10 would need more than a day with Dr. Mozen.

11 MR. RAPP: But I'm only being given a few
12 hours.

13 MS. REIFEL: It's my position that you barely
14 touched on what's contained in the deposition notice, and
15 we've wasted time discussing other matters. You've
16 indicated a desire to depose other Cutter witnesses, even
17 though this case was filed a year and a half ago and there
18 hasn't been any discovery of Cutter witnesses. And now
19 here we are on the eve of summary judgment and trial, I
20 think this is being done to continue matters. But in any
21 case --

22 MR. RAPP: We'll save this argument for
23 another day, and everybody reserves all of their
24 positions. And let's use --

25 MS. REIFEL: My position is, we made him

1 available today and I didn't know it would take longer
2 than today. But we're going to have to quit about 6:00.

3 MR. RAPP: Q. Putting aside the lots that
4 were recalled in 1983, has Cutter ever gotten any reason
5 to suspect that there are any other lots that may have
6 contained the AIDS virus?

7 MS. REIFEL: Objection. Vague as to the term
8 "suspect," but you can answer it.

9 THE WITNESS: No. Because it's a "when did
10 you stop beating your wife" question.

11 MR. RAPP: Q. Well, setting aside those
12 recalled lots and the GRO-A situation, have you gotten
13 any information at all whether any other lots contained
14 the AIDS virus?

15 A. As I testified that once we began heating the
16 product, which was prior to the identification of a virus
17 as the cause of AIDS, the heating procedure was of such a
18 nature that it inactivated the AIDS virus.

19 Q. All right. So from that, you conclude, I
20 take it, that once all the non-heat-treated product was no
21 longer being sold, then Factor VIII was not going to be
22 spreading AIDS anymore, right?

23 A. Yes.

24 Q. But what I want to ask you about is products
25 that were sold in '80, '81, '82, '83, any information that

1 any of them contained the virus.

2 A. Was there any information in those years that
3 those products contained a virus?

4 Q. Well, information on through the present.

5 A. Only speculation. We know that a large
6 number of hemophiliacs -- we know this retrospectively --
7 have seroconverted to HIV antibody positive. And by
8 historical analysis of their plasma samples, it's been
9 determined that the vast majority of them seroconverted in
10 the years 1980, '81, '82, '83.

11 And given that information, it's assumed that the
12 cause of that infection -- the cause of that infection was
13 the Factor VIII concentrates that they were taking. But
14 there's never been any what we would call absolute proof
15 of cause and effect. It's a circumstantial relationship.

16 Q. In science, often you cannot come up with
17 absolute proof, right?

18 A. That's often the case.

19 Q. But you agree that it's reasonable to assume
20 that the Factor VIII did cause the seroconversion in most
21 of the hemophiliacs?

22 A. Based on the information we have now, yes,
23 that's reasonable to assume.

24 Q. You say there's information about '80 through
25 '83. Is there information that's broken down any further,

1 for example, year by year?

2 A. That information is available on a
3 year-by-year basis, yes.

4 Q. Where?

5 A. That's been published.

6 Q. Where?

7 A. Well, I'm not certain where. But it has been
8 published.

9 Q. Does your company have a copy?

10 A. Yes.

11 MR. RAPP: I'd like to request that.

12 Q. Do you have a general recollection of the
13 data, for example, by some point in 1980, what percentage
14 of the hemophiliac population had seroconverted?

15 MS. REIFEL: Does he personally have that
16 information, or has he read it, or is it available? I
17 don't understand the question.

18 MR. RAPP: Either way.

19 THE WITNESS: I've read the studies, and I've
20 heard them reported. And the information starts with 1978
21 and carries on through well into the '80s on a
22 year-by-year basis. And without having the paper in front
23 of me, my recollection is that the year '81-'82 --
24 probably '82 was the maximum rate of seroconversion.
25 After '83, it began to fall off somewhat.

1 MR. RAPP: Q. Do you know of an explanation
2 for that?

3 A. The fall-off?

4 Q. Yes.

5 A. Well, in '83, most manufacturers began
6 excluding donors who had been identified as high risk.

7 Q. And that produced results?

8 A. Presumably.

9 Q. Do you have a recollection as to what
10 percentage of the hemophilia population had seroconverted
11 in 1980?

12 A. In 1980?

13 Q. Yes.

14 A. Very small.

15 Q. Very small. So from that, do you presume
16 that most of the Factor VIII that had been used through
17 1980 was not contaminated with the AIDS virus?

18 A. I'm not sure I could draw that conclusion.

19 Q. Why not?

20 A. Well, there may be other -- it may be a
21 question of the extent of the contamination. Perhaps we
22 could conclude that the contamination was not as
23 widespread or as great as it became subsequently.

24 Q. Let's see. Why can't we conclude that most
25 of the product was without the AIDS virus if not very many

1 of the people that had taken the product were
2 seroconverting?

3 A. There are, of course, factors involved in the
4 recipient. There are cases where recipient can be
5 administered an infected product and still not come down
6 with AIDS, depending on the level, depending on the
7 individual response. So that it may be a quantitative
8 matter.

9 I think the logistics are that the -- I would say
10 that the number of donors who were infected would have
11 been increasing from 1978 on.

12 Q. So before 1978, is it your belief that the
13 Factor VIII was basically AIDS virus free?

14 A. Yes.

15 Q. Then you say that after that, the percentage
16 of the Factor VIII being sold gained more and more of --
17 well, the percentage of the product that had the AIDS
18 virus was increasing, right?

19 A. The percentage of the product that had an
20 infectious level of AIDS virus was increasing, yes.

21 Q. Okay. And would you agree that in 1980,
22 generally speaking, the Factor VIII that was being sold
23 was relatively free of the virus, and in '81 and '82, the
24 percentage of the product being sold had more and more of
25 the virus?

1 A. Correct.

2 Q. And that's because there were more and more
3 infected donors that were donating plasma?

4 A. That's correct.

5 Q. AIDS was spreading more and more in the
6 population?

7 A. That is correct.

8 Q. Do you have a recollection as to what
9 percentage of the hemophiliac population was seroconverted
10 in '82?

11 A. No, only that -- my recollection is that that
12 was the peak year in the particular study that I alluded
13 to earlier.

14 Q. If you were to apply those statistics to
15 Cutter's products, what can you tell me about the percent
16 of Cutter's products that probably contained the AIDS
17 virus from year to year?

18 MS. REIFEL: I don't understand what you mean
19 by applying those statistics to Cutter's product.

20 MR. RAPP: Q. Can you draw any conclusions
21 as to Cutter's products?

22 A. I think I said earlier that the -- we would
23 have to presume, based on the retrospective analysis, that
24 the extent of product that was infectious was increasing
25 over those years.

1 Q. Wouldn't you conclude that, in 1983, the
2 majority of the Cutter product, Factor VIII that was being
3 sold, was infectious for AIDS?

4 A. It would appear that way from the
5 retrospective analysis.

6 Q. Now, I said the "majority." Can you give me
7 any better percentage?

8 A. No.

9 Q. Would the answer be any different for '82?

10 A. The answer is that I couldn't give you a
11 breakdown as to how many lots were infectious and how many
12 were not.

13 Q. By the way, when was that study published
14 approximately?

15 MS. REIFEL: Which study?

16 MR. RAPP: Q. About the statistical
17 information you talked about.

18 MS. REIFEL: I think he's talked about a
19 number of studies.

20 MR. RAPP: Q. Oh, are there several?

21 A. There have been several studies referred to
22 in this deposition. I believe the question you were
23 asking is -- which particular study are you alluding to
24 now?

25 Q. You couldn't remember what magazine or

1 whatever it was in, but where they published information
2 about the percentage of the hemophiliacs that were
3 seropositive for various years.

4 A. Oh, that study. Probably 1985 or 1986, it
5 was published.

6 Q. Is there only one study that you know of on
7 that subject?

8 A. Well, that's the most well-controlled and
9 including the largest cohort of patients that I'm aware
10 of.

11 Q. Do you think it's reliable?

12 A. Yes.

13 Q. Do you remember who wrote it?

14 A. Yes.

15 Q. Who?

16 A. It was a woman named Elaine Eyster,
17 E- y- s- t- e- r.

18 Q. Now, looking back in the retrospectroscope,
19 you'd agree that the product, the non-heat-treated product
20 that was being sold in 1984, the majority of that
21 contained the AIDS virus, right?

22 A. It seems reasonable now, yes.

23 Q. Probably even a greater percentage than the
24 1983?

25 A. Probably.

1 Q. In fact, wouldn't you agree that most of the
2 product that was being sold in 1984 that was
3 non-heat-treated probably was infectious for AIDS?

4 MS. REIFEL: Objection. Argumentative.

5 THE WITNESS: I can only say it's very
6 difficult to assess the impact of our screening program
7 which we instituted in 1983 to remove donors from the
8 donor population who are considered at high risk.

9 MR. RAPP: Q. Doctor, you'd agree that if we
10 knew now -- no.

11 If we knew back in 1984 what we know now about AIDS
12 and Factor VIII, you would not have been selling the
13 non-heat-treated Factor VIII once the heat-treated was
14 available?

15 MS. REIFEL: Objection. Calls for
16 speculation. Don't answer.

17 MR. RAPP: Q. Doctor, if we could turn the
18 clock back and had a chance to change some of the
19 decisions that were made about Factor VIII and the AIDS
20 problem, are there any things that you would have done
21 differently?

22 MS. REIFEL: Objection. Calls for
23 speculation. Outside the scope of the deposition notice.
24 Overbroad.

25 MR. RAPP: Q. Can you answer?

1 MS. REIFEL: Don't answer.

2 THE WITNESS: No, I can't answer.

3 MR. RAPP: Q. That's because your counsel is
4 instructing you not to?

5 A. Because I can't put myself in 1984 and tell
6 you what I think I would have thought in the light of what
7 I know in 1989.

8 Q. I mean, we know now that the Factor VIII that
9 was being sold in the early '80s was causing hemophiliacs
10 to seroconvert, right?

11 A. Correct.

12 Q. And we know now that the heat-treated product
13 is safe, as far as the AIDS virus, and the
14 non-heat-treated product that was being sold in '81, '82,
15 '83, and '84 was not safe, right?

16 A. We know that now, yes.

17 Q. We know that because we can look at all the
18 hemophiliacs that are dying of AIDS, right?

19 A. That's correct.

20 Q. And there really isn't any question, Doctor,
21 that if you could turn the clock back, you would not have
22 permitted selling non-heat-treated Factor VIII once
23 heat-treated Factor VIII was available, right?

24 MS. REIFEL: Objection. Calls for
25 speculation. It's been asked and answered. Or it's been

1 asked, and he's been instructed not to answer. Excuse me.

2 MR. RAPP: So you're going to stand by that
3 instruction?

4 MS. REIFEL: Every time you ask it.

5 MR. RAPP: How about 10 times? Just kidding.

6 Q. Doctor, if you could turn the clock back,
7 would you have started using the core test? Do you know
8 what I'm talking about, the anti-hepatitis B core test?

9 MS. REIFEL: Objection. Calls for
10 speculation.

11 MR. RAPP: Q. Am I using the right term
12 there? Anti-HB core test?

13 MS. REIFEL: When?

14 MR. RAPP: Q. First, you're familiar with
15 that test, right?

16 A. Yes.

17 Q. Is my terminology acceptable to you?

18 A. It's okay.

19 Q. What should I call it?

20 A. Hepatitis B core antibody.

21 Q. Hepatitis B core antibody test.

22 Doctor, would you agree that if we could turn the
23 clock back, it would be advisable to start using that test
24 much earlier than you did start using it at Cutter?

25 MS. REIFEL: Objection. Calls for

1 speculation.

2 MR. RAPP: Are you going to instruct him not
3 to answer?

4 MS. REIFEL: Yes.

5 MR. RAPP: Q. Did Cutter ever start using
6 that test?

7 A. Yes.

8 Q. When?

9 A. I don't recall precisely.

10 Q. What's your best approximation?

11 A. Sometime in 1983.

12 Q. Was it continually used thereafter?

13 A. No.

14 Q. What happened?

15 A. Well, I can't really remember why we stopped
16 using it.

17 Q. Is there anyone else in your company that
18 could tell me that?

19 A. Yes.

20 Q. Who?

21 A. Our director of plasma procurement.

22 Q. Who is that?

23 A. His name is is Sunil Bhonsle.

24 Q. Can you spell that?

25 A. S- u- n- i- l. B- h- o- n- s- l- e.

1 MR. RAPP: I'd like to request that he be
2 produced pursuant to my depo notice.

3 MS. REIFEL: Make a formal request and
4 include that subject matter in your depo notice.

5 MR. RAPP: Q. Was the core antibody test
6 reinstituted after it was stopped?

7 A. No.

8 Q. So you're not doing it now?

9 A. No.

10 Q. Do you know if any of the other fractionators
11 are using that test?

12 A. I don't know with certainty.

13 Q. What's your best information?

14 A. That they're not.

15 Q. Has anybody ever suggested that the test be
16 used?

17 MS. REIFEL: Calls for speculation.

18 THE WITNESS: I suppose somewhere out there
19 in the big wide world someone has suggested that, yes.

20 MR. RAPP: Q. Have you ever considered it?

21 A. In what time frame?

22 Q. 1980 or thereafter.

23 MS. REIFEL: And for what purpose?

24 MR. RAPP: Any purpose.

25 THE WITNESS: It's obvious that we did do it

1 in 1983. So obviously, somebody had suggested that we do
2 it.

3 MR. RAPP: Q. What's your view of the test?

4 MS. REIFEL: With regard to what? That's
5 vague and ambiguous.

6 MR. RAPP: Q. Do you think the test should
7 be used or not?

8 A. Now?

9 Q. Yes.

10 A. No.

11 Q. Why not?

12 A. I don't believe it increases the safety of
13 our product.

14 Q. Because you're heat treating now. We don't
15 have to worry about AIDS, right?

16 A. That's correct.

17 Q. If the test had been used before heat
18 treating was instituted, would it have been effective in
19 determining what plasma might contain the virus, the AIDS
20 virus?

21 MS. REIFEL: Objection. Calls for
22 speculation.

23 MR. RAPP: Q. Can you answer?

24 MS. REIFEL: Don't answer it.

25 THE WITNESS: No.

1 MR. RAPP: Q. Does the hepatitis B core
2 antibody test shed any information on whether the donor is
3 likely to carry the AIDS virus?

4 A. Not in my opinion.

5 Q. Why do you give that answer?

6 A. Because I haven't seen any data to suggest
7 that there is a good scientific correlation.

8 Q. Before your company started using that test
9 in 1983, were there any studies done?

10 MS. REIFEL: By the company?

11 MR. RAPP: By the company or anybody that led
12 to that decision being made.

13 THE WITNESS: We had seen data at scientific
14 meetings that suggested there may be a correlation. But
15 again, going back to that time period, the cause of AIDS
16 was not known.

17 MR. RAPP: Q. You said data suggested there
18 might be a correlation. So we're clear, correlation
19 between what?

20 A. Between hepatitis core antibody and what
21 later became known as high-risk donors.

22 Q. And it was precisely because of that
23 correlation that your company started using the test in
24 1983, right?

25 MS. REIFEL: Objection. Argumentative.

1 MR. RAPP: Q. Can you answer?

2 MS. REIFEL: Do you know why the company
3 started using a core test in 1983?

4 THE WITNESS: I presume because we felt there
5 was some benefit to be derived, potential benefit.

6 MR. RAPP: Q. You thought it might help to
7 make a safer product, right?

8 A. Well, not precisely. We thought that it
9 would screen out some donors such as Mr. GRO-A But
10 whether it follows that that would make a safer product or
11 not was really not known.

12 Q. Is that because there were a probability that
13 enough donors would get past that test that would have
14 AIDS that the product would still be unsafe?

15 A. Yes.

16 Q. Do you know whether there were any
17 discussions between employees at Cutter and the employees
18 at any other fractionators about whether the companies
19 should institute those tests, the core test, hepatitis B
20 core antibody test?

21 A. I'm not aware of any.

22 Q. Were you a part of any such discussions?

23 A. No.

24 Q. Have you ever heard about any?

25 A. No.

1 Q. On that recall that took place in 1983, do
2 you know whether there was any discussion with employees
3 at any other fractionator about that recall?

4 A. I'm not aware of any.

5 Q. Were there any memos or studies done about
6 whether that recall should be ordered?

7 A. We talked earlier about the discussions.

8 Q. Now I want to know if there's any writings
9 that we could look at.

10 A. Not that I'm aware of.

11 Q. Do you remember seeing any analyses or
12 reports?

13 A. No, I don't recall.

14 Q. Do you know if there are any that still
15 exist?

16 A. I don't recall.

17 Q. Do you know if anybody has checked for memos
18 or reports about whether that recall should be ordered?

19 A. I don't know.

20 Q. Nobody asked you if you have any such
21 memos --

22 A. No.

23 Q. -- until I did just now?

24 A. That's correct.

25 Q. Now, before that recall was ordered, was

1 there any discussion about the economic impact of the
2 recall on Cutter?

3 A. Well, certainly, our accounting and business
4 people had to calculate what the cost of such a recall
5 would be, yes.

6 Q. Do you have any recollections of what the
7 cost was going to be or turned out to be?

8 MS. REIFEL: I'll just note my relevancy
9 objection about this whole line of questioning about the
10 recall. I don't know that the plaintiff got any withdrawn
11 product.

12 MR. RAPP: Q. That's just for the record.

13 THE WITNESS: Only that it was substantial.

14 Q. Millions, right?

15 A. That's my recollection.

16 MS. REIFEL: I don't understand why we're
17 pursuing this in the limited time you have, but maybe you
18 know something we don't about what product your client
19 got.

20 MR. RAPP: Q. Dr. Hershberger, do you know
21 where he lives now?

22 A. Yes.

23 Q. Where?

24 A. He lives in Mendocino County.

25 Q. Do you know what town, or anything like that?

1 A. The town is called GRO-C

2 Q. GRO-C

3 A. GRO-C

4 Q. And Dr. Sternberg, is he still with your
5 company?

6 A. Yes, he is.

7 Q. Dr. Schaeffler, is he still with your company
8 now?

9 A. I don't know a Dr. Schaeffler.

10 Q. Was there a Dr. -- maybe I've got this -- I
11 can't read my own writing here. The chief executive in
12 1982?

13 A. Oh, Dr. Schaeffler.

14 MS. REIFEL: I'm going to note my objection
15 that this is outside the scope of the deposition notice.

16 MR. RAPP: Q. Is he with your company now?

17 A. Yes.

18 Q. Ed Cutter, is he still with the company, or
19 is he passed away or something?

20 A. No. He just moved.

21 Q. Moved?

22 A. To another company.

23 Q. What company is he with now?

24 A. Slips my mind.

25 Q. Well, if you think of it as we're going,

1 please butt in and let us know, or --

2 A. Clorox.

3 Q. What town?

4 A. Oakland.

5 Q. What was his position?

6 A. Legal counsel.

7 Q. Ed Cutter?

8 A. Yes. That's Ed Cutter III.

9 Q. Oh, yeah? Starting in 1980, was there
10 another Ed Cutter that was with the company?

11 A. No. I think he had passed on by then.

12 Q. And Charlie Stewart, is he with your company
13 still?

14 A. As far as I know.

15 Q. How about Jean Huxsoll?

16 A. No.

17 Q. Where is she now?

18 A. A company called Hanna Biologicals.

19 Q. H- a- n- a?

20 A. There may be two N's. I can't recall.

21 Q. Where is that?

22 A. Alameda.

23 Q. Do you know when your company first started
24 considering heat treating of the Factor VIII?

25 MS. REIFEL: Objection. Vague and ambiguous.

**SCHILLER &
HILLABRAND**

CERTIFIED SHORTHAND REPORTERS
A Professional Corporation

Telephone 415/788-5350
520 Sutter Street off Union Square
San Francisco, CA 94102

1 You can answer it if you can.

2 THE WITNESS: Well, it is a vague question
3 because I suspect we thought about it in the early '70s.
4 But it was not a practical thing to do.

5 MR. RAPP: Q. Why not?

6 A. We destroyed the product.

7 Q. What prompted you to think about heat
8 treating in the early '70s?

9 MS. REIFEL: Him personally?

10 MR. RAPP: No, the company.

11 MS. REIFEL: If you know.

12 THE WITNESS: Yes. Concern about hepatitis
13 transmission.

14 MR. RAPP: Q. And did you -- I gather your
15 company did some -- made some efforts to try to develop a
16 heat-treating process that would work?

17 A. Yes.

18 Q. Without destroying the Factor VIII?

19 A. That's correct.

20 Q. And you weren't successful?

21 A. That's correct.

22 Q. After the early '70s, when was the next time
23 your company looked at heat treating?

24 A. The end of 1978.

25 Q. What will prompted that?

1 A. Some studies we made about stabilizing
2 reagents that could maintain the activity of proteins,
3 even those subjected to heat.

4 Q. Were you involved in those studies?

5 A. Yes.

6 Q. And what was your result?

7 A. We discovered some agents that could be added
8 to our products that would render them more stable to
9 heat.

10 Q. Did it work? I mean --

11 A. To a limited extent. Instead of losing a
12 hundred percent, we were losing only 60 percent.

13 Q. Was this the wet heat treating or the dry
14 heat treating?

15 A. It's come to be called wet.

16 Q. How long were you looking at that process?
17 '78? Is there a time when you said, "Forget this"?

18 A. From 1978 until we finally licensed our
19 product in about 1986.

20 Q. That's a wet process?

21 A. Yes.

22 Q. Are you marketing that now?

23 A. Yes.

24 Q. Also the dry heat-treated Factor VIII?

25 A. Yes.

1 Q. You market both?

2 A. Yes.

3 Q. Why do you market both?

4 MS. REIFEL: Objection. Irrelevant.

5 MR. RAPP: Q. You can answer.

6 MS. REIFEL: It's beyond the time frame of
7 anything that's possibly relevant to this lawsuit. But
8 you can answer it.

9 THE WITNESS: Well, it's a complex issue of
10 economics and availability and what the user is asking
11 for. And I don't see its relevance to what we're talking
12 about.

13 MR. RAPP: Q. Well, you're probably right.
14 So in '78, did you conclude that the wet heat-treating
15 process was effective in eliminating viruses?

16 A. No.

17 Q. Did you reach any conclusions as to the
18 effect that the process had on viruses?

19 A. No.

20 Q. That was the purpose of --

21 A. It was -- 1978 was the beginning of a
22 research program that extended over a number of years
23 ultimately designed to inactivate viruses which, of
24 course, had to be proven by doing the appropriate testing.

25 Q. Was there some point in time when you reached

1 a conclusion that the process did inactivate the viruses?

2 MS. REIFEL: What viruses?

3 MR. RAPP: Q. Do we need to distinguish?

4 A. Yes. Because we have to know which viruses
5 we're talking about. The relevant virus that prompted all
6 this work were the hepatitis viruses. Those are much more
7 difficult to inactivate and much more difficult to verify
8 that you, in fact, have inactivated them.

9 However, one can use so-called model viruses to
10 demonstrate the effectiveness of the process, even though
11 these may be irrelevant with regard to spread of disease.

12 Q. Is it easier to -- is it more difficult to
13 inactivate the hepatitis virus than it is to inactivate
14 the AIDS virus?

15 A. Considerably more difficult.

16 Q. Really? Is the heat treating that you
17 started doing in '84 sufficient to inactivate, not only
18 the AIDS virus, but also the hepatitis virus?

19 A. The heat treatment that we started in '84,
20 you said?

21 Q. Yes.

22 MS. REIFEL: The one that was on the market
23 in '84?

24 MR. RAPP: Yes.

25 THE WITNESS: The product that we sell that

1 was licensed in '84, which we refer to as Koate HT, we
2 believe is safe with respect to the transmission of AIDS.
3 But we have had reports that it still transmits hepatitis.

4 MR. RAPP: Q. Now, it's true that various
5 viruses come along from time to time, right?

6 A. I'm not aware of that.

7 Q. Isn't it true that viruses are always
8 mutating and, like AIDS, there will be a new one that
9 suddenly bursts on to the scene?

10 MS. REIFEL: Objection. Irrelevant and
11 beyond the scope of the deposition notice.

12 MR. RAPP: Q. Right?

13 A. Well, I can't generalize on the basis of one
14 virus. AIDS certainly meets that description. It's
15 something that we didn't know before. It popped up on the
16 scene. I'm not aware of this happening previously with
17 respect to blood and blood products.

18 Q. But you'd agree that one of the things that
19 Cutter should try to do is make its Factor VIII as
20 disease-free as they reasonably could, right?

21 A. That's our charge, yes.

22 Q. When did it come that there was some kind of
23 a heat-treating process, whether wet or dry, that was
24 effective in eliminating or inactivating viruses without
25 destroying the effectiveness of the Factor VIII?

1 MS. REIFEL: Objection. Vague. But if you
2 can answer it --

3 THE WITNESS: There never was a specific
4 time. It again is an evolutionary situation.

5 MR. RAPP: Q. Well, certainly by '84, you
6 had hit that point, right?

7 A. Right.

8 Q. How much earlier?

9 A. Well, again, it's not easy to answer.
10 Because when you say "without destroying the Factor VIII
11 activity," the degree of destruction of the Factor VIII
12 activity has also evolved. So that initial experiments
13 resulted in the destruction of most of the activity, and
14 with refinement and improvement from perhaps 1978 on
15 through, less and less of the Factor VIII was destroyed.
16 Although even today, a significant amount is still
17 destroyed by the heat.

18 Q. What was the situation in 1980?

19 MS. REIFEL: With regard to what?

20 MR. RAPP: What we were just talking about --

21 THE WITNESS: Are you talking about the
22 research that we were doing, or what was out there in the
23 world?

24 MR. RAPP: Q. This is an area that you've
25 paid a lot of attention to personally, right?

1 A. That's correct.

2 Q. What I'm getting at, in 1980, you had a
3 process that would inactivate the viruses, right?

4 A. We were working on a process that would allow
5 us to heat the Factor VIII without losing most of it.
6 Whether you could say we already had the process in 1980 I
7 think would be characterizing it as something that is not
8 so.

9 Q. What was the loss and yield that you were
10 getting in 1980 in order to inactivate the viruses?

11 MS. REIFEL: Objection. Misstates prior
12 testimony. Do you understand the question?

13 THE WITNESS: I understand the question. But
14 we -- at that time, in 1980, we really weren't measuring
15 the effect on viruses. We were trying to concentrate on
16 how to retain the activity. So I don't know that the
17 process in 1980 -- other than that we were heating it, I
18 don't know that it was killing viruses at that point.
19 That was only speculation.

20 MR. RAPP: Q. What was the situation in 1980
21 as far as yield and loss from your experiments?

22 A. I can't remember specifically, other than it
23 was substantial.

24 Q. In 1982, did you have a process, wet process
25 or dry process, that you felt was feasible, considering

1 the effect on the viruses and also on the Factor VIII?

2 MS. REIFEL: Objection. Vague and ambiguous.
3 I don't know what you mean by "feasible."

4 THE WITNESS: You mean a workable process?

5 MR. RAPP: Q. Yes.

6 A. It was evolving into that.

7 Q. But you weren't there yet?

8 A. No.

9 Q. How about '83?

10 A. Here, I think the record pretty much speaks
11 for itself. Because in '83, we began to develop a dry
12 heat process which was licensed in early '84.

13 Q. Didn't you have a wet process that was
14 workable before '84?

15 A. Well, workable again is a relative term. It
16 was a process which seemed to work in the laboratory and
17 in the pilot plan but did not work well at the production
18 scale.

19 Q. Can you explain that?

20 A. Well, it's very common in chemical processes
21 that, when you scale them up from a bench to a pilot plan
22 and into production, that the circumstances of success
23 will change. What happens in a beaker just doesn't happen
24 the same way in a large, say, hundred gallon tank.

25 Q. Did you look at the Behringwerke process?

1 A. We were familiar with it, yes.

2 Q. You were studying that all along?

3 A. No, we weren't studying it. We were aware of
4 it.

5 Q. Didn't that process -- wasn't it workable?

6 MS. REIFEL: Do you know what he means?

7 MR. RAPP: I switched and used his words to
8 avoid that problem.

9 Q. I used your word "workable."

10 MS. REIFEL: Was Behring's licensed product
11 that was being used workable?

12 THE WITNESS: I don't know. We never looked
13 at the Behring product in manufacturing.

14 MR. RAPP: Q. Really. I mean, do you know
15 whether that process eliminated the hepatitis virus
16 without denaturing the Factor VIII?

17 A. I didn't know that in 1980's.

18 Q. Really. You know it now though?

19 A. As of a publication that came out 1988 or
20 '87, I believe.

21 Q. What publication was that?

22 A. It was a publication that dealt with the
23 follow-up on a number of patients who had received the
24 product of Behringwerke and were shown to be free of
25 hepatitis.

1 Q. What publication? What magazine, Lancet?

2 A. No, I think that was -- I don't remember
3 precisely.

4 Q. Do you have a copy?

5 A. Yes.

6 MR. RAPP: Okay. I'd like to request that
7 along with the rest of my stuff.

8 MS. REIFEL: Make a formal request and give
9 us reasonable time.

10 MR. RAPP: It's included in my other request.

11 MS. REIFEL: No.

12 It's 6:00. We have to conclude.

13 MR. RAPP: Let me just ask this one thing.

14 Q. Do you have any information on the market
15 shares that the various fractionators have?

16 A. No.

17 Q. What percent of the market of Factor VIII
18 does Cutter have?

19 A. I don't know.

20 MR. RAPP: All right. I'm going to reserve
21 my questions. I'd like to continue with this as soon as
22 we can.

23 (Examination concluded at 6:00 p.m.)

24

25

Signature of Witness

STATE OF CALIFORNIA)
) ss.
)

I, the undersigned, a Certified Shorthand Reporter of the State of California, hereby certify that the witness in the foregoing deposition was by me duly sworn to testify to the truth, the whole truth, and nothing but the truth in the within-entitled cause; that said deposition was taken at the time and place therein stated; that the testimony of said witness was reported by me, a Certified Shorthand Reporter and a disinterested person, and was thereafter transcribed under my direction into typewriting; that the foregoing is a full, complete and true record of said testimony; and that the witness was given an opportunity to read and, if necessary, correct said deposition and to subscribe the same.

I further certify that I am not of counsel or attorney for either or any of the parties in the foregoing deposition and caption named, nor in any way interested in the outcome of the cause names in said action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my signature this _____ day of MAY 15 1989.

GRO-C

CERTIFIED SHORTEHAND REPORTER

STATE OF CALIFORNIA

SCHILLER & HILLABRAND CERTIFIED SHORTEHAND REPORTERS
A Professional Corporation

Telephone 415/788-5350
520 Sutter Street off Union Square
San Francisco, CA 94102